

1 (The Court was at ease.)

2 THE COURT: All right. Are we ready?

3 MR. ROSE: Yes.

4 THE COURT: All right. Let's bring the jury back  
5 in, please.

6 I'm just telling the jury, we're calling a witness  
7 out of turn.

8 MR. ROSE: Yes, sir.

9 THE COURT: All right.

10 (The jury entered the courtroom.)

11 THE COURT: All right. Ladies and gentlemen, the  
12 defense is going to call a witness. The State has not  
13 rested yet, but the defense is going to call a witness  
14 out of order, so you should listen carefully to his  
15 testimony, just like any witness, because he is a  
16 witness in the trial for the defense, but we are  
17 allowing him to call the witness -- the defense to call  
18 the witness out of order, all right. -----

19 Go ahead, Mr. Rose.

20 MR. ROSE: Thank you, Your Honor. The defense  
21 calls William Tobin.

22  WILLIAM TOBIN

23 was called as a witness and, having first been duly sworn,  
24 testified as follows:

25 THE COURT: You can proceed.

1 MR. ROSE: Thank you, Your Honor.

2 DIRECT EXAMINATION

3 BY MR. ROSE:

4 Q How are you this afternoon, sir?

5 A Pretty good, sir. How are you?

6 Q I'm well.

7 Please state your full name for the record.

8 A William Tobin, T-O-B-I-N.

9 Q What is your current occupation, Mr. Tobin?

10 A I'm a forensic material scientist metallurgist,  
11 independent consultant.

12 Q What specialized qualifications do you have to  
13 qualify you as a forensic metallurgist and material  
14 scientist?

15 A I have a bachelors of science degree in metallurgy  
16 from Case Institute of Technology in Cleveland, Ohio. I  
17 continued my formal education at graduate school at Ohio  
18 State University and George Washington University, and at  
19 the University of Virginia.

20 Q Okay.

21 A I acquired practical experience from my employment  
22 as a plant metallurgist at Chase Brass and Copper Company,  
23 at Monarch Aluminum Company. And in research, as a research  
24 metallurgist with the National Aeronautics and Space  
25 Administration, and Battelle, B-A-T-T-E-L-L-E, Memorial

1 Institute. And I've spent 27 years as a special agent in  
2 the Federal Bureau of Investigation. I retired. The last  
3 24 of my 27 years was as a forensic metallurgist and I  
4 retired in 1998 as the head of the metallurgy, forensic  
5 metallurgy operations at the FBI laboratory.

6 I visited numerous metal manufacturing and  
7 processing plants throughout the United States, and Taiwan.  
8 I have been a guest speaker for the prominent metallurgical  
9 associations in the United States and in Canada. I have  
10 authored numerous publications in the field of forensic  
11 metallurgy and material science. I lectured to four or five  
12 universities as a guest lecturer. I believe that's the best  
13 I can recall right now.

14 Q Okay. I suspect I'm probably going to be kind of  
15 downing you back a little bit, as you testify, and explain  
16 some of the terms you use. Just to be sure, explain to us  
17 what metallurgy is?

18 A Metallurgy is the science and technology of  
19 metals, materials and alloys, it's very close to material  
20 science, which is the science of solids and fluids. Fluids  
21 being liquids and gases.

22 Q So you work for the FBI for 27 years?

23 A Yes.

24 Q What were your duties and responsibilities with  
25 the FBI?

1           A     Well, the first three years I was what we call a  
2 street agent. I investigated organized crime and police  
3 corruption in Chicago, in general crimes. And I was  
4 transferred to Detroit, Michigan, working general crimes  
5 there, primarily interstate transportation of stolen  
6 property and some fugitives, and some other types of cases.  
7 I was transferred in 1974 to the FBI laboratory as a  
8 forensic metallurgist where I spent the remainder of my  
9 career.

10           Q     And while you were at the FBI, did you conduct  
11 toolmark examinations in conjunction with your metallurgical  
12 duties?

13           A     Yes.

14           Q     How often did you do that?

15           A     I would estimate roughly around once a week for  
16 the 24 years.

17           Q     And does the FBI, or did, while you were working  
18 there, have firearm and toolmark examiners?

19           A     Yes, they did.

20           Q     Okay. Did you ever have occasion to interact with  
21 the toolmark examiner's work in the Federal Bureau of  
22 Investigation?

23           A     Yes.

24           Q     Tell me about your interaction and what you did  
25 with them, so-to-speak?

1           A     Well, we would mutually consult on various cases,  
2 if I needed some assistance with Nomenclature or functioning  
3 of a firearm. I would request assistance from them and,  
4 likewise, they would periodically come to me and ask me to  
5 explain why they were seeing what they didn't expect to see  
6 in the field of view or, conversely, why they weren't able  
7 to see what they expected that they should see. So they  
8 were mutual interactions.

9           Q     How frequently did you interact with the firearm  
10 and toolmark examiners at the FBI?

11          A     Well, that's -- that's not something I maintained  
12 a log about, but I say once or twice a year, maybe.

13          Q     Okay. Now, when you were working with the FBI and  
14 their toolmark examiners, did you use the same methodology,  
15 equipment and instrumentation used by the toolmark  
16 examiners?

17          A     Yes, when I was performing the toolmark  
18 examination, yes.

19          Q     Okay.

20          A     I did outfit, periodically, the comparison  
21 microscope with some advance scientific instrumentation  
22 called interferometry for interferometric examinations, but  
23 that was only for a brief period of time.

24          Q     Interferometry?

25          A     I'm sorry, that's I-N-T-E-R-F-E-R-O-M-E-T-R-Y.

1           Q     I'm more concerned about you explaining what it  
2 is, not really the spelling of it, but I'm sure she  
3 appreciates that.

4           A     That would be primarily an analysis of the wave  
5 reflection from the surface of a material. It's  
6 typically -- it can be characteristic for residual stresses  
7 or when there's some slight deformations that will show an  
8 optical pattern, like a rainbow, basically.

9           Q     Are you a member of any professional scientific  
10 organizations?

11          A     Yes.

12          Q     Which ones?

13          A     That would be the American Society for Metals, the  
14 American Society for Testing of Materials, the National  
15 Association of Corrosion Engineers, the Society for  
16 Experimental Mechanics and I believe there are some others  
17 that -- I don't have my C.V. in front of me.

18          Q     Would it help you if you had your C.V. in front of  
19 you; would that help refresh your recollection?

20          A     Yes.

21          Q     Please take a look.

22          A     And I also belong to the Minerals, Metals and  
23 Materials Society, and the American Foundry Society, among  
24 others.

25          Q     What types of research projects have you worked on

1 in your career?

2           **A**     I've worked on researching the ethicacy of  
3 practice in the forensic arena of arson and fire  
4 investigation. We conducted some research to determine the  
5 validity of the forensic practice at a particular time.

6           I then conducted with colleagues, research into a  
7 practice that had been admitted in courts for 35-plus years,  
8 known as comparative bullet-lead analysis, to determine  
9 whether that was a scientifically valid practice or not. And  
10 we have conducted research into the firearms toolmarks  
11 practice, as well. In addition, colleagues and I have -- we  
12 actually received a 2008 statistics chemistry award for our  
13 research into the John F. Kennedy assassination.

14           **Q**     Who awards that particular award?

15           **A**     That was from the American Statical Association.

16           **Q**     Okay. Now, the arson and fire investigation  
17 research that you did, can you be more specific about that?

18           **A**     Yes. There was a practice that investigators used  
19 for significant claimed -- significant probative value  
20 relating to collapsed furniture springs, mattresses and  
21 chairs, and they were -- it would be typically -- offered in  
22 courts as indicia of whether an accelerant was used or  
23 whether a long, slow smoldering fire, for example, from a  
24 cigarette might have been the cause for a fire. We  
25 eventually determined that --

1           **MR. CLARY:** I'm going to object to relevance.

2           **MR. ROSE:** It has to do with his qualifications.

3           **THE COURT:** Qualifications as to what?

4           **MR. CLARY:** As to what?

5           **MR. ROSE:** As to his scientific opinions of how  
6 metals work and react. Everything he has to talk about  
7 has to do with metals.

8           **THE COURT:** All right. I'll overrule the  
9 objection. Go ahead.

10           **THE WITNESS:** So I researched -- addressed the  
11 scientific validity or lack thereof, of the particular  
12 forensic practice.

13           The question was on the arson and fire?

14           **MR. ROSE:** Correct.

15           **THE WITNESS:** Okay.

16 **BY MR. ROSE:**

17           **Q** Okay. Now, tell me about your research with  
18 regard to comparative bullet analysis.

19           **A** We actually -- that practice was in -- typically  
20 after crimes bullets would be recovered from scenes of  
21 crimes.

22           **MR. CLARY:** Again, relevance, Your Honor.

23           **MR. ROSE:** It has to do with metals --

24           **THE COURT:** Metals --

25           **MR. ROSE:** And forensic practices of metals.



1           **THE COURT:** We'll see what he's qualified to  
2 testify to and he's trying to establish that right now.

3           **MR. ROSE:** Correct.

4           **THE COURT:** So I'll overrule the objection.

5           **THE WITNESS:** The forensic practice involved  
6 comparing -- bullets that couldn't be compared for  
7 typical firearms toolmarks called ballistics. And in  
8 physics and material science, we know that term is  
9 inappropriate for the way it's used. But if a bullet  
10 is too deformed or damaged, the next choice or  
11 examination of choice would be, at that time, to  
12 compare the compositions of bullets from a scene with  
13 bullets recovered from a premises of a suspect. And at  
14 that time the practice was that, if the compositions  
15 were considered analytically indistinguishable, in  
16 other words, sufficiently similar in the examiner's  
17 opinion, it was then declared to have originated from  
18 the same source. And that -- it was the first phase of  
19 that research.

20           The second phase of the research was what we call  
21 in marketing, the demographics of distribution of the  
22 product, which is critical to determine probative  
23 value. The working hypothesis was to determine whether  
24 there were concentrations of same product in local  
25 regional areas that would render probative value

1 extremely, to be very limited or useless. That was the  
2 second phase of our research project.

3 Q What was the end result of it?

4 A Of the arson and fire or the bullet?

5 Q Bullet.

6 A The bullet lead, what we found, we found  
7 astonishing concentrations of same product in local areas.  
8 We actually tracked six product lines and set up an  
9 experiment to determine the hypothetical chance of an  
10 innocent purchaser, purchasing bullets from a particular  
11 outlet, over the six product lines of the same composition,  
12 and what we found were something like 79 percent,  
13 89 percent, 100 percent, 100 percent and 100 percent,  
14 meaning, those were the chances that an innocent purchaser  
15 would purchase bullets of the same composition that were  
16 used in a crime.

17 That's very similar to the working hypothesis  
18 derived from when I was a consultant in the ammunition  
19 industry, as well. In other words, product distributions are  
20 not homogenous throughout a geographic territory. There are  
21 concentrations, because these products are boxed, palletted  
22 and shipped to local regions.

23 Q They're shipped to local regions based upon  
24 determinations made by the company, not randomly?

25 A Well, by determining -- by the marketing and by

1 the customers.

2 Q Of course.

3 What was your final determination in the arson  
4 investigation?

5 A Similar -- similar we confirmed, and the field  
6 finally acknowledged that the practice was, in fact, junk  
7 science, so they have discontinued using collapse springs in  
8 furnitures and bedding as an indicator of whether arson was  
9 involved or not. And on the comparative bullet lead  
10 analysis, the FBI finally exceeded that it was not -- had no  
11 probative value and they have since discontinued use of  
12 comparison bullet lead analysis as a forensic practice.

13 Q Have you ever been on a television program, I  
14 think 60 Minutes?

15 A Yes.

16 Q Okay. And what was that as a result of?

17 A That was as a result of our comparative bullet  
18 lead analysis.

19 MR. CLARY: Your Honor, I'm going to object.  
20 Bolstering.

21 THE COURT: Sustained.

22 BY MR. ROSE:

23 Q When you -- when you challenged the aspects of the  
24 fire and arson investigator, were you a fire and arson  
25 investigator?

1           A     Yes.  I had -- I'd done many fire and arson  
2 investigations, but I was not a certified fire investigator.  
3 I did that for the FBI and we were charged by congressional  
4 mandate to provide assistance to all duly authorized law  
5 enforcement agencies throughout the United States.

6           Q     You were not certified?

7           A     That's correct.

8           Q     And when you were doing comparative bullet lead  
9 analysis and challenging that forensic practice at the time,  
10 were you a qualified bullet lead examiner or certified by  
11 any organization in order to challenge that?

12          A     No.

13          Q     Ever conducted a bullet lead examination?

14          A     Have I ever?

15          Q     Yes.

16          A     I had, but not in the way that they were practiced  
17 by the practitioners.  I had done specialized circumstances  
18 where metallurgical material science issues arose on  
19 bullets, but not in the manner that was offered for  
20 probative value, as the examiner's themselves did.

21          Q     Are you a certified firearms examiner?

22          A     No.

23          Q     Are you member of AFTE?

24          A     No.  I do not belong to any member of any trade  
25 associations, other than the First Marine Division, I guess.

1 Q Okay. What is -- what is -- okay.

2 Well, why are you not a member of AFTE?

3 A It's not a scientific body and my plate is -- it's  
4 immensely full. I don't have time to participate in any  
5 non-scientific endeavors, other than, again, the First  
6 Marine Division Association.

7 Q How many papers have you published in the field of  
8 forensic metallurgy or material science?

9 A My best recollection, as I sit here, is 18 -- 17  
10 or 18. I would have to count them, they're on my C.V.

11 Q Do you have any papers pending for publication at  
12 the moment?

13 A I do. I have two papers pending for -- they have  
14 been accepted for publication.

15 Q When do you expect them to be published?

16 A The first one in law probability and risk will be  
17 published in winter of 2003 [sic] so it's in the next few  
18 months. It's already available online.

19 Q And the other one?

20 THE COURT: This is 2012.

21 MR. ROSE: I'm sorry.

22 BY MR. ROSE:

23 Q Did you say 2003?

24 A Oh, did I?

25 THE COURT: Uh-huh.

1           **THE WITNESS:** 2013, I'm sorry.

2           **THE COURT:** Has it been published already?

3           **THE WITNESS:** No, Your Honor. I'm sorry. 2013.

4 **BY MR. ROSE:**

5           **Q**     And the other paper?

6           **A**     The other paper will be a few months after. It  
7 has been approved for publication in 2013.

8           **Q**     Are both of these indexed journals?

9           **A**     Yes.

10          **Q**     What does that mean for a journal to be indexed?

11          **A**     That is typically a vetting process for a  
12 publication to a certain entity, for example, the Institute  
13 for Scientific Information or I.S.I. (Thompson, I.S.I.) is  
14 the rigorous vetting entity that vets these journals to make  
15 sure that they comport to rigorous scientific -- true  
16 scientific standards and will authorize and begin to index  
17 them in their graphic index services. It's also -- the  
18 S.C.I, which is the Scientific Citation Index.

19          **Q**     Is the AFTE journal indexed?

20          **A**     It is not indexed, no.

21          **Q**     Is it available outside, to the general scientific  
22 community?

23          **A**     No. In fact, that is a major complaint in its  
24 true scientific and academic communities. They generally do  
25 not have access to those journals, to those papers or

1 manuscripts.

2 Q Your papers, have they been peer reviewed?

3 A They have been much more rigorously peer reviewed,  
4 yes.

5 Q And that is -- what is that process called?

6 A That process is called refereeing.

7 Q What is refereeing?

8 A Refereeing is a process used in the scientific  
9 community, where, for example, my last several papers,  
10 they -- the manuscript is sent to the editor. The editor or  
11 I will redact the authors' names, send it out to the true  
12 scientific community to be critiqued. The referees will  
13 actually critique the manuscript, send their suggestions and  
14 criticisms or both good and bad, back to the editor. The  
15 editor will then redact the referees' names from the paper  
16 and send the suggested edits back to the original author.  
17 That's what is called a corresponding author. So that is a  
18 very rigorous process where names and entities are not  
19 known.

20 Q What are the titles of the papers pending  
21 publication?

22 A They're long names. May I refer to my notes?

23 Q Of course.

24 A One of them is entitled Analysis of Experiments in  
25 Forensic Firearms and Toolmarks Practice offered in support

1 for low rates of practice error and claims of inferential  
2 certainty.

3 The second paper --

4 MR. CLARY: Your Honor, could we -- it's unclear  
5 to me which one he's talking about, which of the two  
6 articles. One has been subjected to peer review, where  
7 he's claiming it's going to be published and the other  
8 one is pending review. So could he --

9 THE COURT: Would you clear that up, Counsel?

10 MR. ROSE: All right.

11 BY MR. ROSE:

12 Q You've got two papers pending publication,  
13 correct?

14 A Yes.

15 Q Have they both been peer reviewed?

16 A Yes.

17 Q Have they both been refereed?

18 A Yes. I've indicated that they both have been  
19 accepted for publication, which means, it's prima facie, an  
20 indicator they have both been refereed, rigorously refereed.

21 Q Okay. Prima facie meaning what?

22 A It's -- it means that it's an absolute requirement  
23 that if they're accepted for publication, they had to have  
24 been refereed.

25 Q Okay. So both your papers have been refereed?



1           A     Yes.

2           Q     Peer reviewed and accepted for publication?

3           A     Yes.

4           MR. ROSE: Does that clear up the question?

5           MR. CLARY: Yeah.

6   BY MR. ROSE:

7           Q     Okay. You've told us about the title of those.

8   Tell me about the kind of substance? How about a brief  
9   overview?

10          A     Well, may I refer to the abstract?

11          Q     Certainly.

12          A     The first paper that will be appearing in law --  
13   the Law Probability and Risk is basically, it critically  
14   evaluates experiments that have been conducted and used by  
15   the firearms and toolmarks community to justify inferences  
16   or opinions of specific source attributions. In other  
17   words, this object -- this item or this bullet or cartridge  
18   cases was fired through this weapon was a process known as  
19   individualization or specific source attributions. So what  
20   we do is critically evaluate the experiments that they  
21   have -- that have been conducted over the periods of decades  
22   to justify specific source attribution processes, and then  
23   with claims of 100 percent certainty or a reasonable  
24   ballistic certainty or any of the other expressions of  
25   certainty, that will typically be associated with their

1 opinions.

2           And we indicate -- we then suggest approaches for  
3 how the field can begin to lay proper scientific foundations  
4 and point out the enumerable flaws in their experiments that  
5 have been confirmed by the National Academy of Sciences as  
6 inappropriate.

7           Q     Now, let's see.

8           Have you won any awards in the course of your  
9 professional activities?

10          A     Yes.

11          Q     What are they?

12          A     I received three cash awards from two different  
13 FBI directors. I received a Letter of Commendation from the  
14 United States Attorney General. I've received several  
15 hundred Letters of Commendations from prosecutors. And I've  
16 also -- we also received a 2008 statistics and chemistry  
17 award for the J.F.K. assassination research.

18          Q     The Letters of Commendation from the Attorney  
19 General, from prosecutors, would that have been for your  
20 work while you're with the FBI?

21          A     Yes.

22          Q     Have you been qualified to -- have you been  
23 qualified as an expert in the field of forensic metallurgy  
24 and materials science or tribology before?

25          A     Yes.

1 Q What is tribology?

2 A Tribology is the science of essentially friction  
3 lubrication and wear of surfaces in contact with each other  
4 and in relative motion, which is basically the governing  
5 science -- true science, which is a subsection of metallurgy  
6 and material science and mechanical metallurgy, that governs  
7 the interaction of bullets and barrels, firing pins with  
8 cartridge cases, extractors, ejectors and so forth.

9 Q How many times have you testified as an expert  
10 witness?

11 A Excluding my two congressional testimonies, I've  
12 testified in 241 proceedings in 44 jurisdictions. I would  
13 say states, but DC is not a state, so. . .

14 Q Have some of those been in civil litigation as  
15 opposed to criminal litigation?

16 A Yes.

17 Q Have you testified both for defendants and  
18 plaintiffs in those cases?

19 A Yes.

20 Q How many times have you testified with respect to  
21 firearm and toolmark examinations?

22 A Using the term ballistics, I -- I think, when I  
23 last counted, minimally 16 or maybe 18 times. But that  
24 breaks down into internal ballistics and terminal  
25 ballistics, and internal ballistics.

1 Q Have you ever testified in the great State of  
2 Florida before?

3 A Yes.

4 Q How many times have you been qualified as an  
5 expert witness in Florida State Courts?

6 A Sixteen times.

7 Q Okay.

8 A At least 16 times, sorry.

9 MR. ROSE: And Your Honor, at this time, we would  
10 proffer Mr. Tobin as an expert in the field of forensic  
11 metallurgy and material science, and a member of the  
12 revolving scientific foundation for assessing the  
13 scientific foundation in firearms and toolmark  
14 examination.

15 MR. CLARY: The State would like to voir dire the  
16 witness.

17 THE COURT: Absolutely.

18

19 VOIR DIRE EXAMINATION

20 BY MR. CLARY:

21 Q In the 226 times you've testified as an expert,  
22 was that primarily related to metallurgy?

23 A Not to -- I don't mean to be argumentative, but it  
24 was 241 times.

25 Q Sorry about that.

1           A     So I'm sorry.

2           Q     So the 241 times, was that primarily in the field  
3 of metallurgy?

4           A     Yes. They would all be metallurgy and material  
5 sciences, yes.

6           Q     And how many times did you testify in the area of  
7 toolmarks -- tool -- in toolmark identification?

8           A     Well, depends on how that term is defined, but I  
9 indicated in the field of ballistics, whatever, 18 times or  
10 16 times.

11          Q     In the field of ballistics. What do you mean in  
12 the field of ballistics?

13          A     Well, practitioners use the term ballistics as,  
14 maybe, in the CSI arena, but that would be break down to  
15 nine times in firearms identification cases. I think there  
16 were four in terminal ballistics.

17          Q     What is terminal ballistics?

18          A     That is a very complex -- it's the interaction of  
19 thread and target material when a projectile interacts with  
20 target material. It's called thread material and target  
21 material, but that would be where the effects of what  
22 happens during the interaction, at a very high-strain rate  
23 of high velocity interaction.

24          Q     So when one metal intersects with another metal,  
25 i.e., a projectile hits another metal object or another

1 target, what happens there?

2 A Except the target material doesn't have to be  
3 metals, it can be other materials, but yes, that's primarily  
4 correct.

5 Q So this wasn't in the -- that wasn't in the realm  
6 of firearms analysis in comparison with cartridge cases; is  
7 that right?

8 A Terminal ballistics cases, no.

9 Q What other situations have you testified regarding  
10 firearms analysis or comparisons?

11 A Well, the nine would be virtually identical to the  
12 case at bar --

13 Q Could you tell me what circumstances had -- one  
14 second.

15 So it's nine more times -- nine times you have been  
16 called to -- that you're saying you've been qualified as an  
17 expert in firearms comparison?

18 A I was qualified to address the same issues we're  
19 dealing with, but as a forensic metallurgist, material  
20 scientist.

21 Q So not in toolmark identification or firearms  
22 comparison --

23 A To address the scientific unrepeining or lack  
24 thereof in firearms identification, yes.

25 Q But you've not been qualified as a toolmark or --

1 you've not been qualified a firearms analyst or toolmark  
2 expert; is that correct?

3 A Well, now, a toolmark expert, yes, but not  
4 proffered as a firearms identification expert. If that's  
5 what -- in those words, yes -- or no.

6 Q You don't have any training as a firearms  
7 identification expert; is that right?

8 A You would have to define what any is and then what  
9 firearms identification is, because the issues are basically  
10 tribological issues that are strongly metallurgical material  
11 science based. So I would have to -- if you mean, did I  
12 ever undergo any training by firearms practitioners in their  
13 practice? No.

14 Q Okay. Did you ever go through any blind testing  
15 regarding identifying a shell casing being linked to a  
16 particular firearm?

17 A No.

18 Q So you had -- basically, you had no proficiency in  
19 that realm; is that right?

20 A No. I don't hold myself out nor do I allow myself  
21 to be proffered as a pattern -- I'm sorry -- as a firearms  
22 identification expert. I don't like that term, but that's a  
23 legal term.

24 Q How many cartridges have you compared in your  
25 career as a law enforcement officer?

1           A     I don't have any way of capturing that. For  
2 example, it would be -- the U.S.S. Iowa case.

3           Q     You stated you didn't -- you consulted once a year  
4 with firearms analysts while you were with the FBI; is that  
5 right?

6           A     Well, I'm saying that would be a rough average,  
7 once or twice a year where I would be asked to give --  
8 provide some guidance as to why they were -- why the metal  
9 was behaving in a way they had not expected or vice versa,  
10 why they didn't see certain characteristics or traits they  
11 wouldn't have expected.

12          Q     So they consulted you for the metallurgical  
13 reactions or why something -- why the interaction with  
14 metal; is that right?

15          A     Sure. The consultation was not to address the  
16 fact of a claim to match or exclusion.

17          Q     So you never made bullet matches or comparisons  
18 while you were with the FBI?

19          A     I'm sorry, bullet?

20          Q     I mean -- I'm sorry, shell casing comparisons  
21 while you were with the FBI?

22          A     Well, that's not true either.

23                   For example, in the U.S.S. Iowa case, that's  
24 basically what I was doing, trying to associate a -- I don't  
25 remember if those were 8-inch or 16-inch guns, but I don't



1 address the issues, generally, of the fact of a claimed  
2 match. I do address, however, the scientific methodology or  
3 lack thereof at arriving at an opinion as to whether or not  
4 it's scientifically acceptable or objectionable.

5 Q So you're not saying that a firearms examiner  
6 can't make a match between a bullet -- sorry -- a shell  
7 casing and a gun?

8 A What is that --

9 Q You're just establishing the science behind it?

10 A I would disagree with the term. You have to  
11 define what match means and maybe I can save -- well --

12 Q Establish that one bullet or shell casing is  
13 consistent with having been fired from a firearm.

14 A Sure, and that was totally acceptable in the  
15 current --

16 Q Isn't it true that has been used in courts for the  
17 past century?

18 A Yes.

19 Q And have you actually done any studies, personal  
20 studies, regarding toolmarks on shell casings yourself?

21 A On any studies?

22 Q Have you conducted any scientific studies on the  
23 matching of toolmark -- toolmarks on shell casings, fired  
24 from different guns?

25 A Here's the difficulty I'm having. I don't, again,

1 address the claim of a truth of the matter, whether it's a  
2 claim -- I'm sorry -- whether it's a, quote, match or not a  
3 match. The problem is the term match in the first place.  
4 What does that mean? Association would be a better term,  
5 but yes, I --

6 Q What about it being consistent --

7 A -- believe that --

8 COURT REPORTER: I'm sorry. One at a time.

9 BY MR. CLARY:

10 Q What about it being consistent with being fired  
11 from that particular firearm?

12 A I have -- my colleagues, nor I, have no problem  
13 with the claim of consistency. We do have serious claims  
14 with the claim of a match, when it means individualization  
15 or a specific source attribution, that is scientifically  
16 unfounded, so I guess --

17 Q This Court has made a ruling on that. The Court  
18 has made a ruling on that and explained that to the jury.  
19 So what -- what is it your -- what I'm trying to find out  
20 is, why you have -- I know your articles that you're  
21 publishing are critical evaluations of other people's  
22 studies; is that right, in the area of firearms analysis?

23 A Yeah, that's probably a short summary. Yes, I  
24 would accept that.

25 Q Trying to make it simple --

1           A     Yes. Thank you.

2           Q     -- so we can understand.

3                     So you took a bunch of other people's studies and  
4 work product, and you basically critiqued the way they did  
5 their study; is that right?

6           A     Sure, yes.

7           Q     Okay. Now, is that the same thing you did when  
8 you were doing the comparative lead-bullet analysis?

9           A     Yes.

10          Q     But when you did the comparative lead-bullet  
11 analysis, didn't you do a study on your own?

12          A     Yes. Well, actually, we did two studies. Yes, in  
13 the first case. And I guess the answer is, yes, we did two  
14 studies.

15          Q     And the difference between that and this is, you  
16 haven't done any studies in the area of firearms analysis or  
17 identification; is that right? You haven't conduct any  
18 personal studies of that?

19          A     Well, yes, I did perform one experiment to support  
20 a working hypothesis within the field, but again --

21          Q     You're not publishing that experiment?

22                     MR. ROSE: Objection, Judge. He needs to let the  
23 witness answer the question.

24                     THE COURT: Let the witness answer.

25                     THE WITNESS: Again, all I and my colleagues are

1           doing is addressing the validity of all of these  
2           purported studies that have been used for decades that  
3           claim support for the practice, which is -- so in other  
4           words, by necessity, we are reviewing the processes by  
5           which they are arriving at their opinions and what are  
6           known as inferences.

7   **BY MR. CLARY:**

8           **Q**     Okay. Now, when you're doing the comparative  
9           lead-bullet analysis, that was in the FBI unit, right?

10          **A**     I'm sorry --

11          **Q**     The comparative lead-bullet analysis, that was  
12          done by an FBI -- part of your organization that you  
13          belonged to at the time?

14          **A**     Yes, sir.

15          **Q**     Okay. And was that the only agency in the  
16          world -- in the United States that was conducting a  
17          comparative lead-bullet analysis, at the time?

18          **A**     That depends on which time you're talking about.  
19          The answer is through the decades, yes, but there were  
20          intermittent situations, where, for example, the Alcohol,  
21          Tobacco and Firearms tried it and then the California  
22          Department of Justice tried it for some period of time and  
23          both ended up concluding that it was an unreliable practice  
24          and they terminated it.

25          **Q**     And basically, that analysis wasn't subject to

1 peer review because it was only being done primarily by the  
2 one agency; is that right?

3 A That's pretty correct. It was an insular  
4 community that -- and again, as similar to firearm  
5 toolmarks, there's very little, if any, extra judicial  
6 interest in -- or in other words, used outside the courtroom  
7 or the law enforcement community, for the actual science of  
8 what was going on.

9 Q But now, firearms and -- firearms analysis and  
10 toolmark comparison is practiced worldwide, not just in one  
11 law enforcement agency or one -- what do you call it;  
12 testing facility; is that right?

13 A Sure. But in answer to your last question, and  
14 where you're going here, I was addressing within the United  
15 States, comparative lead-bullet analysis was done in  
16 Germany, Italy, Belgium, I believe. In fact, I have a  
17 colleague in Belgium. So it was done in other parts of the  
18 world, as well.

19 Q So what are your problems with the comparative  
20 lead-bullet analysis study? I mean, the procedures or  
21 methodology, was it the fact that it didn't have any peer  
22 review; isn't that right?

23 A Yes. Yes, exactly.

24 Q And that's why their information was flawed,  
25 right?

1           **A**     One of many reasons. Part of it was, they  
2 needed -- required access to a nuclear reactor for the first  
3 25 years. And even scientists, true scientists, might have  
4 an interest in that and didn't generally have access to a  
5 nuclear reactor.

6           **Q**     Isn't your field of expertise really the  
7 interaction of metals?

8           **A**     Yes.

9           **Q**     And --

10          **A**     Well, it doesn't have to be the interaction. It's  
11 metals and materials, and with their environment.

12          **Q**     Now, when you were with NASA, what was your job  
13 there?

14          **A**     I had several duties, but typically it was  
15 research in nuclear materials compatibilities, uranium  
16 carbide, plutonium carbide, and we were conducting what is  
17 known as creep testing, as well. At the time, one of the  
18 moon shots was intended to be a nuclear -- to be a nuclear  
19 propulsion, so we were studying the compatibilities of the  
20 materials of the fuels.

21          **Q**     Now, while you were doing that, you were working  
22 for NASA, you didn't do any firearms analysis or toolmark  
23 analysis; is that right?

24          **A**     No, that's correct.

25          **Q**     When you were with the -- when you first started

1 with the FBI, you were involved in -- you were a detective  
2 or an agent, right?

3 A Yes, I was an agent the whole --

4 Q The whole time you were an agent?

5 A The whole time.

6 Q You were a street or field agent, as opposed to  
7 being in a -- in a laboratory; is that right?

8 A That's correct, yes.

9 Q And when you switched over and became a  
10 metallurgist with the FBI, you had limited exposure to  
11 firearms analysis; is that right?

12 A Firearms analysis?

13 Q Comparisons, other than when it was -- when there  
14 were some weird metallurgic reaction inside of it?

15 A In the spirit of giving you -- literally, I was  
16 imminently familiar with combat and with the FBI for  
17 firearms, but not the actual, what I think you're driving at  
18 is the actual comparative aspects of the --

19 Q The comparative analysis of a particular shell  
20 casing to another shell casing?

21 A That's correct.

22 Q And determining whether or not those shell casings  
23 could have been fired from the same firearm?

24 A That's correct.

25 Q And the one experiment you say you have conducted,

1 that hasn't been subject to peer review; is that right?

2 A No, it was an informal experiment.

3 MR. CLARY: Okay. No further questions at this  
4 time.

5 THE COURT: All right. Let me have both of you up  
6 here, please.

7 (The following was held at the bench.)

8 THE COURT: I'm going to find he's an expert to  
9 testify in the area of metallurgy. He is not an expert  
10 in --

11 MR. ROSE: Judge? Judge?

12 THE COURT: Let me finish, for the record.

13 MR. ROSE: Sure.

14 THE COURT: He is certainly not an expert on  
15 firearms identification.

16 MR. ROSE: No.

17 THE COURT: Nor on identifying projectiles with  
18 regards to a firearm, he is not an expert in the field.

19 MR. ROSE: That's not the point of his testimony.

20 THE COURT: Well, what he can testify to is what  
21 he found in metallurgy. Now, I'm not -- I'm not even  
22 convinced he can testify as to how the -- I'm really  
23 torn about this -- about whether or not the science  
24 used with firearms identification expert is flawed or  
25 not. I'm not sure he's qualified to testify to that



1           because he's never done any kind of work himself. He  
2           studies that and wrote a report on it.

3           **MR. ROSE:** Judge, he is absolutely qualified to  
4           testify to that. He has -- he is a metallurgist. He's  
5           a scientist and by virtue of testifying -- of being a  
6           materials scientist, he's testing the way the metals  
7           are working --

8           **THE COURT:** I understand all his qualifications.

9           **MR. ROSE:** He is testing as to whether or not  
10          something is a match and that has nothing to do with  
11          his qualifications. His qualifications have to do with  
12          his knowledge of metals, the science of metals.

13          **THE COURT:** I will let him testify to that.

14          **MR. ROSE:** About how those react and by virtue of  
15          that, that qualifies him to testify to the problems  
16          with the firearm identification because -- match  
17          because those are not -- those are not specific to  
18          firearms. Those are -- those problems and issues are  
19          the subclass.

20          **THE COURT:** He can testify to those issues, but he  
21          could not, under any circumstances, say that these  
22          bullets, shell casings, did not match that firearm.

23          **MR. ROSE:** No, no. He's not going to testify to  
24          that, no. He can't. He's not qualified to -- offering  
25          for a purpose. We're offering him as to the

1 problems -- the problems with the science, not the  
2 functions of her identification.

3 **THE COURT:** I think he's qualified to testify as  
4 to the problems with the science from a metallurgy  
5 standpoint.

6 **MR. ROSE:** Yes.

7 **THE COURT:** That is it.

8 **MR. ROSE:** Judge, he is not going to testify --

9 **THE COURT:** From a metallurgy standpoint, he can't  
10 testify as to what he sees that the problems are, but  
11 as far as whether or not her findings were accurate or  
12 not accurate. He is not qualified to testify to that.

13 **MR. ROSE:** Judge, his -- his ultimate opinion is  
14 that -- is that they can testify -- he can testify to  
15 it being consistent, and then he's going to testify to  
16 a great deal of the problems with the science. He is  
17 not going to testify -- he would not let someone try to  
18 get him to testify to a problem with a declared match  
19 or anything like that. That is not what he does.

20 **THE COURT:** All right. Thank you.

21 Go ahead.

22 (The following was held in open court.)

23 **THE COURT:** Let's get into the meat.

24 **MR. ROSE:** Yes, sir. The meat.

25 **THE COURT:** Does he need to come down there with

1           you?

2           **MR. ROSE:** No, Judge.

3           Mr. Tobin, you're doing fine sitting, correct?

4           **THE WITNESS:** Yes, sir.

5           **MR. ROSE:** You just have to spin around there.

6           **THE WITNESS:** (Complies.)

7                           **DIRECT EXAMINATION (CONTINUING)**

8   **BY MR. ROSE:**

9           **Q** All right. Mr. Tobin, tell me first what -- how  
10 you would define metallurgy and materials science.

11          **A** Both are the study of solids and fluids, and their  
12 interactions.

13          **Q** And now, there's this big colorful slide up here,  
14 tribology. What is tribology?

15          **A** That's a subset of metallurgy and materials  
16 science and mechanical engineering that governs or describes  
17 the interactions of -- for the particular case at bar, it  
18 would be the interactions of the bullet with the barrel,  
19 interactions with the extractor and ejector firing pin with  
20 the cartridge casing.

21          **Q** What about the rear -- would it also cover, as in  
22 this case, the contact with the back of the shell casing or  
23 the breech face?

24          **A** Yes, it would.

25          **Q** Okay. Thank you.

1           Can you give us an example of the tribology and  
2 considerations in the firing of a weapon?

3           **A**   Well, they actually begin when the trigger is  
4 pulled. The interactions of the components with each other  
5 and with the -- eventually with the cartridge, all of those  
6 will involve tribology interactions.

7           **Q**   Metallurgy, material science and tribology, what's  
8 all this got to do with toolmarks?

9           **A**   The interactions are what generate the  
10 characteristics used by firearms examiners, what are  
11 typically striations or impressions.

12          **Q**   Okay.

13          **A**   Or impressions of striations in the case, for  
14 example, a breech face mark.

15          **Q**   Okay. In addition to performing extensive  
16 toolmark examinations during your career, you've researched  
17 and reviewed the domain literature?

18          **A**   Yes, extensively.

19          **Q**   And you've heard Ms. Murphy's testimony?

20               **MR. CLARY:** Your Honor, one of the jurors is  
21 indicating she couldn't hear what was just said.

22               **THE COURT:** You need to speak a little louder,  
23 Mr. Rose, please.

24               **THE WITNESS:** I'm even having some difficulty  
25 in --

1           **MR. ROSE:** Okay.

2           **THE COURT:** Believe me, he can speak louder.

3           **MR. ROSE:** I'll do my best. If -- Judge, I don't  
4 want to speak directly to the jury, so if they're still  
5 not able to hear me, have them wave at you.

6           **THE COURT:** Well, you can speak directly to the  
7 jury --

8           **MR. ROSE:** Please, speak up, wave or something of  
9 that nature, I want to make sure everybody hears.

10          **THE COURT:** If everybody can hear, please -- can  
11 you hear all right?

12           If any of you, at any point, can't hear the  
13 testimony, please raise your hand. You should have  
14 been doing that the whole trial, okay.

15           All right. Go ahead.

16          **MR. ROSE:** And thank you for saying that.

17 **BY MR. ROSE:**

18          **Q** So you've heard Mr. Murphy testify as to her  
19 toolmark analysis, correct?

20          **A** Yes.

21          **Q** Okay. And what are the characteristics used by  
22 toolmark examiners for comparisons?

23          **A** The categories?

24          **Q** Yes.

25          **A** As she indicated, there would be class

1 characteristics and subclass characteristics and purportedly  
2 or allegedly, individual characteristics.

3 Q Okay. And striations and impressions, how are  
4 these things left?

5 A How are these things what?

6 Q Left on the -- in this case, on the back of the  
7 shell casing?

8 A Well, as Ms. Murphy indicated, striations would  
9 be -- probably the simplest terms would be scratches, linear  
10 scratches, impressions are typically the result of transfer  
11 of characteristics under compression, when two items are  
12 compressed into each other, like clay, maybe up against an  
13 object, when you pull it up, you can see the characteristics  
14 the sub-trait --

15 Q All right. There are categories, as Ms. Murphy  
16 discussed, of characteristics for toolmarking -- or the  
17 characteristics observed in toolmark examinations and what  
18 are class characteristics?

19 A Well, as she indicated, they're characteristics  
20 generated generally by design. In other words, they're  
21 designed into the bullets or barrel. In the sub -- I'm  
22 sorry --

23 Q Subclass?

24 A Subclass would be incidentally derived from  
25 production, for example, those are the overwhelming majority

1 of them. In other words, they are developed fortuitously by  
2 interaction of the tool that's forming the piece with the  
3 item, with the work product.

4 **MR. CLARY:** Your Honor, I'm going to object as to  
5 relevance with this line of questioning. We're not  
6 talking about projectiles here. We are talking about  
7 shell casings.

8 **THE COURT:** I think he is talking about shell  
9 casings. I believe that is what he's discussing.

10 **MR. ROSE:** He is. He's talking about the  
11 generalities at the moment.

12 **THE COURT:** Yeah. Well, you're talking about the  
13 impression and I'm not going to get into that.

14 Overruled.

15 **BY MR. ROSE:**

16 **Q** Okay. You discussed subclass characteristics.  
17 What are the alleged individual characteristics?

18 **A** Individual characteristics are, by definition,  
19 only unique to that particular item. But there's serious  
20 controversy in the true scientific community about whether  
21 they, in fact, exist or not and how they are labeled as  
22 individual.

23 **Q** Assuming that individual characteristics exist,  
24 how would the examiner know the difference between a  
25 subclass and an individual characteristic?

1           A     Well, as has been said numerous times, that's the  
2 900-pound gorilla in the room. If the examiner cannot  
3 discern the difference, then what he or she is comparing  
4 belongs to an unknown size, possibly large production lot.  
5 All products that derive from this particular set of tooling  
6 in the factory, so those would be the subclass  
7 characteristics.

8                     Very controversial about how a subjective --  
9 totally subjective practice can arbitrarily label  
10 characteristics as individual. There is -- as we'll see  
11 here -- there's been no comprehensive or meaningful studies  
12 ever establishing the existence of individual  
13 characteristics, for examiners to be able to claim to be able  
14 to match.

15           Q     Now, there's a picture of a microscope. Tell me  
16 the significance of that?

17           A     Well, that's basically the comparison microscope  
18 that Ms. Murphy indicated that she used, and I used for 24  
19 years. And all this basically shows is how it's  
20 configured -- the object is configured. The questioned  
21 sample would be put on one stage of the microscope and a  
22 known sample would be put on the other stage, near the --  
23 the images are channeled up through a bridge. And then the  
24 two views are merged together in the eyepiece, the field of  
25 view. And on the right side of this particular slide, it



1 shows what an examiner might see under a microscope. And  
2 note the black line separating the questioned and the known  
3 sample. So in this case, it would be a comparison of two  
4 different cartridge cases, with breech face marks on the  
5 particular cartridge case. The lower one just shows two  
6 bullets actually being compared with each other.

7 Q All right. Now, let's talk about the A.F.T.E.  
8 theory of identification.

9 What, in your opinion, in the true scientific  
10 community, is problematic about the theory of identification?

11 A The theory of identification is not a scientific  
12 protocol. It is virtually purely subjective. The terms in  
13 it are -- first of all, it contains what are known as  
14 fallacies of presumption.

15 Q What does that mean?

16 A Fallacy is a mistaken belief, based on unsound  
17 judgment. But the primary fallacies that exist in this  
18 theory is that they assume -- what we say is they contain  
19 premises that presume what they purport to prove. In other  
20 words, they're asserting as fact, circumstances that have  
21 never been shown to exist scientifically. For example --  
22 should I --

23 Q And in the theory of identification, let's talk  
24 about some of these terms. Toolmarks enable opinions of  
25 common origin to make a unique surface an insufficient

1 agreement. What does sufficient agreement mean and what is  
2 problematic about that?

3       A       What I've done with this particular slide is use  
4 colors to show the most vague subjective terminology and  
5 it's called circular reasoning, as well. But to show -- for  
6 example, look at the word sufficient. What does sufficient  
7 mean? It has no operational meaning. It means anything the  
8 examiner wants it to mean. Your determination of sufficient  
9 is different than my assessment of sufficient and may be  
10 different than the Court's assessment of sufficient.

11             What is agreement? Same thing, it means anything  
12 the speaker wants it to mean. The items in red, which would  
13 enable the opinion of common origin and practical  
14 impossibility are what are known as fallacies of presumption.  
15 It begs the question. In other words, they're assuming that  
16 uniqueness exists by claiming that this, quote, theory  
17 enables opinions of common origin. In other words, they're  
18 presuming that uniqueness exists and there's a serious issue  
19 with the fact of whether uniqueness exists or not. Which  
20 we'll probably get to shortly.

21             But the bottom line is, this entire protocol --  
22 it's finally said that it's based on the examiner's training  
23 and experience. And in the true scientific arena, our main  
24 treatment -- community training and experience are  
25 inappropriate for scientific proof. In fact, the scientific

1 community has operated for hundreds of years, trying to  
2 eliminate -- eliminate as much as subjectivity as possible  
3 and that's why they require specific protocols, so that the  
4 hallmarks of the true scientific approach, which would be  
5 repeatability and reproducibility can eliminate as much  
6 subjectivity as possible.

7 Q What's bad about subjectivity in science?

8 A There are only two methods of reasoning, logic.  
9 If I may?

10 Q Sure.

11 A There's deductive logic and then there's inductive  
12 logic. Deductive logic is the case where an experimenter  
13 can capture the entire possible sample -- excuse me -- and  
14 test all the samples.

15 Let's say I make the statement that all Chevy Novas  
16 ever made are blue. If we had a bombing and we find a  
17 vehicle label plate that says "Nova," you can properly deduce  
18 that the car was blue. That is a proper use of deductive  
19 inference. But in those circumstances, where you cannot test  
20 every single possible sample in the sample pool, one must use  
21 what is known as inductive inference.

22 So firearms toolmarks, every firearm cannot be  
23 tested, obviously. So the only proper way to render a  
24 scientifically acceptable opinion would be to take proper  
25 samples and then render an opinion that has a properly

1 designed expression of certainty to it, based on established  
2 procedures.

3           The purpose of that is to eliminate subjectivity.  
4 Some of the, what's called pathological science, that has  
5 existed throughout the years, Polywater, cold fusion, you  
6 probably remember that, were based on subjective  
7 implications. And in most of those, there's a big red flag  
8 that goes up when an experimenter says, well, you can't trust  
9 my photographs because they didn't see what I really saw  
10 under the microscope. Or you can't trust my data because I  
11 have other data -- no, it has to be reproducible, so training  
12 and experience is not an acceptable form of proof in the  
13 scientific community.

14           Q     Okay. So getting back to A.F.T.E. theory of  
15 identification, what is the -- what is the problem with  
16 regard to the examiner's memory as it regards training and  
17 experience?

18           A     What the theory of identification basically  
19 requires is an examiner to look at striations or  
20 impressions, and decide at the time, does this constitute  
21 what they would call an association -- actually, I will use  
22 the term match -- a match or not. But then it requires them  
23 to look back on all of their thousands of cases and try to  
24 remember spatial relationships, interspatial relationships  
25 of -- nature's most elementary geometric form is a line.

1 There's basically almost no unique mental tags to remember  
2 what a line looks like.

3 So now they're called upon to recall all of the  
4 combinations and interspatial relationships of the lines that  
5 they've seen through years and thousands of examinations.  
6 That is a --

7 **MR. CLARY:** Your Honor, I'm going to object  
8 because the witness doesn't have a basis for his  
9 statement, Your Honor.

10 **MR. ROSE:** I don't understand the objection.

11 **THE COURT:** Well, I think what his objection is,  
12 he's not an expert in that area.

13 **MR. CLARY:** He has not compared thousands of  
14 bullets and done any of that.

15 **MR. ROSE:** Well, what -- he's testifying to --  
16 he's not testifying to having done it. He's testifying  
17 to problems with the A.F.T.E. theory of identification  
18 as identified in the A.T.F.E. theory of identification.  
19 He's only testifying as to the logic of it, with  
20 regards to the scientific community, not to how it's  
21 done or how they do it.

22 **THE COURT:** All right. I'll overrule the  
23 objection.

24 Let me have both of you up here for a minute.  
25 This is off the record.

1 (A conversation was held off the record.)

2 BY MR. ROSE:

3 Q All right. Moving ahead.

4 Let's talk about individualization. The concept of  
5 individualization. How is that regarded as it pertains to  
6 individualization in the firearms and toolmark community?  
7 How does the scientific -- the true scientific community view  
8 that idea or that concept?

9 A The true scientific community considers  
10 individualization a fallacy. They do not accept the ability  
11 of examiners to conclude an individualization or a specific  
12 source attribution.

13 Q When you say individualization --

14 MR. CLARY: Your Honor, I'm going to object again.  
15 Your Honor, can we approach?

16 THE COURT: Yeah.

17 (The following was held at the bench.)

18 MR. CLARY: What type of individualization is he  
19 talking about?

20 THE COURT: Here's the problem. He's got to  
21 explain which scientific community doesn't accept this.  
22 He says the true scientific community. Who the heck is  
23 the true scientific community?

24 MR. ROSE: That's fine.

25 THE COURT: And that predicate hasn't been laid as

1 to who they are.

2 MR. ROSE: That's fine.

3 THE COURT: All right.

4 (The following was held in open court.)

5 BY MR. ROSE:

6 Q Now, you've used term "true scientific community"  
7 a few times. Explain to me what -- who the true scientific  
8 community is as it pertains to the problems with firearms  
9 and toolmark examinations. What types of scientists are we  
10 talking about?

11 A Well, we're talking about metallurgists, material  
12 scientists, mechanical engineers, chemists; all those who  
13 have had hardcore, true scientific training.

14 MR. CLARY: Your Honor, I'm going to object  
15 because the witness can't testify to that.

16 Can we approach again?

17 (The following was held at the bench.)

18 MR. CLARY: He's not established that he's a  
19 member of any of those scientific organizations.

20 THE COURT: He didn't -- you have to be -- I just  
21 looked up some case law. He doesn't have to be a  
22 member of that, but he has --

23 MR. CLARY: Reviewed.

24 THE COURT: -- to have some sort of background  
25 with metal -- he's saying that based on -- he's making

1 a --

2 MR. CLARY: A broad generalization.

3 THE COURT: -- that's a good point -- that  
4 every -- that the entire -- metallurgists and  
5 mechanical engineers and none of them accept this. You  
6 know, it's just too broad.

7 MR. ROSE: Let me limit him to metallurgy and --

8 THE COURT: That's what he's an expert in.

9 MR. ROSE: That's fine. No problem.

10 (The following was held in open court.)

11 BY MR. ROSE:

12 Q As we're discussing the true scientific community,  
13 since you are one of the metallurgists and a material  
14 scientist, but now let's limit it to what they think of  
15 this.

16 A I'm sorry?

17 Q Limit your comments with regard to what the true  
18 scientific community is, to what material scientists and  
19 metallurgists opinions are.

20 MR. CLARY: Your Honor, may we approach, briefly?

21 (The following was held at the bench.)

22 MR. CLARY: Can you do a curative instruction to  
23 the jury, based on that? Because they've already heard  
24 him say that the scientific community analyst -- all  
25 these communities. And he's only an expert in the area



1 of metallurgy.

2 MR. ROSE: That's fine.

3 (The following was held in open court.)

4 THE COURT: All right. Ladies and gentlemen, what  
5 I'm allowing him to testify, as an expert in the field  
6 of metallurgy, and -- correct, Mr. Rose?

7 MR. ROSE: Metallurgy and material science.

8 THE COURT: Okay -- and material science, that is  
9 what he is being allowed to testify as an expert in.  
10 Whether he is an expert or not, or whether you believe  
11 his testimony or not, you will hear an instruction from  
12 me at the end of the case, this goes to this gentleman  
13 and would go to the State's witness, Ms. --

14 MR. CLARY: Murphy.

15 THE COURT: -- Murphy. Whether you consider them  
16 to be experts or not, and want to accept their  
17 testimony or reject their testimony, that is totally up  
18 to you as jurors, and that will be part of your final  
19 jury instructions. But he is being allowed to testify  
20 in this particular case as to metallurgy.

21 And what was the other part of it?

22 MR. ROSE: Materials science.

23 THE COURT: Materials science, okay.

24 He is not -- for purposes of this trial -- he is  
25 not qualified as a firearms identification expert by

1 any -- by his own acknowledgment; is that correct,  
2 Mr. Rose?

3 **MR. ROSE:** Yes.

4 Mr. Tobin, you don't claim to be a firearms  
5 toolmark examiner expert, correct?

6 **THE WITNESS:** Not -- not on firearms  
7 identification. Toolmarks, yes.

8 **THE COURT:** But not on firearms identification and  
9 shell casings; you are not an expert in identifying  
10 whether a particular shell casing came out of a  
11 particular firearm?

12 **THE WITNESS:** That's correct, Your Honor.

13 **THE COURT:** Okay. Do you understand, ladies and  
14 gentlemen?

15 **JURY PANEL:** Yes.

16 **THE COURT:** We're trying to limit this, so that we  
17 can get down to what the defense wants to show you  
18 here.

19 Okay. Thank you very much. Go ahead, Mr. Rose.

20 **MR. ROSE:** Very good.

21 **BY MR. ROSE:**

22 Q All right. So individualization or the idea -- to  
23 recap, the idea that something can be specifically found to  
24 have come from something else, a manufactured item, that is,  
25 never caught traction with the metallurgy and material

1 science for science?

2 A That's correct, for good reasons.

3 Q Okay. Now, let's talk about the scientific  
4 method. What are -- tell me -- describe the scientific  
5 method to me.

6 A Trying to keep it as short as possible and  
7 quickly, it's basically a technique that's been developed  
8 through the ages to eliminate subjectivity in empirical  
9 induction process, in other words, experimentation. So you  
10 generally start out with a premise or a hypothesis, or a  
11 problem. You then conduct experiments. You make  
12 observations in the experiments. You analyze the data from  
13 your experiment. And then you make a determination as to  
14 whether these data support or what's known as falsify your  
15 working hypothesis. If they falsify it or are not  
16 consistent with the premise, then you need to go back and  
17 revise your premise. It's an iterative loop. Well, I guess  
18 we do have it -- it's an iterative process.

19 Q What does iterative mean?

20 A I'm sorry. You do the process over and over until  
21 you -- until the results are consistent with your hypotheses  
22 or at least to support it. You can't prove it. You can  
23 never prove it inductively, but at least it supports your  
24 original premise.

25 So the feedback loop is required for that

1 repetitive process or iterative process. And that is a major  
2 problem in the field of firearms toolmarks. The feedback  
3 loop does not exist.

4 Q Why is that a problem?

5 A Because the -- the field does not have what is  
6 known as access to ground truth.

7 Q What is ground truth?

8 A Whether or not this is -- these are, in fact,  
9 individual characteristics that the examiner is looking at.

10 The basic scientific problem is that, as we show in  
11 the upcoming papers, scientists are probably in agreement --  
12 and I'll say materials scientists are probably in an  
13 agreement that uniqueness does, in fact, exist above the  
14 subatomic level. Yeah, above the subatomic level. The  
15 problem, though, and what we've concluded is that uniqueness  
16 is virtually -- basically irrelevant to any particular case.

17 What is very relevant, however, is whether a human  
18 observer can discern that uniqueness. In other words, how is  
19 a particular examiner going to discern whether this line is  
20 unique to this item or not. So in other words, there's  
21 the -- I think we could go to the next slide.

22 MR. CLARY: Your Honor, again, I'm objecting.

23 He's testifying outside of his field of expertise.

24 THE COURT: Overruled. He's -- go ahead.

25 THE WITNESS: So basically, the two questions are,

1 does uniqueness exist, but scientists now agree that  
2 that's basically irrelevant. The critical issue --

3 **THE COURT:** Hold on a second. What scientists?

4 **THE WITNESS:** Materials scientists.

5 **THE COURT:** Okay. Let's try to stick to that, if  
6 you would.

7 **THE WITNESS:** When I say scientists --

8 **THE COURT:** All right. When you're saying  
9 scientist, for the jury's sake, you're meaning material  
10 scientists?

11 **THE WITNESS:** Yes. But I collaborate with my  
12 colleagues on a weekly -- daily, if not a weekly,  
13 basis. And these are other coauthors who are writing  
14 papers with me, the pathologist [sic] and another  
15 chemist, statistician; all of these are in our  
16 collaborative research efforts.

17 **THE COURT:** All right. Go ahead.

18 **THE WITNESS:** I'm sorry, I forgot.

19 So the two key questions are, does uniqueness  
20 exist? We're all in agreement that above the subatomic  
21 level, it probably does exist. For example, with two  
22 water bottles, they're probably unique somewhere along  
23 the line. The key question is, though, can a human  
24 observer discern or detect that, what makes those two  
25 bottles different. And that's the, again, another

1           900-pound gorilla in the room.

2   **BY MR. ROSE:**

3           **Q**    Okay.

4           **A**    And we've already discussed deductive and  
5   inductive.

6           **Q**    Okay. Now, do you have an example of how a  
7   validation study may not be valid?

8           **A**    Yes. Basically, it's known in the scientific --  
9   true scientific community that you can never prove, in  
10   hypothesis, inductively by what's called simple enumeration.  
11   In other words, you can't just go out and grab a bunch of  
12   samples and start testing them and prove your theory. What  
13   you can do is -- there are established techniques for being  
14   able to do that, but the demonstrative example to show how  
15   the folly of trying to resort to grabbing ten samples of  
16   anything --

17           **MR. CLARY:** I'm going to object to this as  
18   speculation.

19           **THE COURT:** Well, I think experts can speculate in  
20   their field.

21           **MR. ROSE:** They can.

22           **THE COURT:** Go ahead.

23           **THE WITNESS:** So for example, in their validation  
24   studies, they will typically obtain ten sequentially  
25   manufactured firearms and compare them.

1           **MR. CLARY:** Your Honor, I'm going to object,  
2           again. This isn't his field. He's talking about  
3           firearms comparison. He's not talking about  
4           metallurgy.

5           **MR. ROSE:** He's going to talk about whether or not  
6           there was a claimed match. This is a statistical  
7           analysis. This really doesn't -- he could be talking  
8           about any number of different items; would that be fair  
9           to say?

10          **THE WITNESS:** Yes, that's correct.

11          **THE COURT:** But you're using guns --

12          **MR. CLARY:** Yes, Your Honor.

13          **THE COURT:** -- as your example.

14          **MR. ROSE:** But he is not going to talk about  
15          whether or not there's a -- any problems with a claimed  
16          match; that's not the point of this at all.

17          **THE COURT:** All right. Go ahead.

18          **MR. CLARY:** Your Honor, I would --

19          **THE COURT:** I understand your objection.

20          **MR. CLARY:** I have another objection.

21          **THE COURT:** All right.

22          **MR. CLARY:** Since he's talking about statistical  
23          analysis, I would like to voir dire the witness on  
24          statistics.

25          **THE COURT:** I believe he said he had a degree in

1 statistics or studied statistics at some university,  
2 but go ahead.

3 - - -

4 VOIR DIRE EXAMINATION

5 BY MR. CLARY:

6 Q What statistics classes have you taken in your  
7 career?

8 A I've had -- I have to look at my C.V. It's  
9 considered an overlapping discipline with materials science,  
10 metallurgy. Most scientists today are required to take  
11 statistics courses. And, in fact, daily, I can literally  
12 say daily I collaborate with professional --

13 Q What I'm asking is what statistic courses have you  
14 personally taken, not collaborations with other people or  
15 statisticians. What statistic courses have you personally  
16 taken in your career?

17 A I've taken Statistics 1, Statistics 2, two  
18 calculus courses and I've taken applied statistics for  
19 engineers and physical scientists. And got an A triple plus  
20 in that course, by the way.

21 So those are the official, but statistics is  
22 incorporated and we include that in our upcoming papers.

23 Q So you've taken -- you've taken three statistical  
24 classes, is that correct, in total?

25 A At least three, but they're subsets within some of



1 the hardcore sciences that we study.

2 Q Do you have a math major or minor?

3 A No.

4 Q You don't have a math major or minor?

5 A No.

6 Q So these are basically related to the science  
7 classes you were taking?

8 A Yes. It's a necessary part of the true scientific  
9 material science of metallurgy.

10 Q One of these classes was scientific statistics; is  
11 that right?

12 A Yes.

13 Q Or something like that?

14 A Yes, applied statistic for engineers and physical  
15 scientists.

16 Q Okay. Do you have an engineering degree?

17 A It's a science engineering degree, yes.

18 Q Do you have a metallurgy degree?

19 A Yes.

20 Q Okay. And regarding statistics, did you do any of  
21 the statistical analysis in any of your -- in the study --  
22 let's go with the compare lead-bullet analysis. Did you do  
23 any statistical analysis in that?

24 A Yes.

25 Q You did?

1           **A**    Yes.

2           **MR. CLARY:**  One second, Your Honor.

3           **THE COURT:**  Mr. Clary, I think the issue is how  
4           could he arrive at this conclusion?

5           **MR. CLARY:**  Well, it's also his basis in  
6           statistics and, Your Honor, may I approach?

7           **THE COURT:**  Yes.

8           (The following was held at the bench.)

9           **MR. CLARY:**  This is also just based on his  
10          transcript in this case.  He has mislead the Court and  
11          I'm trying to find it right now.

12          **THE COURT:**  The jury?

13          **MR. CLARY:**  He said that he did an analysis on --  
14          at this point, Your Honor, do we need -- at this point  
15          he's basically just mislead (indiscernible).

16          **THE COURT:**  You got to speak up for her.

17          **MR. CLARY:**  In my reading of the transcript from  
18          the case in Maryland, it's *State of Maryland versus*  
19          *Henry Whitten* (ph) the reporter's official transcript  
20          of proceedings, it indicates that he did not do any  
21          statistical analysis that was done by the other two  
22          writers of the paper, Your Honor.  So that's what I'm  
23          getting at this point.

24          **THE COURT:**  So why don't you ask him about that in  
25          your quick voir dire.  But the problem is -- you know,

1 I'm telling both of you guys, the jury is glazing over  
2 with this testimony, so. . .

3 MR. ROSE: I'm going to get through this.

4 THE COURT: Okay. And we still have another  
5 witness.

6 MR. CLARY: I've got to find this really quickly.

7 THE COURT: All right. Go ahead.

8 MR. ROSE: You got it?

9 MR. CLARY: Your Honor, I'm sorry, I didn't know  
10 this was going to come up, but otherwise --

11 THE COURT: Let's go off the record for a minute.

12 (A discussion was held off the record.)

13 THE COURT: He may want to do further voir dire,  
14 but go ahead with your questioning.

15 MR. ROSE: That's fine. We'll come back to this.

16 (The following was held in open court.)

17 BY MR. ROSE:

18 Q All right. Let's talk about subclass versus  
19 individual characteristics, Mr. Tobin.

20 All right. Now, the image that's on the screen,  
21 does that come from a study done by the A.F.T. -- that's  
22 published in the A.F.T.E. Journal?

23 A Yes.

24 Q Okay. Tell me what is significant about that  
25 image on the scene in terms of the problems between subclass

1 characteristics and the alleged individual characteristics?

2           **MR. CLARY:** I'm going to object, Your Honor. He's  
3 not qualified to testify regarding comparisons in  
4 tool -- in firearms analysis, Your Honor. He's a  
5 metallurgist.

6           **THE COURT:** He's talking about the metallurgy.  
7 Overruled. Go ahead.

8 **BY MR. ROSE:**

9           **Q** Go ahead.

10          **A** Well, now I forgot the question.

11           This basically shows the similarity of toolmarks,  
12 how virtually indistinguishable two different samples are.  
13 Here's the split screen image again. This was presented in  
14 the AFTE community as having been fired from two completely  
15 different weapons, noting the similarities of these is quite  
16 remarkable and that would be consistent from a material  
17 science, metallurgy, as a former plant metallurgist, as to  
18 what I would expect to see with weapons that come off of the  
19 production line fairly contemporaneously.

20          **Q** Okay. So subclass characteristics are what  
21 specifically?

22          **A** Those generally derive from the manufacturing  
23 process. In other words, the tooling that forms or cuts or  
24 shapes a particular work product will most often impart the  
25 same -- essentially similar characteristics from product to

1 product to product, and there are metallurgical reasons for  
2 that occurring.

3 Q And individual characteristics to the extent that  
4 they exist?

5 A Well, those are supposedly by definition unique to  
6 one particular work product or weapon in this case.

7 Q Okay. Now, what are some of the problems that  
8 exist for defining subclass versus individual?

9 A Well, basically there are no defined criteria  
10 offered to examiners as to how they're supposed to  
11 determine, when they see a line or a couple of lines, are  
12 these individual or are they subclass? In other words --  
13 and I've noticed in our research that we have found no  
14 literature describing to examiners; how they're supposed to  
15 be able to tell whether these came from fabrication or  
16 whether these are supposedly individual characteristics to  
17 this gun. Which begs the question, how do trainees train  
18 their charges -- or train their new examiners behind closed  
19 doors, if there's no literature out there describing this.  
20 How are they -- if you can't communicate it in public  
21 domain, how are you communicating it behind closed doors?

22 So the bottom line is, there's no literature  
23 describing the process of how to tell the difference between  
24 subclass and individual. And there have been -- we found no  
25 meaningful or comprehensive studies, ever, as to how you can

1 discern manufacturing marks from supposedly individual marks,  
2 and not rely on superhuman memory to try to remember the  
3 lines. It's basically been characterized in the scientific  
4 community as a practice of, I know it when I see it. Again,  
5 the fallacies of presumption. You're presuming what you're  
6 looking at are individual characteristics when there's been  
7 no proof whatsoever, ever shown as to whether they are or are  
8 not individual. And again, there's no feedback loop for  
9 error detection because the field does not have any access to  
10 the ground truth.

11 In other words, is this item truly individual or is  
12 it essentially similar to every other weapon in the possible  
13 10,000 guns that came out of that production or 100 guns or  
14 whatever.

15 Q Okay. What are some of the other problems with  
16 the methodology for distinguishing subclass and individual  
17 characteristics?

18 A Well, I think I've already indicated, but to this  
19 day there's no working methodology or operational  
20 methodology for examiners to be able to claim a mark is not  
21 a subclass characteristic, but rather, is individual.

22 We can skip this next one. The significant errors  
23 and misattributions that have been made, are almost entirely  
24 a result of an examiner believing that they were individual,  
25 when, in fact, they were subclass, coming from the

1 manufacture.

2 Q Now let's -- with regard to the subclass versus  
3 individual problems, what are some of the signs in your  
4 field that show that this is a real -- real and true problem  
5 for the firearms identification field?

6 A There are numerous studies and numerous literature  
7 indicating that -- in some of the studies, for example of  
8 known matches, they have found that somewhere between 20 and  
9 38 percent of concordance, in other words, of the lines  
10 matched --

11 MR. CLARY: I would object, Your Honor. Who is  
12 they?

13 BY MR. ROSE:

14 Q Who's they?

15 A I'm sorry?

16 Q There was an objection. Just qualify that.

17 A The experimenters or authors of the paper or the  
18 studies.

19 MR. CLARY: I'm going to object again. What  
20 paper? What study?

21 THE COURT: Sustained. You have to lay a  
22 predicate for that.

23 THE WITNESS: I don't memorize every paper, but  
24 I'm actually citing one from Miller here.

25 BY MR. ROSE:

1 Q Okay.

2 A The studies have shown that the number of  
3 characteristics that are dissimilar, and this is most often  
4 the case, exceed the characteristics that are similar. And  
5 there's a reason for that. The National Academy of Sciences  
6 have confirmed that after their studies that there is  
7 immense variability from shot to shot, even within the same  
8 firearm because the charge, the powder in there, you cannot  
9 exactly duplicate from shot to shot, the pressures, and/or  
10 the metal-to-metal contact, so there is going to be some  
11 variability from shot to shot.

12 And the field studies have confirmed and the one  
13 I'm citing here by *Miller*, that there were more -- the  
14 different weapons, and in that study, I believe they show  
15 52 percent lines that matched up from two totally different  
16 weapons. So again, this is a subjective practice. So what  
17 we're basically saying is, showing that guns from -- known to  
18 have fired a certain projectile or cartridge cases are  
19 showing 20 to 38 percent concordance, and yet, no non-weapons  
20 are showing up to 52 percent.

21 Q No non-weapons?

22 A I'm sorry. No non-matched weapons are showing up  
23 to 52 percent matches of characteristics. And the second  
24 warning sign that the problem is real, and I would cite,  
25 AB -- study AB 17, 17, which is a study by Dekender (ph) I



1 didn't have it on the slide there. What they found confirms  
2 the scientific position, because what happened was,  
3 examiners would enter digital images of their known matches.  
4 In other words, they test-fired into a database. And as  
5 time went on, what would -- let's say, they would go to  
6 conduct inquiries of that database and typically the known  
7 matches come up in the top ten or 15 candidates.

8           However, as more and more test-fires began to be  
9 entered into the system, it turns out, surprisingly to the  
10 experimenters, that the known candidates did not even appear  
11 in the top ten or 15 candidates after so many entries. In  
12 other words, the computers were identifying weapons that were  
13 even better matches than the known matches.

14           So in other words, what I'm saying here in this  
15 slide is, that as the federal databases grew, the no  
16 non-matches appeared closer to the more likely candidate than  
17 the actual guns from which they were fired. That is a  
18 serious red flag saying that the problem is real on  
19 discerning subclass from individual characteristics.

20           Q     Let's talk about defects in technology. Has the  
21 firearm industry, as well as, I suppose, any other industry  
22 has the ability to mass produce more and more firearms  
23 created a larger problem in a sense, for the fire and  
24 toolmark field?

25           A     It has, yes.

1 Q How is that?

2 A When I was a plant metallurgist in charge of the  
3 tool and die operations -- the heart and nerve center of  
4 production typically is the tool and dies. They're very  
5 expensive, and production continuity is a critical issue  
6 depending on the macroenvironmental circumstances --

7 Q And wait, wait, wait. Macroenvironmental  
8 circumstances, what does that mean?

9 A Macroeconomics. In other words, what kind of  
10 demand -- is the production running 24/7 or are they -- is  
11 the economy and the recession and, therefore, they're only  
12 running one shift. But the bottom line is, production  
13 continuity, more often than not, is critical. It's up to  
14 the plant metallurgist to keep the production running.  
15 Obviously, that's how they make money.

16 Q What do you mean when you say production  
17 continuity? What do you mean by that?

18 A Keep the bench presses or forging presses, or  
19 whatever operations are going -- keep them running with  
20 little or no downtime, ideally.

21 Q Okay.

22 A And in fact, what typically happens when there's a  
23 tool or die change is, if it's running 24/7, you have to  
24 just quickly stop production and put a new die in. You  
25 don't have time to resurface that one. That's how critical

1 sometimes the production continuity is. But it's been the  
2 goal of metallurgists and material scientists, for decades  
3 in production, to keep the tool and die life -- keep wear  
4 down to an absolute minimum, because of this production  
5 continuity issue.

6 So typically, the dies are comprised of tungsten  
7 carbide and other extremely hard materials. So what you --

8 Q Let me stop you for a second.

9 To the extent that not everybody is familiar with a  
10 manufacturing plant, explain what tool and dies are, and how  
11 they're used to make things like firearms.

12 A Well, like for example, at Chase Brass & Copper,  
13 the tool or die would be the drawing die, where the tubing  
14 is pulled through an orifice and formed into a tube or a  
15 wire. So the tool or die would be the actual item through  
16 which the product is pulled to be shaped.

17 For the purposes of weapons, and in this particular  
18 case, the tools and dies would be those that are -- were used  
19 to generate or form to final shape, and condition the breech  
20 face, in this particular case.

21 Q What's the difference between a tool and a die?

22 A They're interchangeable, pretty much.

23 Q When you say tool and die, in your field, what  
24 we're talking about, there's really no substantive  
25 difference (indiscernible) --

1                   **COURT REPORTER:** I'm sorry, substantive  
2                   difference?

3   **BY MR. ROSE:**

4           **Q**     -- there is no substantive difference between the  
5   two, they're interchangeable terms; is that correct?

6           **A**     That's correct.

7           **Q**     Okay.

8           **A**     So the bottom line, in answer to the question, is  
9   over time there have been technology -- technological  
10   improvements to the tool and die operations, and to a very  
11   critical component that has been ignored by the firearms  
12   toolmarks community, and that is in lubrication matters to  
13   reduce the friction that causes the wear. So what's  
14   happened over a period of time is larger and larger  
15   production lots have ensued from the technological advances.

16                   So let's just say, picking numbers, 40 years ago  
17   there might have been a thousand weapons or weapon components  
18   manufactured on a certain forging bench or broaching [sic]  
19   bench [sic] or whatever, but now it might be 10,000, because  
20   of advances in lubrication and advances in the tool and die  
21   materials.

22                   On the second part of the slide, the manufacture,  
23   advantages or what's called C.N.C, that's Computer Numerical  
24   Control. That has allowed, now, to reduce the human  
25   intervention issues. Now the computers are actually keeping

1 very tightly controled circumstances over the production. So  
2 that alone has allowed an increase in the eventual number of  
3 tools that comprise a lot or a batch of firearms.

4 Q And they become more durable, as well?

5 A Yes.

6 Q And now -- okay. And this relates to the issue of  
7 whether a characteristic then, that an examiner would see,  
8 is subclass, meaning it's going to be in every single --  
9 that characteristic is going to be in every single gun or  
10 whatever tool in that batch of guns made, at that time or  
11 whatever item, or if a mark is allegedly individual, okay.

12 Now, how do these problems relate to what the  
13 difficulty for an examiner to tell whether something is  
14 subclass, meaning it's consistent across an entire batch or  
15 perhaps individual?

16 A They can't.

17 Q How do these issues relate to that?

18 A Well, let's just keep the simplest case. Let's  
19 say I'm the plant metallurgist and I'm standing right at the  
20 bench when these products are coming off. Let's say a  
21 forensic examiner comes in and takes ten weapons that are  
22 sequentially manufactured out of the process. What is a  
23 critical parameter that has been ignored is, what's the  
24 state of the lubrication system? For example, did I just  
25 change it before they got there or is this lubrication

1 system not been changed for a long time, which there will be  
2 a lot more anomalous surface characteristics on there. So  
3 taking ten samples is virtually worthless, it's not an  
4 appropriate sample to characterize the entire production  
5 line.

6 Q So are you saying that in the circumstance of  
7 lubrication, if it is not properly lubricated, there's a  
8 difference in whether or not there will be subclass or --  
9 more or less subclass features?

10 A Absolutely. In fact, there are typically three  
11 regimes of lubrication: Boundary layer, fluid film and  
12 (indiscernible) --

13 COURT REPORTER: I'm sorry?

14 THE WITNESS: Boundary layer, fluid film and  
15 elastohydrodynamic lubrication. And those can occur on  
16 a microenvironmental scale all at the same time in  
17 various parts along the same sample, even in  
18 production. So taking ten guns is no sample at all.  
19 It's not an appropriate statistical sample, so as a  
20 plant metallurgist, I could stand there right by  
21 production and still not be able to characterize  
22 whether certain characteristics are supposedly  
23 individual or whether there's a subclass belonging to  
24 the manufacturing run.

25 BY MR. ROSE:

1           Q     And that's because of these issues, including  
2 whether or not things are properly lubricated?

3           A     That's correct. And maybe to take a very  
4 understandable example, let's just say there's a chip or a  
5 piece of grit of silicon carbide, which is a grit of sand.  
6 So let's say a grit gets in the drawing operation, it's  
7 going to drag on the surface and it's probably going to  
8 rotate a bit. It's going to degrade essentially and then be  
9 degraded away, and production resorts back to the way it  
10 was.

11                     The problem is, let's say you come in and you take  
12 ten samples, how does an experimenter know that those ten  
13 samples weren't -- exactly when those anomalies were  
14 happening, but once they leave the plant, that grit is gone.  
15 It's now going back to the same as it was doing to the other  
16 thousand weapons.

17           Q     All right. Let's talk about the premises of  
18 uniqueness and repeatability and what is their importance?

19           A     I think we already discussed this. Basically,  
20 uniqueness exists, which is a required -- if one is going to  
21 opine that an object originated from this specific source,  
22 the sudden implication is, therefore, this specific source  
23 must be unique. It has to be. That is a required premise.

24                     In other words, if there were ten or 20 or a  
25 thousand other sources, how can you say it came from this

1 source to any of others. So the implied premise for firearms  
2 toolmarks identification is one of uniqueness. But again,  
3 scientists challenge whether that's even relevant for the  
4 probative or a judicial proceeding.

5 The key issue is whether a human observer can  
6 discern that a uniqueness exists, so that's the -- that's the  
7 issue.

8 Q Let's skip ahead.

9 A And that's why we changed the challenge to -- we  
10 don't challenge whether uniqueness exists, but scientific  
11 challenges to whether discernable uniqueness exists for  
12 firearms to be able to apply their trade.

13 MR. CLARY: Your Honor, is it possible for me to  
14 have a bathroom break at this point?

15 JURY PANEL: Yeah, please.

16 THE COURT: Ladies and gentlemen, we're going to  
17 take a break. Be back in ten minutes.

18 COURT DEPUTY: Jury existing.

19 THE COURT: Please don't discuss the case among  
20 yourselves.

21 (The jury exited the courtroom.)

22 (The Court took a recess.)

23 THE COURT: Let's go on the record, please.

24 His next slide is going to be firearms  
25 identification is not a science?



1           **MR. CLARY:** Your Honor, I would object to that.  
2           He's not qualified.

3           **THE COURT:** Really?

4           **MR. CLARY:** I would object to that slide, yes,  
5           Your Honor.

6           **THE COURT:** And why?

7           **MR. CLARY:** Because firearms identification, he's  
8           not qualified to make that determination. He's not a  
9           firearms examiner. He's not qualified in  
10          identification -- he's making a broad generalization  
11          based on his own personal belief and not a wider  
12          scientific community.

13          **MR. ROSE:** That's not at all what he's doing.

14          He is applying the scientific method to what the  
15          A.F.T.E. does. The A.F.T.E. is not scientific, by  
16          definition, because it is entirely subjective. It is  
17          100 percent subjective and it depends entirely,  
18          entirely as she testified, on the examiner's training  
19          and experience. Science is objective. Toolmarks is  
20          100 percent subjective. It is, by definition, not  
21          science if it is 100 percent subjective.

22          **THE COURT:** Well, first of all, I didn't hear her  
23          say it was a hundred percent subjective. I thought she  
24          said she had criteria that she had to follow. She's  
25          standing right back there and we can put her back on.

1           **MR. ROSE:** That's fine. The criteria that she has  
2 to follow says it's subjective. It says it in it.

3           **MR. CLARY:** Again, his specific field of expertise  
4 is metallurgy and a science related to metallurgy, and  
5 he's claiming basically expertise in the field of --

6           **MR. ROSE:** He's not -- no, no. He's not claiming  
7 any expertise in firearms and toolmark identification.  
8 That's not the point. You will never find --

9           **THE COURT:** He is claiming expertise in being a  
10 scientist.

11           **THE WITNESS:** Yes.

12           **THE COURT:** The question is, how do you define  
13 science?

14           **MR. ROSE:** Well, he's done that. And he said why  
15 the A.F.T.E. is not scientific.

16           **THE COURT:** Well, that organization may not be  
17 scientific, but what she does may be scientific.

18           **MR. ROSE:** It's 100 percent subjective.

19           **THE COURT:** All right. Bring her up here, please.  
20 Ms. Murphy, come up here. Stay right where you  
21 are.

22           **MS. MURPHY:** Uh-huh.

23           **THE COURT:** You're still under oath, ma'am.  
24 Is what you do 100 percent subjective?

25           **MS. MURPHY:** No, it is not. No.

1           **THE COURT:** That's the problem I've got, Mr. Rose.  
2           It's not 100 percent subjective.

3           **MR. ROSE:** The theory of identification even says  
4           it is. It is dependent upon -- subjective and  
5           dependent upon their training and experience. That's  
6           what it says.

7           **MS. MURPHY:** The determination of a significance  
8           is what is subjective. In every science, every single  
9           science has subjectivity. When a doctor examines you  
10          and determines that you have a head cold, that's a  
11          subjective decision on his part, based on his  
12          experience and his training.

13          When a chemist sets a baseline on an instrument,  
14          that's a subjective decision based on their intuition,  
15          their experience and their training. Every science has  
16          subjectivity attached to it. There is more to the  
17          subjectivity of firearms and toolmark examiners as far  
18          as their conclusions are evolved. It's how they arrive  
19          at that conclusion.

20          There is a methodology to get to that end point  
21          and that is not subjective.

22          **MR. ROSE:** There are no guidelines. There is  
23          nothing -- there's absolutely nothing. It is that  
24          you're supposed to remember what you've seen and  
25          compare it to what you've seen. It is completely

1 subjective. I think her testimony is -- what is  
2 objective is what she is looking at and not what she  
3 thinks and not her method for arriving at it.

4 **THE COURT:** Do you have any response for that,  
5 ma'am?

6 **MS. MURPHY:** Well, yes.

7 **THE COURT:** Go ahead.

8 **MS. MURPHY:** There is protocol for how we do an  
9 examination. There is protocol listed in the AFTE  
10 theory of identification. It's just you're not  
11 understanding how it's written and you don't do the  
12 work, so you don't understand exactly what it means.  
13 But the AFTE theory of identification is basically, it  
14 enables you to take two toolmarks and be able to relate  
15 them as having a common origin.

16 **MR. ROSE:** Correct. I understand.

17 **MS. MURPHY:** Once you get passed that, then you  
18 have to, based on your training and experience, you're  
19 looking at marks under the microscope. And you're  
20 comparing those marks together. And it's not just a  
21 matter of a series of lines and remembering all the  
22 series of lines that I've seen. That's not it at all.  
23 You're matching up patterns together.

24 **MR. ROSE:** It's still subjective.

25 **MS. MURPHY:** No, it's not subjective.

1           What you see is what you get, that's what's there.  
2           The problem is, I can't bring a microscopic into a  
3           courtroom every time I have to testify, put the  
4           evidence on the microscope and show it to the jury.  
5           They would have to each personally come up and look in  
6           the microscope. And since they're not trained to know  
7           what they're looking at, it would be pointless anyway.  
8           But the evidence is on the microscope, under the  
9           microscope, on the evidence itself. It's not in a  
10          photograph.

11           A photograph is just a representation of a certain  
12          little area that I happen to look at. It doesn't cover  
13          everything on that whole surface that I'm looking at to  
14          make my identification. It's just part of my notes  
15          that represent where I took my identification at; where  
16          I found it and what I was looking at.

17           I also verbally describe what I'm doing and what  
18          I'm seeing in my notes. So it's not -- it's not  
19          totally, 100 percent subjective.

20           **THE COURT:** And here's the other thing I don't  
21          understand. Where it says no reproducibility, she  
22          fires additional shells out of the same gun and  
23          reproduces. Now, I understand she may not produce all  
24          these sub-identifiers, but she's reproducing an  
25          identifying mark from that particular firearm. And I

1           made it clear to the jury that that firearm is not  
2           excluded -- or that that firearm is not 100 percent  
3           excluded -- or excludes every other firearm in the  
4           world.

5           So while, certainly whatever she does isn't 100  
6           percent perfect, I'm not comfortable -- and I agree  
7           with Mr. Clary on this -- I'm not comfortable with him  
8           saying firearms identification is not a science.

9           What I will allow him to say is that in his  
10          opinion --

11          **MR. ROSE:** Right.

12          **THE COURT:** -- I don't want him saying just  
13          blatantly it's not a science. I mean, everybody has an  
14          opinion, just like everybody's got other things. You  
15          know what I'm saying?

16          Okay. So in his opinion, he can say that firearms  
17          identification is not a science, in his opinion, and he  
18          can say why. And then, if she wants to get back on and  
19          testify, that will be fine, and restate to the jury why  
20          that's not true or why it is true, or whatever she  
21          wants to say. Or if Mr. Clary chooses to put her back  
22          on.

23          But, you know, as an expert, he can render an  
24          opinion. It's his opinion. But he also -- the other  
25          thing he needs to stop doing is quit rendering opinions

1 of the entire scientific community, because the  
2 opinions he's supposed to be rendering are his  
3 opinions, and are not correct. It's his opinion, not  
4 what the entire scientific community -- because I  
5 guarantee you that I can find a scientist somewhere  
6 that's going to disagree with him, somewhere in the  
7 world.

8 **THE WITNESS:** Your Honor, I should be allowed to  
9 collaborate, which I do, and I'm on public record --

10 **THE COURT:** No, no, you can collaborate --

11 **THE WITNESS:** -- with affidavits indicating that  
12 it's a unanimous consensus amongst all of the  
13 professors with whom -- and scientists -- it's  
14 unanimous that firearms toolmarks is not a science. It  
15 does not --

16 **THE COURT:** Don't interrupt.

17 **THE WITNESS:** -- it does not exhibit the hallmarks  
18 for reasons we haven't talked about yet, but it does  
19 not exhibit any of the hallmarks of the true science,  
20 the scientific endeavor.

21 **THE COURT:** I understand that. But as she can't  
22 say that that firearm is the only firearm in the whole  
23 world that could have made those marks, that's what  
24 Mr. Rose wanted me to instruct that she couldn't say.  
25 You can't say that every scientist in the whole world

1 is going to agree with you.

2 THE WITNESS: Nor do I.

3 THE COURT: Right.

4 THE WITNESS: I don't say that.

5 THE COURT: And to say that the scientific  
6 community -- if you want to limit it to the scientific  
7 community that you have discussed it with, that's one  
8 thing.

9 THE WITNESS: Well, the literature --

10 THE COURT: And the literature that you've read,  
11 yes.

12 THE WITNESS: And that's all I'm representing.

13 THE COURT: But the way it's coming out is the  
14 scientific community doesn't accept this and that's the  
15 entire scientific community. You know, I want to give  
16 this jury a true picture of what's going on here, one  
17 way or another. I don't care how it comes out. I just  
18 want it to be accurate, okay?

19 MR. ROSE: Sure.

20 THE COURT: Let's try and get this back on track.  
21 If he wants to say, in his opinion, he can say that.  
22 And she can say whatever she wants to say, when she  
23 retakes the witness stand, if she retakes the witness  
24 stand. But let's limit it to -- instead of the  
25 scientific community this or that, because you haven't



1 talked to everybody in the scientific community.

2 **THE WITNESS:** No, that's a -- that's inadvertent.  
3 I assumed that everybody knew that it would have to be  
4 relying upon the studies I've reviewed and my  
5 colleagues --

6 **THE COURT:** Remember what I said earlier? You're  
7 dealing with people over here whose qualifications to  
8 be on this jury is, they don't have a felony, they're  
9 18 years old and they live in Florida, and they have a  
10 driver's license. That's their qualifications to be  
11 here. Some of them don't -- may not even have a high  
12 school degree.

13 **THE WITNESS:** It might save the Court a lot of  
14 time if we were to, right now, jump to the bottom line.  
15 Neither I, nor my colleagues, object to an opinion that  
16 the characteristics that Ms. Murphy examined, are  
17 consistent with it having been fired with that firearm.  
18 We don't have any problem with that statement.

19 **THE COURT:** All right. Then let's -- you know --  
20 you know, as I've told you before, it's your case,  
21 Mr. Rose. You can keep going. These people are  
22 glazing over in here. They really are. I'm watching  
23 their eyes.

24 **MR. ROSE:** I don't totally agree with you.

25 **THE COURT:** You may not think so, but I'm watching

1           them.

2           **MR. ROSE:** I'm watching, too.

3           **THE COURT:** And you need to -- we need to get to  
4 the bottom line of what his opinion is about toolmark  
5 identification.

6           **MR. ROSE:** Right.

7           **THE COURT:** He doesn't believe -- what's the word?

8           **MR. ROSE:** Metallurgy.

9           **THE COURT:** Easy for you to say it. Yeah, right.

10          **THE WITNESS:** Metallurgy.

11          **THE COURT:** Metallurgy, because of metallurgy, it  
12 is not a valid scientific identification. I mean, he  
13 can say that, but that is -- that's what he's qualified  
14 to say; do you agree, Mr. Clary?

15          **MR. CLARY:** If he's stating because -- in his  
16 expertise --

17          **THE COURT:** As a metallurgist.

18          **MR. CLARY:** -- and what's the other one?

19          **THE WITNESS:** Material science.

20                But I would help you out. I wouldn't even be that  
21 strong. I'm not saying it's not a valid -- I'm not  
22 offering the testimony to rebut the truth of the matter  
23 asserted that it's a claimed match. I'm not  
24 disagreeing with that. What I am taking objection to,  
25 scientifically, I'm saying nothing different than the

1 National Academy of Science hasn't already said. The  
2 examiner cannot opine to any degree of certainty.  
3 It's -- we'll agree that it's consistent -- "we" being  
4 my colleagues and I -- that the examiner can opine that  
5 the characteristics she saw are consistent with it  
6 having been cycled or fired from this firing platform  
7 or this weapon.

8 THE COURT: Well, the Florida Supreme Court has  
9 ruled in January of this year that firearms  
10 identification is of -- such has been accepted in  
11 the -- I don't have the case in front of me.

12 MR. CLARY: It's *King versus State* --

13 THE COURT: Yeah, and --

14 COURT REPORTER: I'm sorry, it's who?

15 THE COURT: It's been accepted --

16 MR. CLARY: *King versus State of Florida*.

17 THE COURT: Here's what the Florida Supreme Court  
18 said in January of this year. Let me find the  
19 language.

20 MR. ROSE: They said because it's been accepted  
21 for a hundred years that you don't have to do a *Frye*  
22 hearing.

23 THE COURT: Yeah, because -- hold on, I want to  
24 find the exact wording, so that we all understand.  
25 It's such a long opinion. I've got to find the right

1 page.

2 They said, additionally, standards controlling  
3 firearms comparison testing exists as being testified  
4 on the State Court versus Doberd (ph) hearing, he filed  
5 a well-accepted method in scientific procedures and in  
6 making this comparison, and they went on to say that --  
7 basically, that you don't have to do a *Frye* hearing  
8 because fire mark identification evidence has been  
9 clearly accepted for over a hundred years in the court.  
10 And *Frye*, if the Supreme Court of Florida thought that  
11 it was -- that the use of firearm mark identification  
12 evidence was unacceptable, they -- this case just came  
13 out in February of '12, they would have certainly said  
14 so.

15 They said, we don't need a *Frye* hearing to  
16 establish its acceptance of the scientific community,  
17 because it has been accepted on so many times and they  
18 said they have nothing to show that it's not accepted.  
19 There were a few federal cases cited and those federal  
20 cases, they said, are not persuasive.

21 So the bottom line is, is the Florida Supreme  
22 Court has said I didn't need a *Frye* hearing, which says  
23 to me that it's accepted in the scientific community.  
24 And, therefore, for you to say that firearms  
25 identification is not a science. You can say, in your

1 opinion, but you can't just make that bold statement;  
2 do you follow me?

3 **THE WITNESS:** Yes, Your Honor.

4 The same claim was made with comparative  
5 bullet-lead analysis, as well, for almost four decades.  
6 But when they're saying "community," I don't believe  
7 the Court had standing to claim what the true  
8 scientific community's position was. It had been  
9 admitted for a hundred years and there are numerous  
10 papers indicating that the courtroom is not a  
11 laboratory, but the comparative bullet-lead analysis,  
12 that parallels pretty much the same challenge as what's  
13 happening now.

14 **MR. ROSE:** He'll restrict his testimony to his  
15 opinion.

16 **THE COURT:** You know, you may disagree with the  
17 Supreme Court and I may disagree with the Supreme  
18 Court. I'm not saying that I do. But on numerous  
19 occasions I have disagreed with what the Supreme Court  
20 has said, but I have to follow what they say. You  
21 know, they're a much higher court than I am and when  
22 they mandate a ruling, I'm bound by it. And they have  
23 said that, obviously, I'm really having a hard time  
24 finding the language, but at the very end of that  
25 issue -- I don't have my case on it here,

1           unfortunately, but I had it marked -- do you have  
2           another copy of this case?

3           **MR. CLARY:** Do I have another one? Yeah, I have  
4           one with --

5           **THE COURT:** Do you have it where that language is  
6           marked?

7           **MR. CLARY:** I don't have it where it's marked, but  
8           I'm trying to find what you're talking about.

9           **THE COURT:** Where they said no *Frye* hearing was  
10          required?

11          **MR. CLARY:** I have where that is. I have that  
12          marked. Here's where it says no *Frye* hearing is  
13          required.

14          **THE COURT:** Yeah, here's what they said:

15                 We have reviewed the history of tool-mark  
16                 comparison, and we conclude that the trial court did  
17                 not err when it declined to conduct a *Frye* hearing.  
18                 Decisional law demonstrates that toolmark  
19                 identification in the context of ballistics has been  
20                 used in the criminal context since at least 1929, and  
21                 in Florida since at least 1937.

22                 Although *King* contends that toolmark  
23                 identification in the absence of a known weapon should  
24                 be subject to a *Frye* inquiry, research reveals that  
25                 both Florida courts and other state and federal courts

1 have admitted this evidence since at least 1969.

2 They went on to say that, in light of this  
3 well-documented history of toolmark identification over  
4 the last century, we conclude that this procedure is  
5 not new or novel and, therefore, the trial court  
6 properly declined to conduct a *Frye* hearing. And *Frye*  
7 is certainly for new and novel concepts that the  
8 scientific community may or may not accept. You know  
9 what *Frye* is.

10 THE WITNESS: Yes, Your Honor.

11 THE COURT: So by them saying that, I have to -- I  
12 can do nothing but deduce that they're accepting this  
13 as a proper scientific method of identification.

14 THE WITNESS: I have a slightly different reading.

15 THE COURT: All right. And that's your privilege.

16 THE WITNESS: My reading of *King* was that --

17 MR. ROSE: He's limiting your testimony to this  
18 point. It doesn't matter.

19 THE COURT: I want -- you can say firearms  
20 identification, in my opinion, is not a science. But I  
21 don't want you to say in the scientific community it's  
22 not an acceptable science, because that's just not a  
23 true statement. It may be in the scientific community  
24 that you've talked to or read --

25 THE WITNESS: Well, of course.

1           **THE COURT:** But you can't say the entire  
2 scientific community does not accept it. Because it's  
3 been accepted in Florida since 1937.

4           **THE WITNESS:** Well, the implication, when I say  
5 the scientific community, I was just assuming everyone  
6 would realize that, obviously, it has to be from the  
7 sampling that I've made with my colleague and the  
8 literature --

9           **THE COURT:** I understand something about jurors,  
10 they don't -- they don't deduce anything from what you  
11 said to relate just to you. They take your words at  
12 face value, one way or another, okay.

13           Are we ready to get started again?

14           **MR. ROSE:** Yes.

15           **THE COURT:** All right. Let's get them going,  
16 please. Let's bring the jury in, please.

17           **MR. ROSE:** Judge, I'm just going to cover the  
18 slides that this is not a science.

19           **THE COURT:** All right. Have a seat.

20           **MR. ROSE:** Let's go to the next one.

21           (The jury entered the courtroom.)

22           **THE COURT:** All right. You can go ahead.

23           **MR. ROSE:** Thank you, Your Honor.

24           I think she needs that adjusted.

25           **THE COURT:** Go ahead.



1 BY MR. ROSE:

2 Q All right. Mr. Tobin, in your opinion as a  
3 forensic metallurgist and materials scientist, and that  
4 opinion of other scientists in your field, is firearms and  
5 toolmark identification science?

6 A No.

7 Q Why not?

8 A It lacks the hallmarks of a true science, for a  
9 number of reasons.

10 Q What are those reasons?

11 A Again, subjectivity is -- training and experience  
12 is unacceptable as proof in the scientific community. There  
13 are no parameters of detection designated in any kind of a  
14 protocol, a scientifically acceptable protocol. And then  
15 there are no rates of application of any parameters of  
16 detection.

17 And what the decimally I would use is, we're baking  
18 a cake. In other words, there has to be a protocol that  
19 tells you what you're looking for and then how you're  
20 supposed to judge what you're looking for. And part of the  
21 reason for that is, it two of the hallmarks of scientific  
22 endeavors are repeatability and reproducibility, and those do  
23 not -- in the true scientific arena are not the way they're  
24 used in the firearms toolmark. They have a slightly  
25 different meaning. But let's say we're baking a cake. To

1 bake a cake, the rates of -- I'm sorry -- the parameters of  
2 detection would be equivalent to saying what ingredients are  
3 you going to be putting in this cake? What specific  
4 ingredients are you going to be using to make this cake?

5 The lack of guidance on how to -- on how to apply  
6 those, would be -- and what amounts are you going to put in  
7 each of these ingredients and how do you plan to mix them?  
8 How are you going to cook it?

9 So the bottom line of firearms and toolmarks  
10 practice has no parameters of detections specified, i.e.  
11 ingredients, let's say. It has no application guidance for  
12 how to apply any rates of parameters and detection. And then  
13 it lacks repeatability and reproducibility.

14 Repeatability is the property of an experiment  
15 where the same examiner can repeatedly repeat the results of  
16 his or her experiments over and over, that's repeatability.

17 Reproducibility is for external, another examiner  
18 or in the scientific arena, another scientist to be able to  
19 reproduce the experiment of someone else, that's  
20 reproducibility. And there's ample evidence of terrible  
21 misattributions having been rendered. In fact, in one case  
22 we may or may not see here, the same examiner, a year later,  
23 decided he found another gun that he liked even better than  
24 the one he originally said he was a hundred percent certain  
25 that it came from. So that addresses the issue of

1 reproducibility -- I'm sorry -- repeatability. There's a  
2 case where the same examiner, a year or so later said, no, I  
3 like this one better than the one I saw last year.

4 Q Okay. Let's talk about the claim of the zero to  
5 one rate of error in the firearm and toolmark community.

6 A Yes.

7 Q What is your opinion about the accuracy of that  
8 statement?

9 A My opinion is that it is without foundation.

10 Q Why?

11 A There is ample evidence in the literature of  
12 significant misattributions or rates of error, including  
13 some involving police officers who were charged with murder  
14 and later found to be totally innocent of what -- and I have  
15 an example of those, if we get to those. So there are  
16 significant rates of error in known testing processes that  
17 rate -- that range from -- I think I have a slide here --  
18 from 2.3 percent to 28 percent, and those are minimum. In  
19 other words, there's a reason -- those are the minimum rates  
20 of error in some of these testings.

21 Q What are these testings that you're referring to?

22 A These are various tests, collaborative testing  
23 services. Some of it was an L.E.A.A. funded. That's Law  
24 Enforcement Administration [sic] something or other. They  
25 conducted testing back in the 70s or 80s, where they would

1 test examiners, and so the rates of error were reported in  
2 the literature as anywhere from 2.3 percent to 28.2 percent.  
3 But again, I point out, those are minimum. And the reason  
4 for that is, most of these tests allow for inconclusives.

5 Q What does that mean?

6 A That means an examiner is allowed to say, you know  
7 what? I'm not really sure. So the rule is --

8 Q How does that affect the accuracy of these?

9 A It affects them because -- what other studies have  
10 shown is, when examiners don't know they're being tested and  
11 they're doing actual casework, the rates of miss -- I'm  
12 sorry, inconclusive ranges from four to seven percent. But  
13 when they knew they were being tested, inconclusive  
14 skyrocketed to 40 percent. In these testings, they don't  
15 even count the inconclusive; most of them don't.

16 Q Okay. Are there any notable misattributions?  
17 You've already talked about some.

18 A Yes. There's a case, the first one was found --  
19 and most of these misattributions are found fortuitously or  
20 accidentally, so that's the problem with the feedback loop.  
21 Examiners will go out and testify in court to a match and  
22 then go back and conduct casework. They never find out --  
23 there's no way of communicating when they have made an  
24 error, an error or a misattribution or a misidentification,  
25 other than by accident. That's the general source of these

1 misattributions.

2 Q Why doesn't the fact that someone checks,  
3 essentially and confirms -- checks their work afterwards,  
4 why does that not account for this error that you're talking  
5 about?

6 A That process is ripe with various cognitive  
7 biases, confirmation bias, expectation bias, and some cases  
8 contextual bias. But the problem is, even in those  
9 verification processes, they still don't have access to  
10 ground truth.

11 Q Ground truth, again, is what?

12 A Did this cartridge case, in fact, come from this  
13 weapon. So the second examiner, all they're doing is using  
14 the same subjective method and looking at the evidence and  
15 saying, yep, I agree with you. I would call this as well.

16 The key issue in these exams, by the way, they  
17 don't consider what are known scientifically as Type III  
18 errors, and one study dramatized that. Type III errors, when  
19 you get the right answer but for the wrong reason. In other  
20 words, you're getting the right answer, but to the wrong  
21 question, basically. So for example, one or several of these  
22 studies, they would be, let's just say, ten -- and I will  
23 pick a number now -- 20 examiners saying, yep, I agree, this  
24 is an identification. So when they went to each of those  
25 examiners and said, which of these lines are you counting to

1 call it an identification and there was significant  
2 disagreement as to which lines constitute a match.

3 In one case, up to 39 different lines, examiners  
4 disagreed on. They all agreed it was a match or a claimed  
5 match, but they disagreed on which characteristics were a  
6 match. That's a Type III error.

7 Q What other notable misattributions are you aware  
8 of?

9 A Well, there's another one that's particularly -- a  
10 police officer was shot and killed, and it was originally  
11 believed that he was killed with his own weapon. The weapon  
12 was not recovered for quite a long time. A short period of  
13 time afterwards, I don't know if it was months or I guess  
14 maybe a year later, a suspect was developed. They seized a  
15 weapon. They examined the weapon and the examiner who was a  
16 19-year-veteran, who trained other firearms examiners,  
17 concluded that the suspect's weapon is the one that fired  
18 the weapon.

19 At some subsequent time of the trial, the officer's  
20 weapon was, in fact, recovered and that same examiner was  
21 sent that weapon, and that same examiner then concluded, I  
22 like this match better than the original one I did. So his  
23 original testimony was totally in error.

24 Q And --

25 A Well, I'm sorry, one of the two testimonies was in

1 error, which comprises of a 50 percent error rate.

2 Q What about the *Trotter* case?

3 A I think that was *Trotter* that I just mentioned.

4 *Trotter*, yes. I'm sorry, that's *Trotter* I was discussing.

5 Q What about *Williams versus Cortamen* (ph)?

6 A That's a case where a firearms examiner testified  
7 that the caliber -- that a bullet was fired from a specific  
8 .25 caliber pistol, but later was found to have been fired  
9 from a .22 caliber pistol instead of a .25 caliber. So that  
10 is actually a class characterization -- a class  
11 characteristic error an error in describing class  
12 characteristics.

13 Q Okay. Class characteristics meaning the larger  
14 and the broadest characteristics?

15 A Yes.

16 Q The field, so-to-speak?

17 A Yes.

18 Q All right. What is the National Academy of  
19 Sciences?

20 A That is probably the nation's most prestigious  
21 scientific assimilative and distinguished scientists  
22 together, to render guidance and advice to the government.  
23 It was formed in the 1800s I believe, to be scientific  
24 advisers to congress.

25 Q And do you have any contact or have you had --

1 done anything in common with the National Academy of  
2 Science?

3 A Yes.

4 Q What is that?

5 A I participated in the 2004 National Academy of  
6 Sciences committee studies and then --

7 Q What committee study were those?

8 A Comparative bullet-lead analysis.

9 Q Okay.

10 A Then I have colleagues who were on the 2008  
11 ballistic imaging committee. And my coauthor --

12 MR. CLARY: Your Honor, I'm going to object. His  
13 colleagues were on it, it doesn't mean he participated,  
14 Your Honor.

15 THE COURT: Sustained.

16 THE WITNESS: Well, I collaborated with them on a  
17 weekly or a monthly basis on these issues, so. . .

18 THE COURT: All right.

19 MR. ROSE: That's fine. Just go on?

20 THE COURT: Yeah.

21 BY MR. ROSE:

22 Q Okay. So you -- with respect to the National  
23 Academy of Sciences, has the National Academy of Sciences  
24 recently asked -- been asked by congress to opine and give  
25 guidance on the state of forensic sciences in the United



1 States?

2 A They have, yes.

3 Q And was that in 2009 when the report was done?

4 A The way you phrased the question, yes, that's  
5 correct.

6 Q Okay. Now, the 2009 report, what was the National  
7 Academy of Science's opinion on the state of firearms and  
8 toolmarks examination?

9 A Firearms and toolmarks examination in that study  
10 was included in the sciences that they concluded, has not  
11 been shown to have the capacity to consistently and reliably  
12 demonstrate, with a high degree of certainty, demonstrate  
13 any connection between evidence and a specific individual --  
14 I'm sorry -- individual or source, rather.

15 Q Did they -- did the National Academy of Science's  
16 have problems with forensic sciences pretty much across the  
17 board?

18 A In that study, they did, yes.

19 In the 2008 study, they were more specific on the  
20 firearms -- in the firearms.

21 Q What's the only study or forensic discipline that  
22 they didn't call into question in 2009?

23 A That would be DNA.

24 Q Okay.

25 A And I would point out that that's a perfect

1 example of what I was testifying earlier about. A proper  
2 way to perform empirical inductive experiments, where you  
3 can't sample the whole population, but what you have to have  
4 is an expression of scientific -- scientifically found  
5 expression of certainty to characterize the uncertainty in  
6 your investigation.

7 For example, the way DNA does it, it is they'll  
8 typically testify to a 98.3 percent certainty. This is my  
9 opinion. They will never, ever say that it came -- that this  
10 came from this person or source to the exclusion of all  
11 others. And they won't even use the terms reasonable any  
12 kind of scientific certainty, because it has to have a  
13 properly scientifically founded expression of certainty,  
14 which they do 98.7 percent or 99 percent.

15 Q All right. And what does the -- what do they say  
16 about the idea of matching?

17 A They basically say that the state of the art is  
18 such that it's never been established that -- within any  
19 reliability that such matches can be made. It's  
20 scientifically unfounded.

21 Q Okay. How do they -- how do they describe that?

22 A Well, individualization or specific source  
23 attribution. They call the expressions -- here's -- when  
24 one opines that a certain item came from a specific source  
25 to the -- they may not say to the exclusion, but when you

1 opine that it comes from a specific source, probability  
2 ranges from zero to one. Zero means that it's an  
3 impossibility. One means that it's a dead certainty, an  
4 absolute certainty. So certainty, for example, a .31, would  
5 be a 31 percent chance of something happening. An examiner  
6 opining that this came from this, is an implicit extreme  
7 probability, a statement basically saying that it's a  
8 hundred percent certainty. In the scientific arena, making  
9 an individualization implies, what N.A.S. has said, the  
10 extreme probability statement that has no foundation.

11 Q And what has -- what have they said about any sort  
12 of statistical basis that can be drawn?

13 A They basically say that such opinions imply the  
14 presence of some kind of a statistical basis that would give  
15 it validity, but in fact, they pointed out that there is no  
16 such basis.

17 Q So in the end, what is -- what is the N.A.S.'s  
18 opinion of -- at least in 2009 -- of the science of firearms  
19 and toolmark identification?

20 A They're basically saying that it's an unfounded  
21 practice that there's no scientific foundation for it. The  
22 way that it's practiced, and rendered in court or proffered  
23 in courts.

24 Q Do they offer any -- any advice for the field or  
25 things that they could change in order to fix these

1 problems?

2 A Yes.

3 Q What were those?

4 A Well, they recommend that proper research be  
5 conducted to perform the necessary scientific support for  
6 the opinions that have been rendered for a hundred, plus  
7 years or something.

8 Q Have any of those studies been done, to your  
9 knowledge?

10 A No.

11 Q Now, in your opinion -- Mr. Tobin, in your  
12 opinion, what is the true scientific statement that a  
13 firearms identify -- firearms and toolmark examiner could  
14 make with respect to how -- whether or not something -- a  
15 projectile or a shell casing came from a firearm?

16 A The strongest scientifically defensible opinion  
17 would be, in my opinion, and that of those with whom I  
18 collaborate, is that, quote, based on the characteristics  
19 that I observed, "I" being the examiner -- and that's the  
20 first caveat -- this is an opinion. Based on the  
21 characteristics that I observed, it's my opinion that the  
22 characteristics are consistent with the shell casing in this  
23 case, having been cycled through or fired through this  
24 particular firearm, consistent with --

25 Q Okay. So would it be fair to state then that

1 you're not saying that firearms and toolmark identification  
2 is absolute garbage, correct?

3 A No.

4 Q What applications does it have that are  
5 acceptable?

6 A Well, I'm on public record as indicating that it's  
7 far from a junk practice -- a forensic practice. In fact,  
8 to this day, I still find it to be, in my opinion, the third  
9 most effective forensic practice for investigative purposes  
10 and can have probative value if proper -- if scientific  
11 research is conducted.

12 Q But has that scientific research been conducted?

13 A No.

14 Q Okay. So when you say "if it has probative  
15 value," what does that mean?

16 MR. CLARY: Is that in his opinion, Your Honor?

17 THE COURT: Are you objecting?

18 MR. CLARY: I'm objecting, yes.

19 THE COURT: Okay. In his -- it's his opinion?

20 MR. ROSE: At this point, yes, absolutely.

21 THE WITNESS: Yeah, I thought I already included  
22 that, in my opinion, that is the strongest -- I'm  
23 sorry, the issue was? Oh, in my opinion, firearms and  
24 toolmarks is still an effective investigative tool and  
25 can have some probative value by indicating consistency

1 with a suspect weapon.

2 MR. ROSE: Okay. Nothing further.

3 THE COURT: Okay. Cross examination.

4 CROSS-EXAMINATION

5 BY MR. CLARY:

6 Q Are you aware of any court in the Union that does  
7 not allow firearms analysis and comparison as a valid  
8 scientific theory?

9 A Yes.

10 Q Which court would that be?

11 A There are a number of them. I don't have the  
12 exact number before me, but there are a number of them that  
13 have rejected and disallowed it. I can indicate *U.S. v.*  
14 *Alls, A-L-L-S.* That is the Southern District of Ohio,  
15 Eastern Division. There was a federal case, *U.S. v. St.*  
16 *Gerard*, Judge -- the Honorable Nancy Gertner has also  
17 limited the expressions of opinion. And there's *Alls*,  
18 there's *Green*, *Montero*, and there are a number of cases that  
19 have either limited or excluded.

20 Q Limited?

21 A Limited, yes, sure.

22 Q How many have excluded it, altogether, from being  
23 introduced into evidence? And give me the specific cases.

24 A Well, that I don't -- I don't memorize these  
25 things.

1           **MR. ROSE:** Judge, I'm going to object to the  
2           relevance of this line of questioning pertaining to  
3           excluding it from evidence, because it hasn't been  
4           excluded from evidence. And he hasn't said --

5           **THE COURT:** I'm going to overrule the objection.  
6           Go ahead.

7   **BY MR. CLARY:**

8           **Q**    What specific case has stated that it has been  
9           excluded from being allowed into evidence?

10          **A**    Would that be all toolmarks and firearms?

11          **Q**    I'm talking about the firearms analysis, in  
12          what --

13          **A**    I'm sorry, but I would have to review the case law  
14          on that. I don't have them before me here.

15          **Q**    Now, you testified earlier that -- that during the  
16          comparative bullet-lead analysis -- is that what it's  
17          called?

18          **A**    Yes, sir.

19          **Q**    That you did statistically analyze that; is that  
20          correct?

21          **A**    Sure, yes.

22          **Q**    Now, did you do that -- was that paper done with  
23          Dr. Spiegelman and Dr. Kafadar?

24          **A**    Kafadar.

25          **Q**    Kafadar.

1           A     Oh, I'm sorry, I'm just saying -- Kafadar is the  
2     pronunciation.  What's the question?

3           Q     Did you -- your work with that, was that with  
4     those two doctors; is that right?

5           A     I worked with them, but not -- the three of us  
6     haven't jointly published a paper, no.

7           Q     But your work in comparative lead-bullet analysis  
8     study, it was with them, correct?

9           A     Yes.  Separate, I mean.

10          Q     And they did the statistical analysis --

11                **MR. ROSE:**  Objection.  He needs to let the witness  
12     answer the question.

13                **THE COURT:**  Sustained.

14                **THE WITNESS:**  Sure.  I will save you time.

15                They are professional statisticians.

16     Dr. Spiegelman and I -- he's a distinguished professor  
17     of statistics at Texas A&M -- he and I coauthored  
18     several papers.  He was also a coauthor when we won the  
19     J.F.K. Assassination research and he did the statistics  
20     exclusively for that particular paper.

21                But your question earlier was, did I do  
22     comparative bullet-lead analysis statistics.  Yes, in  
23     our original study I did some.  But don't forget,  
24     there's two studies with C.B.L.A, so depending on which  
25     one you're referring to.



1 BY MR. CLARY:

2 Q Wait a second.

3 Are you aware that the National Academy of Science  
4 has recognized firearms analysis as a science?

5 A No, I'm not.

6 Q Okay. Are you aware that the American Society of  
7 Crime Lab Directors and the Laboratory Accreditation Board  
8 recognizes firearms analysis as a science?

9 A Well, that -- the ASCLD is generally not staffed  
10 with scientists, anyway, but you can call it what you want  
11 to. It doesn't matter what they're calling it.

12 Q And the National Academy of Science, you said  
13 that's the national science -- the scientists all get  
14 together and they compose that; is that right? You  
15 testified to that.

16 A Well, not all scientists. They're hand-selected,  
17 distinguished scientists who are forming the committees,  
18 yes.

19 MR. CLARY: One second, Your Honor.

20 BY MR. CLARY:

21 Q Would it be fair to say that you actually have  
22 limited statistical expertise?

23 A Sure.

24 Q And you stated that you were talking about DNA,  
25 because DNA analysis, you have fixed points of references

1 and 13 alleles, right?

2 A Sure. I mean, I'll accept your characterization.  
3 I'm not a DNA --

4 Q Statistically, you can extrapolate out based on  
5 genoa population statistics of DNA chemists and biologists,  
6 understood about the nature and the makeup of DNA, and  
7 because those points are always fixed, it's easy to make  
8 statistical judgments from a small random population. Under  
9 the circumstances, it's a straightforward methodology,  
10 right?

11 A You're already over my paygrade. I don't do DNA.  
12 I just treat DNA as an example of empirical inductive  
13 experimentation.

14 Q But you're aware that when they make DNA matches  
15 if it's a very strong match, it's usually one in 34.4  
16 quadrillion, right?

17 A Sure, yes.

18 Q And while they don't say, to the exclusion of  
19 every person that possibly could exist in the world, they do  
20 put it in terms of the world population, right?

21 A Yes.

22 Q And world population being approximately seven  
23 billion people or give or take, you know, 20 or 30 thousand  
24 here or there. That would indicate there's a high  
25 probability that that was a match, right?

1           A     Yes.

2           Q     Okay.  And basically what they're doing is they're  
3 randomly sampling a small section of human genoa, right?

4           MR. ROSE:  I'm going to object.  They're crossing  
5 him about DNA and DNA has nothing to do with this.  He  
6 didn't testify to anything to do with DNA except it was  
7 the only thing that DNA did not -- all he said about  
8 DNA.

9           THE COURT:  He opened the DNA door.  Go ahead.  
10 Overrule your objection.

11 BY MR. CLARY:

12          Q     Now, the way you conduct a study is to randomly  
13 sample a small portion or they sample a small portion of a  
14 DNA strand, which is a large strand, right?

15          A     Yes.

16          Q     And they select -- they select certain spots that  
17 have individual characteristics and vary between the  
18 majority of the population; is that right?

19          A     I will accept your characterization.  But you're  
20 now in an area that I'm just not familiar with the  
21 experimental methodology.

22          Q     And the way scientists get around not testing or  
23 comparing the entire genoa is they extrapolate out from the  
24 those matches; is that right?

25          A     I'm sorry.  Say that again.

1 Q They extrapolate out from those, the number of  
2 alleles that match?

3 A Well, within a -- I'm sorry.

4 Q Go ahead.

5 A With an appropriate expression of variability of  
6 certainty. To use an analogy from my world, I'm going to  
7 have to presume that whatever the alleles that they have  
8 selected. One example I've used is, let's say we can test  
9 every element in the Periodic Table, I don't know how many  
10 there are, 103 now or whatever.

11 Let's say that we go test 13 or whatever number  
12 you're saying for a certain metal and this is part of the  
13 problem with bullet lead, and you're drawing conclusions from  
14 these seven elements, where is the basis by which the  
15 selection of those seven elements is relevant? You can  
16 test -- let's say all 103 elements in the Periodic Table and  
17 say that these bullets -- or let's say you test 97 of them.  
18 Let's say that these bullets are consistent with each other  
19 in all 97 elements. Well, that's a very misleading statement  
20 to a juror, or even to the scientific community because, as  
21 it turns out, those 97 are not even expected to be present.  
22 They're not -- they are neither present nor expected to be  
23 present.

24 So what's critical -- and you're taking me astray  
25 now, because I don't know how those alleles were selected.

1 Presumably on a scientific basis, but I'm accepting your  
2 characterization.

3 Q And when they run the -- what they do with DNA,  
4 and those alleles stop at the specific places, based on the  
5 weight of the substance?

6 A Yes.

7 Q Doesn't a person subjectively analyze that result?

8 A Undoubtedly, sure. Again, you're --

9 Q So to all science, there is a subjective nature,  
10 right?

11 A What you're missing is, up to presenting the very  
12 data that is to be interpreted, there is a very rigid  
13 protocol with parameters of detection and rules of  
14 application of those parameters that are not present in  
15 firearm toolmarks.

16 Q You are not aware and have not gone through  
17 training in firearms analysis and comparison, right?

18 A That is correct.

19 Q And you're not aware of all the procedures and  
20 steps that are involved in what they're looking for, as far  
21 as matching and striations and everything else?

22 A That's not correct, because I did that for 24  
23 years. Keep in mind, firearms toolmarks is a much easier  
24 subset of toolmarks -- did I just say that correctly --  
25 firearms identification is a subset of toolmarks and it's

1 from a physics and material science standpoint, it's a much  
2 easier practice than toolmarks is. So that's not correct.

3 Q Okay.

4 A There's physics reasons for that, by the way.

5 Q The A.F.T.E. has --

6 A AFTE?

7 Q A.F.T.E. has procedures in place, right; are you  
8 aware of that?

9 A Well, it depends. There are procedures, but  
10 they're not scientific procedures, I mean.

11 Q In your opinion, they're not scientific  
12 procedures?

13 A The procedures would be -- in my cake baking  
14 analysis or example to say, go to the kitchen, open the  
15 refrigerator or closet, grab some ingredients and make a  
16 cake. Those are not specifying any parameters of detection.  
17 They're not specifying any rules of application to discern  
18 same or uniqueness. So the bottom line is, the AFTE  
19 guidelines or rules basically say -- or even State lab  
20 protocols basically say, all right, take this bullet, clean  
21 it up, weigh it, take whatever metrological metrology exams  
22 you want to conduct on it, measuring exams, sorry. And then  
23 proceed to put it under the scope and then put a closely  
24 similar lighting, as you possibly can, and look through the  
25 microscope and then you adjust the samples and you try to

1 align and there are procedures for indexing the round or the  
2 cartridge -- actually rounds.

3 So there are general procedures, but they still do  
4 not have the specific procedures required by the proper  
5 scientific method.

6 Q In your opinion?

7 A In my opinion, and that of my colleagues. And the  
8 National Academy of Sciences.

9 Q Now, you stated that when there -- you listed off  
10 a bunch of error rates and these are all for cases going  
11 back from 1995 and back; is that right? The error rates you  
12 listed 2.3 percent, 3.8 percent, 5.7 percent --

13 COURT REPORTER: I'm sorry, 5.7 --

14 MR. CLARY: I will slow down.

15 BY MR. CLARY:

16 Q It is 5.7 percent, 3 to 8 percent, 8.8 percent,  
17 9.1 percent, 10 percent, 12 percent, 24 percent, 28 percent,  
18 28.2 percent; is that right?

19 A I will accept your characterization. I don't have  
20 the slide in front of me, but I will accept that.

21 Q Also done prior to 1995?

22 A If that's what you have there, I'll accept that.

23 Q It's what you put up on the display?

24 A Sure. Help me find it here.

25 Q It's firearm and toolmarks rates and errors.

1           **MR. ROSE:** Judge, may I give him this to be on the  
2 same page?

3           **THE COURT:** Yes.

4           **THE WITNESS:** I'm getting there. No, I don't  
5 actually -- why don't I just use yours? I have it,  
6 sorry. I'm sorry. Go ahead.

7 **BY MR. CLARY:**

8           **Q** Is that correct?

9           **A** Well, actually, I don't have dates on some of  
10 them, so I would say the majority of those look to be  
11 between the periods that you're talking about.

12           **Q** Okay. And that would be prior to the N.A.S.  
13 report, correct?

14           **A** Sure, yes.

15           **Q** And are you aware of the policies and procedures  
16 of the Florida Department of Law Enforcement, regarding  
17 firearms analysis?

18           **A** Aware of them, no. But I'm aware of most -- many  
19 jurisdictions are pretty similar.

20           **Q** Okay.

21           **A** In other words, not specifically, no.

22           **Q** You stated when you were testifying that when  
23 firearms examiners knew that they were being monitored or  
24 checked, or at regular intervals, their work was being  
25 looked over by another individual, that they -- the error



1 rates dropped down to, I think you said 2.3 percent; is that  
2 right?

3       A     Actually, you stated the opposite. But no, what I  
4 was referring to is blind tests versus a double blind test.  
5 Blind test is when the respondent is unaware of what they're  
6 doing is a test. A double-blind is even when the  
7 administrator or the supervisor tests and doesn't even know  
8 it's a test. But what I basically was saying was, in actual  
9 casework in the studies that I was referring to, they were  
10 showing inconclusives to be somewhere at four percent,  
11 seven percent or somewhere in that range, in that single  
12 digit range.

13               But when they became -- when they knew that they  
14 were being tested, the rates of inconclusives -- I'm seeming  
15 to recall it's the CTS study, 5-438 or 238, but the rate of  
16 inconclusives jumped to 40-plus percent.

17       Q     Which would mean as -- as somebody is reviewing  
18 your work, you're more careful regarding what determinations  
19 you make?

20       A     Oh, okay, yes, if that's what you meant in  
21 reviewing the work, yes.

22       Q     And you are not calling into question Christina  
23 Murphy's identification of these shell casings from the  
24 scene and the test-fired shell casings being consistent with  
25 being fired from that same gun?

1           A     No.

2           Q     Okay. All you're questioning is her use of the  
3 verbiage to a reasonable degree of ballistic certainty; is  
4 that right?

5           A     That's correct. That's a factitious phrase that's  
6 very subjective. Reasonable means whatever an individual  
7 wants it to mean. It has no operational definition, so  
8 that's correct. Or the implication that this is the only  
9 possible source of the --

10          Q     And you have never examined the fired cartridges  
11 in this case; is that right?

12          A     No.

13          Q     And you can't make any conclusions --

14          A     I'm sorry, you asked me, is that right? Yes,  
15 that's right.

16          Q     Yes, that's right.

17                 So you can't make any conclusions or determinations  
18 based on never having seen those; is that right?

19          A     Not on whether it's -- the fact of a claimed  
20 match, no, not here, not to rebut the fact of a claimed  
21 match.

22          Q     So all you're here on is to attack the science  
23 behind firearms analysis or actually the terminology used by  
24 the firearms analyst?

25          A     Yes. That's probably the simplest way to say it,

1 I guess.

2 MR. CLARY: No further questions.

3 THE COURT: Do you have anything further?

4 REDIRECT EXAMINATION

5 BY MR. ROSE:

6 Q Just to be utterly clear, you never saw the  
7 cartridge -- the casings and the cartridge casings, correct?

8 A That's correct.

9 Q So you wouldn't be able to say it's a match or  
10 not, you're not trained in that art, correct?

11 A That's correct.

12 MR. ROSE: Nothing further.

13 THE COURT: Anything further?

14 MR. CLARY: I actually left some things out. If  
15 the defense would allow me to ask them, beyond his --

16 THE COURT: Go ahead.

17 RECROSS EXAMINATION

18 BY MR. CLARY:

19 Q You were paid to testify here today, right?

20 A Paid as I am working in this case, so testifying  
21 is the least enjoyable part of it.

22 Q With this case, how much was your payment for the  
23 entire work you've done on this case?

24 A I have no concept. No idea, as I sit here.

25 Q How much do you get paid an hour?

1           **A**     My nominal fee is \$295 an hour, but I feel some  
2     allegiance to the taxpayers paying a significant part of my  
3     credentials, so it's my way of giving back to the community.  
4     I will typically comp more hours than I actually bill for.

5           **Q**     But you have been here since Monday, right?

6           **A**     Saturday.

7           **MR. ROSE:**  Objection, relevance.

8           **THE COURT:**  Overruled.

9     **BY MR. CLARY:**

10          **Q**     You have been here since Monday?

11          **A**     My actual rate ends up being double digits,  
12     sometimes even single digits.  So yeah, I have been here  
13     since Saturday.

14          **Q**     Saturday.  And prior to coming here, where do you  
15     live?

16          **A**     I'm sorry?

17          **Q**     Where are you from?

18          **A**     Virginia.

19          **Q**     So you flew down from Virginia?

20          **A**     Yes, sir.

21          **Q**     And you -- prior to coming here, did you do any  
22     work getting ready for this trial; your testimony in this  
23     trial?

24          **A**     Yes.

25          **Q**     And how many hours did you spend doing that?

1           A     I can't -- I don't have my time and billing  
2 software here, but I would guess probably several hours, a  
3 few hours. Five hours, maybe. Maybe ten, at the most. But  
4 it was probably more like five. I don't recall as I sit  
5 here that the documentation was that extensive here.

6           Q     So basically you have been paid for your opinion?

7           A     No. I was -- well, I was paid to give my opinion.

8           Q     Okay. Yes.

9           MR. CLARY: One second, Judge. I had something  
10 else I was going to ask.

11 BY MR. CLARY:

12           Q     And when you have testified in the area that  
13 you're testifying here today, have you ever testified for --  
14 on behalf of a prosecutor or the State Attorney's Office?

15           A     Since I've retired, yes.

16           Q     Since you retired in this -- in firearms -- in  
17 your determination of whether or not firearms analysis is a  
18 science, and whether firearms analysis is -- or whether  
19 there's individualization in firearms analysis; have you  
20 testified about that for a prosecutor's office or State  
21 Attorney's Office? Or have all of your testimony regarding  
22 that been with the -- on the defense side?

23           A     That's a compound question, so I would say no and  
24 yes.

25           Q     No, you have not testified for the prosecutor's,

1 but yes, you have testified for defense attorneys?

2 A Yes.

3 MR. CLARY: No further questions.

4 THE COURT: Okay. Thank you.

5 Anything further?

6 MR. ROSE: No.

7 THE COURT: All right. Sir, you are excused.

8 Thank you very much. Go back to Virginia.

9 THE WITNESS: Am I released?

10 THE COURT: You are released from your subpoena.

11 Do you want to hold him over?

12 MR. ROSE: No.

13 (Volume V is concluded.)

14

15

16

17

18

19

20

21

22

23

24

25