

IN THE CIRCUIT COURT OF THE
NINETEENTH JUDICIAL CIRCUIT
IN AND FOR ST. LUCIE COUNTY,
FLORIDA

CASE NO.: 562004CF003127B

STATE OF FLORIDA,
PLAINTIFF,

Certified Copy

vs.

VOLUME XIV

ALWIN CHARLES TUMBLIN,
DEFENDANT.

FRYE HEARING

-----X

TRANSCRIPT OF PROCEEDINGS

This cause came on for hearing before the Honorable Dan L. Vaughn, Judge of the Circuit Court, at the St. Lucie County Courthouse, 218 South Second Street, Fort Pierce, Florida, beginning at 9:39 A.M. on the 5th day of August, 2011.

The appearances at said time and place were as follows:

FOR THE PLAINTIFF:

OFFICE OF THE STATE ATTORNEY
BY: DARYL ISENHOWER, ESQ.
LYNN PARK, ESQ.
THOMAS BAKKEDAHL, ESQ.
411 S. 2nd Street
Fort Pierce, Florida 34950

FOR THE DEFENDANT:

ASSISTANT REGIONAL COUNSEL CRIMINAL CONFLICT AND
CIVIL REGIONAL COUNSEL
FOURTH DISTRICT
BY: THOMAS F. BURNS, ESQ.
SHARI LEIGH VROD, ESQ.
107 N. 2nd Street
Fort Pierce, Florida 34950

I N D E X

Examinations	Page
GABRIEL HERNANDEZ	
DIRECT EXAMINATION BY MR. BAKKEDAHL	1494
CROSS-EXAMINATION BY MS. VROD	1581
REDIRECT EXAMINATION BY MR. BAKKEDAHL	1598

MISCELLANEOUS

	Page
DEFENSE ARGUMENT	1599
STATE ARGUMENT	1602
RULING	1603

1 (Whereupon, the following is a continuation of
2 the proceedings had on August 5, 2011:)

3 HE COURT: Okay. Thank you, all. Ms. Vrod is
4 present, Mr. Bakkedahl is here for the State.

5 Is Mr. Burns here?

6 Do you all --

7 Ms. Vrod, do you want him here?

8 MS. VROD: Mr. Burns will be here in a minute.
9 He just went to grab a --

10 THE COURT: Okay. We're going to start without
11 him. He can come in when he's ready.

12 Ms. Vrod is present.

13 This is a Defense request on a Frye issue
14 hearing. The Defense has filed a request for me to
15 take judicial notice of Mr. Tobin's affidavit here.

16 Mr. Bakkedahl, do you all object to me taking
17 judicial notice of all of that?

18 MR. BURNS: We do.

19 THE COURT: Okay. Let me hear your objection.

20 MR. BAKKEDAHL: Your Honor, the Defense, as
21 you've indicated, filed this motion. I believe
22 that I received a notice with the attached
23 affidavit this morning, approximately 10 o'clock or
24 so. I did have the affidavit via e-mail yesterday.
25 They filed a request for judicial notice under

1 Section 90.2026. I would conceive that the plain
2 reading of that statute authorizes the Court to
3 take judicial notice of the contents of a court
4 file. However, they must also comply with 92.03,
5 which is a two-step process.

6 The first is timely written notice filed with
7 the Court. I'm not going to object on that point,
8 I think it's technical. Of course, if it were
9 timely and being applied with the State, this would
10 clearly be untimely, but as the Defense, I'm not
11 going to fight on that issue.

12 The second, however, is that they furnish the
13 Court with sufficient information to enable it to
14 take judicial notice of the matter.

15 What you have before you is -- I don't know
16 what it is, but I know one thing it's not, it's not
17 sworn to, it's not signed, it's not dated. I don't
18 know what case this was filed in, I don't know what
19 court this was filed in, I don't know what
20 jurisdiction this was filed in, I don't know the
21 manner or the matter in which this was filed.

22 There is no -- how are you supposed to take
23 judicial notice of an affidavit that simply is
24 entitled, "Affidavit of William A. Tobin in Support
25 of Defendant's Motion to Exclude Firearm-Related

1 Toolmarks"?

2 So, number one, this is clearly not something
3 that the Court should take judicial notice of
4 because the Defense has in no way, shape or form
5 established, you know, what this is or where it
6 came from.

7 Let me move on, and I'll give you all my
8 arguments in total and then the Defense can
9 respond.

10 Secondly, I want to provide the Court with the
11 case of -- and for the record, I'm giving a copy to
12 the Defense. It's going to be Dufour versus State.
13 And it's going to be a Florida Supreme Court
14 opinion, not even published yet. It's at 36
15 Florida Law Weekly 7 -- or S 57. If you'll go to
16 notes 14 and 16, the case involves a situation
17 where during a second subsequent post-conviction
18 relief hearing, the Defense, or the State, I
19 believe, asked the Court to take judicial notice of
20 the entire proceeding, post-conviction relief
21 proceeding as well as the court file and all their
22 documents attended thereto.

23 The Florida Supreme Court came back and held,
24 "The fact that a record may be judicially noticed
25 does not render all that is in the record

1 admissible. For instance, the fact" -- excuse me,
2 "for instance, the Court's authority to take
3 judicial notice of records cannot be used to
4 justify the wholesale admission of hearsay records
5 within those court files."

6 There's some great language in here,
7 particularly, I point you to Stoll, which is cited
8 on page 13 of the document as printed and it says,
9 "In Stoll, we held documents contained in a court
10 file," and again, I'm not even conceding that these
11 were contained, are contained or have ever been
12 contained in a court file, "even if that entire
13 court file is judicially noticed, are subject to
14 the same rules of evidence to which all evidence
15 must adhere.

16 "In so holding, we note an observation of
17 another appellate court that there had been a,"
18 quote, "seemingly widespread but mistaken notion
19 that an item is judicially noticeable merely
20 because," quote, "it is part of a," quote, "'court
21 file.' Court files are often replete with
22 letters," and I emphasize, "affidavits, legal
23 briefs, privileged or confidential data, in camera
24 materials," et cetera, et cetera, "as well as
25 depositions that may contain unredacted gossip and

1 all manners of hearsay and opinion."

2 Then they conclude by saying, "Thus, while the
3 court may take judicial notice of the documents in
4 a court file that were placed -- properly placed
5 there, this notice would not make the contents of
6 the documents admissible if they were subject to a
7 challenge, such as when a document is protected by
8 privilege or constituted hearsay."

9 At this time, I would formally lodge a hearsay
10 complaint to the affidavit. The affidavit itself
11 contains impressions and opinions of this, quote,
12 unquote, "expert," and I use that term very loosely
13 because -- and I'll explain in a minute why.

14 Furthermore, it references an underlying case
15 and information imparted to him. Not only is it
16 hearsay in and of itself, it contains hearsay
17 within hearsay, and is therefore inadmissible for
18 the truth of the matter asserted.

19 And finally, just in the event the Defense
20 anticipates, or just in the event the Defense
21 attempts to argue that because this is a Frye
22 hearing, the rules of evidence are in some fashion
23 suspended, I provide you with, and the Defense, a
24 copy of Ramirez v. State. This is going to be
25 Ramirez, too - apparently there are three published

1 Ramirez opinions - at 651 So.2d 1164.

2 First, I start off, before I get to Ramirez,
3 Florida Statute Section 90.103, which is at the
4 very beginning of the evidence code says, "that
5 this act," meaning the evidence code, "shall apply
6 to -- " quote, "shall apply to a criminal
7 proceedings related to crimes committed after the
8 effective date of the code." And I think the code
9 dates back to, you know, '85, '75, I'm not exactly
10 sure.

11 But moving forward, my -- the point is that
12 this is a criminal proceeding. We would argue that
13 the rules of evidence are to be strictly adhered to
14 during the course of this proceeding.

15 Now, in Ramirez, what happened was on the first
16 trial, the State offered evidence of toolmark --
17 toolmark evidence relative to a knife inflicting --
18 excuse me, a knife creating markings on a bone. It
19 had never been done before, whatever. It was
20 really irrelevant. It was reversed. It was
21 brought back. They had what was entitled a Frye
22 hearing, but effectively, it looks like they
23 conducted a Frye hearing. And what happened during
24 the course of that hearing was the State put on the
25 evidence of their expert, they put on evidence and

1 testimony and so forth. At the conclusion, the
2 Defense wanted to call their own expert, but they
3 were precluded by the trial court. That case was
4 subsequently reversed because the Defense didn't
5 have an opportunity to challenge the general
6 acceptance of this particular type of evidence.

7 I think what's important and why I bring this
8 to your attention is that at footnote six and seven
9 of that opinion, the court says, and this is the
10 Florida Supreme Court, "That just as important as
11 the burden of proof is, the fact that the hearing
12 must" -- "the hearing must be conducted in a fair
13 manner. There is no question that a hearing on the
14 admissibility of novel scientific evidence is a,
15 quote, adversarial proceeding in which conflicting
16 evidence is presented to the trial judge as the
17 trier of fact."

18 Now, here's the deal. Nobody in this courtroom
19 would love more than me for Mr. Tobin to show up,
20 but he's not here for a very particular reason.
21 Number one, this affidavit is not sworn to, and I
22 think there's a good reason for that. Probably
23 because to the extent there are half truths and
24 potentially outright lies in there, he doesn't want
25 to be confronted with that on the witness stand.

1 MS. VROD: Judge, excuse me. I'm just going to
2 object as to the speculation for the nonappearance
3 for Mr. Tobin.

4 THE COURT: Okay. Well, I'll consider this
5 Mr. Bakkedahl's argument and --

6 MR. BAKKEDAHL: I'll offer evidence in support
7 of that, and an opinion authored by Judge Richard
8 Wells, the Superior Court of Massachusetts,
9 regarding this expert. He says, when that expert
10 was testifying in the area, he prepared a bullet
11 lead analysis, a different matter, but nonetheless
12 as a metallurgist, he says, "His" -- quote, "His
13 testimony smacks of partisan crusader rather than a
14 dispassionate scientist. His lack of credibility
15 is underscored by his inability to directly answer
16 a question. His instance" -- "his insistence on
17 giving preplanned recitation and it is constant
18 self promotion."

19 This judge can think of no expert witness he
20 has found less credible. So I think, you know, I
21 think I got a good foundation of making assertions
22 that that's why Mr. Tobin is not here. This is an
23 adversarial proceeding. The State, the People said
24 this is a death penalty case. The State of Florida
25 has an absolute right to confront any witnesses

1 that the Defense might rely upon in an effort to
2 attack the underlying science at question here,
3 which is firearm toolmark examination.

4 That's my first argument I make as a threshold.
5 If you want me to continue to my next arguments, I
6 will, or if you want me to stop and give the
7 Defense an opportunity to respond, I can do that.

8 THE COURT: What I'm interested in knowing is
9 what other objections you may have to the Court
10 taking judicial notice of this, then I'll let the
11 Defense respond as a preliminary issue. Because if
12 I don't take judicial notice of this, I don't. If
13 I do, then I do, so.

14 MR. BAKKEDAHL: Okay. And I'll just be clear,
15 I mean, I'm going to speak ahead that I -- even if
16 you don't take judicial notice of the affidavit,
17 which I think you should not, we're going -- we
18 would ask to proceed with the evidence to create a
19 record for review by the Florida Supreme Court
20 because to the extent they may deem that this is a
21 matter properly subject for a Frye hearing, which I
22 don't think it is, they're entitled to do a de novo
23 review. So they can consider anything they want
24 to, and I would like to put evidence on the record
25 and documents in evidence to assist them in that

1 manner.

2 THE COURT: Well, hang on just a second. If
3 you still wish to proffer testimony into the
4 record, even if I don't take judicial notice of
5 this, and we've got witnesses here, why don't we do
6 that.

7 MR. BAKKEDAHL: Yes.

8 THE COURT: We can argue that issue later, but
9 there's witnesses here. Let's get them on and
10 present their testimony and get that done. Because
11 I've got 47 jurors that I'm hanging in the balance
12 here.

13 MR. BAKKEDAHL: And I appreciate that. I just
14 don't want to do it again in three years, and
15 that's why I'm doing this.

16 THE COURT: I understand. So if you've got
17 testimony, even if I rule adversely for the Defense
18 and favorably for the State, let's put it on
19 anyway.

20 MR. BAKKEDAHL: Yes, sir.

21 My next argument goes to the fact that this
22 evidence --

23 THE COURT: Yes, ma'am, Ms. Vrod?

24 MS. VROD: I just was going to say, at this
25 point we're invoking the rule, not only for Frye

1 purposes, but for purposes of the trial.

2 MR. BAKKEDAHL: I would object to the
3 invocation of the rule. I think it can be
4 suspended for purposes of this hearing because the
5 testimony -- first of all, the only witness that we
6 intend on calling is Gabriel Hernandez from the
7 Miami-Dade Crime Lab. Seated at the table with me
8 is George Hertel, who we intended on calling, but
9 he had reviewed the original affidavit that was
10 submitted by the Defense, was unable to thoroughly
11 review the subsequent affidavit, so I don't
12 envision that he is going to testify.

13 However, to put the Court on notice, if you're
14 inclined to allow the affidavit into evidence, then
15 I'm going to need to put Mr. Hernandez on, and then
16 continue the hearing until a later date when
17 Mr. Hertel has had an opportunity to fully examine
18 the affidavit.

19 THE COURT: Okay. Well -- all right.

20 MR. BAKKEDAHL: Experts are excluded from the
21 rule, is what I'm getting to.

22 THE COURT: I know that.

23 MR. BAKKEDAHL: Okay.

24 THE COURT: Okay. Can you all stop talking a
25 minute and let me make a ruling?

1 MR. BAKKEDAHL: All right.

2 THE COURT: Is that okay with everybody?

3 MR. BAKKEDAHL: I'm trying to speed it up for
4 you, Judge.

5 THE COURT: Duly noted and appreciated,
6 Mr. Bakkedahl, but I'm happy to make a decision on
7 it.

8 If you're only going to -- anticipate only
9 calling this fellow here as one witness, that's
10 fine. I will, after you're done proffering his
11 testimony, I will make a decision promptly today
12 whether I'm going to take judicial notice of the
13 other Defense documents. Would I like to have more
14 time to consider this? Certainly. Do I have more
15 time? Absolutely not.

16 So let's -- if you want to present him, you
17 may.

18 All right. But that being said, he's the only
19 witness you intend to call --

20 MR. BAKKEDAHL: Yes.

21 THE COURT: -- in your first case?

22 Okay. Well, let me -- before I make a decision
23 whether to invoke the rule of sequestration, do you
24 wish to make any opening statement before he
25 testifies?

1 MR. BAKKEDAHL: Yeah, I'll probably make a
2 brief statement, but -- yes, I'll have a comment
3 for the Court.

4 THE COURT: Well, I recognize the rule provides
5 for -- the sequestration rules provide that an
6 expert witness may be present in the courtroom.

7 To be safe, sir, I'll just have you wait
8 outside for a minute, whichever -- please don't
9 discuss your testimony with anyone, allow anyone to
10 discuss it in your presence, please. You're free
11 to talk to the lawyers, if you wish. But if you'll
12 just step outside, we'll call you shortly when it's
13 your turn. Thanks for bearing with us.

14 MR. BAKKEDAHL: Okay. Judge, the next step in
15 my objection involves the question of whether or
16 not this is new or novel scientific evidence. Of
17 course, we start off with the proposition that the
18 Frye test is the controlling test for the
19 admissibility of scientific evidence in the State
20 of Florida. Despite the fact that the federal
21 government is now on a Daubert standard and many
22 states have adopted a Daubert standard, in the
23 State of Florida, it is simply Frye.

24 Frye says, "When dealing with, quote, new or
25 novel scientific evidence, the proponent of the

1 evidence," in this case the State, "must establish
2 that the evidence is accepted in a relevant
3 scientific community."

4 The problem for the Defense is that this is not
5 new or novel. As the Florida Supreme Court stated
6 in *Spann v. State*, where we offered into evidence
7 handwriting exemplars, this is at 857 So.2d 845,
8 "Frye only applies when an expert is relying upon
9 new or novel scientific evidence."

10 For the record, I would like to cite the
11 following cases across the nation on this issue:
12 Commonwealth of Massachusetts versus Jason Meeks,
13 2006 WL 28, 1923, Superior Court of Massachusetts;
14 U.S. v. Willock, 696 F Supp.2d 536, U.S. District
15 Court Maryland. I will not cite any additional
16 federal cases. They're all in compliance or in
17 agreement with Willock. People versus Givens, 912
18 NYS 2d 855, New York, 2010; State v. McGuire, 16 A.
19 3d 411, Superior Court New Jersey; Commonwealth
20 versus Foreman, 797 A. 2d 1005, Pennsylvania
21 Superior Court 2002; People versus Genrich, 928 P.
22 2d 799, Colorado Court of Appeals 1996; State v.
23 Armstrong, 2004 Ohio 5635, Ohio Court of Appeals,
24 11 District; State v. Legnani, 951 A. 2d 674,
25 Appellate Court Connecticut 2008; People v.

1 Johnson, 335 NE 2d 144, Third District Illinois
2 1975; State v. Fuentes, 228 P. 3d 1181, Court of
3 Appeals New Mexico 2009; Bowden v. State, 610 So.2d
4 1256, Court of Appeals Alabama; People v. Cowan, 11
5 -- 113 Cal. Rptr. 3d 850, California Supreme Court
6 2010; and finally, Flemming v. State, 194 Maryland
7 Appeal 76 Maryland, 2010.

8 Each and every one of those cases has deemed
9 that this evidence is admissible under either Frye
10 or Daubert or both and is not new or novel.

11 More importantly, for the Court's
12 consideration, I hand you the third in the series
13 of Ramirez cases, which, you know, you may wonder,
14 you know, why am I giving you Ramirez because it's
15 a situation where a toolmark evidence relative to a
16 knife mark, marking left by a knife was not deemed
17 admissible. But the reason is, is that, again,
18 that, and I don't want to belabor the point on
19 Ramirez, but it had something to do with the nature
20 of the receiving end the tool. Because it was bone
21 or cartilage, there hadn't been sufficient testing
22 and so forth to validate the utilization of the
23 underlying principle of toolmark.

24 The holding in that case, at the third hearing,
25 there was a full-blown Frye hearing where they

1 considered expert testimony, scientific and legal
2 publications and judicial opinion, which I think is
3 important because I've now provided you with
4 judicial opinions, I'm going to give you
5 publications and I'm also going to give you expert
6 testimony.

7 But what they said is important, and that's
8 going to be at footnote, or, excuse me, section
9 three of the opinion. It says, "Traditional knife
10 mark evidence is a subgroup of the broader category
11 of evidence commonly referred to as toolmark
12 evidence. The theory underlying toolmark evidence,
13 which is explained below, is, quote, 'generally
14 accepted in the scientific community and has long
15 been upheld by courts,'" end quote.

16 So, again, this involves a firearm, and we
17 recognize that, but the firearm examination is
18 nothing more than a subcategory or an outgrowth of
19 toolmark examination and it has long been
20 recognized to be generally accepted within the
21 relevant community, which is the community of
22 firearms and toolmark examiners.

23 So my final argument or objection to this is
24 that this is not new or novel evidence.

25 THE COURT: All right. Do you want to proffer

1 the testimony of the witness? I know they've come
2 from Miami or something. Do you want to have them
3 come in and testify --

4 MR. BAKKEDAHL: Sure.

5 THE COURT: -- and I'll hear -- Ms. Vrod or
6 Mr. Burns, I'll hear you all's responses, but as a
7 courtesy to him, have him testify so we get that in
8 the record.

9 His name?

10 MR. BURNS: His name is Gabriel Hernandez.

11 THE COURT: If you could have Mr. Hernandez
12 come in, please.

13 MS. VROD: Do you mind if we swing around,
14 Judge?

15 THE COURT: No, ma'am.

16 THE BAILIFF: Mr. Hernandez, right here.
17 Standing here, facing the Judge, raise your right
18 hand to be sworn in.

19 THE COURT: Do you swear or affirm, sir, the
20 testimony you are about to give shall be the truth,
21 the whole truth and nothing but the truth?

22 THE WITNESS: I do.

23 THE COURT: Thank you, sir.

24 Watch your step.

25 Just for what it's worth, I understand what the

1 issue or issues are, so if you all when asking him
2 questions can give me the courtesy of getting to
3 the point.

4 Go ahead, Mr. Bakkedahl.

5 MR. BAKKEDAHL: Did you want some type of an
6 opening statement, I --

7 THE COURT: If you wish.

8 MR. BAKKEDAHL: -- neglected to that. The
9 witness is here and I don't know if that creates a
10 problem. I don't -- I mean, I generally do not
11 think anything I can say can affect his opinion
12 here.

13 THE COURT: Okay. If you wish to give an
14 opening comment, you may.

15 MR. BAKKEDAHL: Just very briefly.

16 As the evidence will indicate during the course
17 of this hearing, basically we are here today as a
18 result of a report issued through the National
19 Association of Science, or something to that
20 effect, and they'll give you the correct title,
21 that called into question certain issues arising in
22 the forensic community in general. As a result of
23 this well-intentioned report and a report that has
24 been particularly -- embraced by, in particular,
25 the firearm and toolmark examiners community, the

1 various individuals out and about have taken up
2 this as a cause, if you will, in an effort to
3 attack what has traditionally been accepted as
4 reliability and admissible evidence.

5 So that's really what we're doing here today,
6 and what I want to -- what I'm going to point out
7 to the Court is that the evidence, the NAS report
8 does not say what the Defense is suggesting that it
9 says, which is that this evidence or this science
10 is junk science. So that's what we intend to
11 establish through the course of Mr. Hernandez's
12 testimony.

13 THE COURT: Okay. If you want to -- Ms. Vrod,
14 I don't know if you want to make any opening
15 comment or anything. You may. I was just -- what
16 I'm interested in doing is proffering
17 Mr. Hernandez's testimony.

18 MS. VROD: No, thank you.

19 THE COURT: Get that in the record.

20 Okay. When you all are ready.

21 ///

22 ///

23 ///

24 ///

25 ///

1 THEREUPON:

2 GABRIEL HERNANDEZ,
3 a witness called by the State, being first duly sworn,
4 testified as follows:

5 DIRECT EXAMINATION

6 BY MR. BAKKEDAHL:

7 Q. Please introduce yourself to the Court.

8 A. Well, my name is Gabriel Hernandez.

9 Q. And, sir, how are you presently employed?

10 A. I am a criminalist supervisor of the Miami-Dade
11 Police Department Crime Laboratory. Actually, Forensic
12 Services Bureau. We changed names recently.

13 Q. Okay. How long have you been employed in that
14 capacity?

15 A. Since August of 2005.

16 Q. All right. And is that as a supervisor or is that
17 the total duration with which you've been with Miami-Dade?

18 A. That is the total duration. I first came on as a
19 Criminalist I, went through my firearm and toolmark
20 training and then became a Criminalist II when a position
21 became available. And then when a position became
22 available for the criminal supervisor position, I applied
23 and I -- I was granted that position.

24 Q. All right. And in your supervisory capacity, can
25 you kind of give the Court an idea of what your

1 responsibilities are at the lab?

2 A. Sure. Well, I'm the criminalist supervisor with
3 the firearm and toolmark unit. That still makes me a
4 firearm and toolmark examiner. And besides my supervisory
5 and administrative duties of reviewing casework and other
6 things like that, I still evaluate questioned casings and
7 bullets to any questioned guns that are submitted to the
8 laboratory.

9 Q. Okay. And in that capacity, do you have the
10 occasion to train other firearm and toolmark examiners
11 within your agency?

12 A. I have.

13 Q. All right. And furthermore, have you had the
14 occasion during the course of your career not only to
15 train, but then to subsequently reexamine or confirm the
16 findings of examiners within your agency and outside of
17 your agency?

18 A. I have in both counts. We have a verification
19 process that's part of our quality assurance system where a
20 second trained examiner will verify any identifications to
21 firearms, for example. I've done this numerous times, more
22 times than I suppose I could count, in Miami-Dade and the
23 Forensic Services Bureau, and I've also done this with
24 Indian River Crime Laboratory.

25 Q. Okay. Now, can you give us a description of your

1 educational background and your schooling?

2 A. Sure. I have a Bachelor of Arts in biological
3 sciences from Rutgers University in New Jersey and I have a
4 Masters of Science in forensic science from John Jay
5 College of Criminal Justice in New York.

6 Q. Okay. And as you've been with the Miami-Dade
7 Crime Lab, that's the term I'll use, maybe perhaps it's
8 not, you know, correct, but have you undergone continuing
9 education and training in the area of firearm and toolmark
10 examinations?

11 A. Absolutely.

12 Q. Okay. And can you give us a list of some of the
13 cases -- or, excuse me, some the classes relevant to this
14 particular field that you've taken over the years?

15 A. Sure. Well, first, let me start with my two-year
16 formal training program that I underwent when I first was
17 hired. Training consisted of study, observation,
18 experimentation, lab practicals and research projects. We
19 covered in training modern firearms design, firearms
20 identification, serial number restoration, distance
21 determination or muzzle to target distance determination,
22 history of firearms development, coverage of safety and
23 other topics that encompass that first two years of my
24 training. It was as though I was going to school all over
25 again when I was employed.

1 My training started there in-house. And most
2 firearm and toolmark examiners are trained in-house.

3 Outside of the laboratory, I've taken several
4 armorers courses held by manufacturers such as Sigarms,
5 Glock and Smith & Wesson. I've taken a Smith & Wesson
6 pistol and revolver course and then a Glock pistols course
7 and Sigarms pistols course.

8 Now, armorers courses are structured to provide a
9 practical understanding of particular makes and models of
10 firearms that these manufacturers make, and one gains an
11 appreciation for how the individuals parts work in concert
12 with each other within firearms. And also an appreciation
13 for how the safeties work on these firearms and also how
14 these firearms are made.

15 Besides that, I've taken two courses with the
16 Federal Bureau of Investigation, one on muzzle to target
17 distance determination and one on bullet trajectory
18 analysis. With the Bureau of Alcohol, Tobacco and Firearms
19 and Explosives, I've taken a course on silencers, or rather
20 noise suppression devices, as they're also called. And
21 also, a course on how to use the National Integrated
22 Ballistic Information Network, or -- it's the instrument
23 that inputs casings into the National Integrated Ballistic
24 Information Network known as IBIS.

25 Furthermore, I've taken several courses through

1 the Miami-Dade Public Safety Training Institute covering
2 topics such as crime scene investigation, footwear and tire
3 track examination and several other administrative courses
4 that are in line with my supervisory position as well.
5 I've attended several educational conferences. As far as
6 that, there's the Association of Firearm & Toolmark
7 Examiners yearly conference and I have attended the 2008,
8 the 2009, and the 2010. At the 2010 conference, I also
9 presented a paper based on research that my laboratory is
10 performing and -- and the reason we're doing that research
11 is because we received a grant from the National Institute
12 of Justice to evaluate consecutively manufactured
13 breechfaces on Ruger, or Ruger slides, rather.

14 I've also attended the 2006 International
15 Association of Identification educational conference where
16 I took several classes in -- in the subject area of
17 footwear and tire track examination. And I'm a member of
18 the Association of Firearm & Toolmark Examiners, a regular
19 member at this time. I'm also certified in the field of
20 firearms evidence, examination and identification through
21 the Association of Firearm & Toolmark Examiners.

22 Q. If you had to give us just a rough estimate, how
23 many hours of continuing education do you think you've
24 received through these courses, conferences and other
25 training that you've undergone over the period of time that

1 you've been doing this?

2 A. It would amount in the hundreds.

3 Q. I know from reviewing your C.V. that you've
4 indicated that you've visited numerous firearms
5 manufacturers; is that correct?

6 A. That is correct.

7 Q. Okay. And we've often wondered, as prosecutors,
8 why that is something that you people think is important to
9 inform a jury on. Can you explain to the Court why it's
10 important for you as a firearm examiner to visit the
11 manufacturer of firearms?

12 A. Absolutely. It's very important, as you might
13 imagine. Firearm and toolmark examiners will visit and
14 tour firearm manufacturers to see firsthand the
15 manufacturing processes that go into the manufacturing of
16 the barrel, the manufacturing of the breech of a slide, for
17 example, the extractor, the ejector, the firing pin, and
18 see the interaction between tool and work piece as those
19 firearms are being made.

20 Ultimately, the -- the markings that are made
21 incident to manufacture within a firearm in the case of a
22 barrel or on a surface in the case of a breech or
23 breechface will leave identifiable marks on any ammunition
24 components that are fired through that constructed gun. So
25 it's important for firearm and toolmark examiners to see

1 those firsthand to understand it, see these individual
2 parts of a firearm come off the line, even in a consecutive
3 manner, and gain that appreciation.

4 I've toured the factories of Smith & Wesson, Sig,
5 Wilson barrels, Marlin, Mossberg shotguns as well as Colt
6 and Glock. Actually, both their factories in Austria.

7 Q. And I understand from conversations you and I had
8 on Tuesday that you actually traveled to Austria recently
9 to assist them in a project; is that correct?

10 A. That is correct. I had mentioned just a few
11 moments ago about how I presented a paper based on research
12 that we were able to do because of a grant. That one was
13 on Ruger -- I'm sorry, Ruger slides.

14 However, we also were awarded a second research
15 grant in our laboratory and I was a co-recipient of that
16 grant and I was tasked or the research question was to
17 evaluate a particular type of barrel that Glock
18 manufactures that only law enforcement can use. Part of
19 that was to go to Austria, to go to the actual factory and
20 see these barrels made consecutively so I could attest to
21 their consecutive manufacture, understand how that process
22 actually is -- is done and then write down the serial
23 numbers of those barrels so that when they send them to me,
24 we can go ahead and make a validation study based on -- on
25 these barrels.

1 Q. Okay. And these two grants that you've just
2 referenced, the one they required you to go to Austria and
3 the other one relative to the perform -- validation set
4 that you all compiled, those were done as a direct result,
5 if you will, from funding provided in response to this NAS
6 report?

7 A. Yeah. Ultimately, the NAS report had three main
8 recommendations, and really that's what the NAS report did.
9 They -- they made recommendations to improve all forensic
10 sciences. One of them, and probably the main
11 recommendation, was for more research to be done. And as a
12 result of that, the National Institute of Justice provided
13 monies for these research projects to be -- to be applied
14 for. So our laboratory applied for two and we received
15 two, so we're two for two.

16 Q. All right. Now, in this capacity, other than
17 having taught just simply within your department or your
18 agency, you've also had the occasion to teach on issues of
19 firearm and toolmark examinations outside of the Miami-Dade
20 Crime Lab?

21 A. Sure. I mean, besides trainees and -- that come
22 into our laboratory and go for -- through the training or
23 two-year training, I also teach on -- outside the
24 laboratory, and I've been teaching for many years. I
25 started teaching as an adjunct professor at John Jay

1 College of Criminal Justice while I was working on my
2 master's degree, and I teach general forensic science
3 classes, which there are chapters that -- that cover
4 firearm and toolmark examination.

5 Q. All right. Have you co-authored any publications
6 in this area?

7 A. I have. The -- the report that we are sending to
8 the National Institute of Justice, for example, on the
9 Ruger research study, the one that has been completed, the
10 one that we have presented already at the Association of
11 Firearm & Toolmark Examiners conference recently, that was
12 in Chicago at end of May, beginning of June, I'm a
13 co-author on that particular research project, paper.

14 Q. Do you belong to any professional organizations?

15 A. Yes, AFTE, or the Association of Firearm &
16 Toolmark Examiners. I am a regular member. I'm also a
17 member of the International Association of Identification,
18 and I'm also a member of the Florida Division of the
19 International Association for Identification. Both -- the
20 first two, AFTE and IAI, or -- I serve on committees on
21 both the -- in both those organizations.

22 Q. Can you tell us just a little more detail on what
23 the Association of Firearm & Toolmarks Examiners does?

24 A. Sure. It's a -- it's -- Firearm and Toolmark
25 Examiners Association is made to basically have a place

1 where people like me, firearm and toolmark examiners, could
2 present research, present findings, present new and cutting
3 edge findings in our field in either publication format in
4 their quarterly journal or through their annual meeting or
5 training seminar through presentations that are done there.
6 They've been around since 1969 doing the service for our
7 community.

8 Q. All right. And then they developed and -- you
9 know, pardon, you know, my improper use of terminology, but
10 have they developed policies and procedures that they
11 recommend be utilized in the course of conducting a firearm
12 or toolmark examination?

13 A. Absolutely. Firearm and toolmark examiners will
14 follow these guidelines that are set forth by the
15 Association of Firearm & Toolmark Examiners and they, for
16 example, have a code of ethics that is followed. They have
17 the AFTE glossary where definitions for a myriad of
18 firearms-related words are located. They have guidelines
19 for examination protocols. And they also have a training
20 manual which is used as a baseline training manual across
21 several crime laboratories, probably all crime laboratories
22 as far as firearm and toolmark examination goes.

23 Q. Okay. When you talk about the accreditation of a
24 lab, I understand we'll get to it in a minute, but
25 accreditation of a lab is done through a different creature

1 than the -- than AFTE; correct?

2 A. That is correct.

3 Q. But when a lab is accredited and has a firearm and
4 toolmark examination -- examiners section, do they apply to
5 AFTE? Is it generally accepted as a best practice is to
6 apply the policies and procedures as outlined by AFTE?

7 A. Well, the -- the entity that you're speaking of is
8 American Society of Crime Laboratory Directors, Laboratory
9 Accreditation Board, and this entity will evaluate a full
10 crime laboratory, and part of crime laboratories is the
11 firearm and toolmark unit, and they will evaluate the
12 standard operating procedures of these laboratories for
13 quality and for conformance to set standards put out that
14 crime laboratories have to follow.

15 So if the particular lab has, for example, the
16 AFTE training manual as a reference to their training
17 manual or as a baseline to their training manual, this --
18 this organization, ASCLD/LAB, will review that and see to
19 it that that's being followed as well. So, in essence,
20 yes.

21 Q. Okay. Now, do you hold any certifications?

22 A. I do. I have a certification from AFTE in
23 firearms evidence, examination and identification.

24 Q. And how do you obtain that, what qualifications
25 must you have?

1 A. First you have to become eligible. You need two
2 years of training and then three years on the bench to
3 become eligible to even take the test.

4 Once you become eligible, you have to take a
5 written exam and successfully pass it. And the written
6 exam is -- the preparation for the written exam takes
7 months, perhaps.

8 After successfully passing the written exam, a
9 practical test is sent to your laboratory of, say, casings
10 and projectiles to test -- like unknown casings and
11 projectiles to known casings and projectiles and the
12 examiner is asked to evaluate them and then write down the
13 results as though they were following proper laboratory
14 procedures and then they send those results away and those
15 are scored as well.

16 Q. Okay. Now, in the area of accreditation, we
17 talked about briefly, you know, the agency responsible for
18 doing accreditation. Have you had the responsibility of
19 going in and conducting accreditation studies, if you will,
20 of crime labs in the State of Florida?

21 A. As far as my own crime laboratory to Miami-Dade
22 Police Department, I was actively involved in -- in
23 preparing our SOPs so that they were in conformance with
24 the latest set of standards, which is the ISO 17205
25 standard that ASCLD/LAB International sets forth.

1 I've done audits of laboratories outside of
2 Florida, though. I've audited Washington State Police
3 Laboratory through ASCLD/LAB where I'm a certified
4 assessor.

5 Q. Okay. And with respect to the Indian River Crime
6 Lab, have you had the occasion to do any accreditation work
7 there or auditing at that lab?

8 A. No, not me personally.

9 Q. Okay. Are you aware of people within your agency
10 who have?

11 A. Yes.

12 MS. VROD: Objection, Judge. Relevance.

13 MR. BAKKEDAHL: Well, I mean, I think I have to
14 establish that our lab is accredited --

15 THE COURT: I'll overrule the objection.

16 BY MR. BAKKEDAHL:

17 Q. All right. Yes?

18 A. Right. And also, I happen to know that the Indian
19 River Crime Laboratory is accredited through
20 ASCLD/Laboratory -- I mean ASCLD/LAB.

21 Q. I think we indicated at some point in time, you've
22 been up to this lab. What was the purpose of your visit to
23 the lab?

24 A. At the time, there were two firearm examiners at
25 the crime laboratory, and one was going through training.

1 Now, standards put forth that a verification of
2 identifications to, say -- from, say, a casing to a gun or
3 a projectile to a gun, have to be verified by a second
4 qualified examiner or a second fully trained examiner. So
5 at the time, they had one fully trained examiner and one
6 trainee. So Indian River put a request out to neighboring
7 laboratories, Miami-Dade, to please come and verify some of
8 their work, and that was the mode where I visited the
9 Indian River Crime Laboratory.

10 Q. Okay. And during the course of that verification
11 process, you then become familiar with the policies and
12 procedures utilized by the lab as they conduct firearm and
13 toolmark examinations?

14 A. Right. There's a review of the case notes and the
15 conclusions as part of that verification process and also
16 an evaluation of the actual evidence that was being
17 compared. And everything in -- that was shown to me showed
18 that a sound examination protocol was followed.

19 Q. Okay. So to sum up as it relates specifically to
20 our lab here in the 19th Circuit, that lab is conducting
21 examinations in the area of firearm and toolmark evidence
22 in accordance with generally accepted policies and
23 procedures as laid out by AFTE?

24 A. Yes. And -- and laid down by ASCLD/LAB as well.

25 Q. Right. Okay. Now, can you give us an estimation

1 approximately how many firearm and toolmark examinations
2 you conducted in your career?

3 A. An estimation would be in the hundreds, and maybe
4 even thousands at this point.

5 Q. Okay. And have you ever qualified as an expert in
6 the court of law in the area of toolmark and firearm
7 examination?

8 A. Yes, I have, in firearms examination.

9 Q. And what courts?

10 A. In Miami.

11 Q. Okay. And how many times would you estimate
12 you've been qualified in a court of law as an expert?

13 A. I've testified ten times.

14 Q. Okay. All right. Were you asked to review some
15 materials relative to an examination conducted by Mark
16 Chapman of the Indian River Crime Laboratory relative to
17 this case?

18 A. I reviewed Mr. Chapman's report and I reviewed his
19 work notes.

20 Q. Okay. Did you also have the occasion to review
21 trial testimony that he gave in a prior proceeding in this
22 case?

23 A. I skimmed through some of that testimony, but I
24 haven't read it fully book to book -- I mean cover to
25 cover.

1 Q. Okay. And you indicated that you reviewed a copy
2 his case file; is that correct?

3 A. That is correct.

4 Q. All right. And did you also have an opportunity
5 to speak with him directly about what he did and the
6 policies and the procedures that he complied with?

7 A. Correct. My conversation with him was more
8 towards the policy that -- that he follows and also I had
9 questions about the AFTE training manual and if their
10 training manual is based on the AFTE training manual, and
11 he confirmed that their training manual is based off the
12 AFTE training manual.

13 Q. All right. In reviewing his file, did you have an
14 opportunity to see his report?

15 A. Yes.

16 Q. Did you have an opportunity to review his bench
17 notes?

18 A. Yes.

19 Q. Photographs?

20 A. Yes.

21 Q. Okay. Determine whether or not there had been a
22 validation of his findings in this case?

23 A. Correct. In conversation with Mr. Chapman, he
24 verified to me that a verification of his -- his work was
25 indeed done.

1 Q. Okay. Now, you've indicated already that the
2 Indian River Crime Lab here is certified; is that correct?

3 A. It's accredited by ASCLD/LAB.

4 Q. Okay. Accredited, excuse me.

5 And was Mr. Chapman's work, as you observed it
6 from his file and bench notes and what you reviewed in the
7 deposition, conversations with him, your understanding of
8 the operation of the crime lab here, was it in accordance
9 with accreditation standards?

10 A. Yes. It fulfills all -- all the standards.

11 Q. Can you just very briefly click off what you mean
12 by that? What are we talking about that has to be done?

13 A. Basically, we're talking about an evaluation of
14 evidence. I believe in his case, there's projectile
15 evidence, there's casing evidence and there's also a
16 firearm. There's a comparison of the casings and
17 projectile back to tests made with the particular gun
18 and that evaluation was sound, as I saw in his bench notes.

19 Q. Okay. And just for purposes of the AFTE policies
20 and procedure manual and the conducting of these
21 examinations, let's start off first with what type of a
22 credential must the examiner have? What type of experience
23 must they have before they can do this?

24 A. Right. The examiner must have completed the
25 training manual.

1 Q. Okay. And that's the training that you referred
2 to that you underwent?

3 A. Correct.

4 Q. Okay. And there's every indication that
5 Mr. Chapman has undergone that training?

6 A. Yeah, every indication is there that he has.

7 Q. In fact, you know he has?

8 A. Correct.

9 Q. Okay. Number two, as it relates to the actual
10 processing of it and examination of the evidence, what are
11 some of the things that are required to do as it relates to
12 documenting the examination?

13 A. For example, there would be some sort of narrative
14 about -- about the evidence that is there, which
15 Mr. Chapman has done. He also has documented the -- his
16 identifications with pictures.

17 Q. Okay.

18 A. And he's also verified his results.

19 Q. Okay. And those are all things that are
20 required --

21 A. In -- in the protocol.

22 Q. In the protocol, okay.

23 Based upon generally accepted and employed
24 procedures and methodologies for conducting a firearm
25 examination, do you have an opinion as to whether or not

1 Mr. Chapman performed his examination in compliance with
2 those standards?

3 A. I believe that he has performed his examination in
4 compliance with those standards.

5 Q. Okay. All right. Let's go ahead and move to your
6 Powerpoint, then, if we can, at this time.

7 THE WITNESS: May I step down, Your Honor?

8 THE COURT: Yes, sir.

9 THE WITNESS: Thank you.

10 BY MR. BAKKEDAHL:

11 Q. Okay. I think as I indicated to the Court in the
12 brief opening statement, essentially this, for lack of a
13 better term, this brouhaha developed over the issuance of
14 this NSA -- this NAS report; correct?

15 A. Correct.

16 Q. In 2009, and that's going to be the
17 strengthening --

18 A. Right, the Strengthening Forensic Science in the
19 United States: A Path Forward, written by National
20 Research Council through the National Academy of Sciences.
21 And effectively, we call it the NAS report. Okay?

22 Basically, the NAS report is what brought about
23 some of these, I suppose, criticisms that have arisen. And
24 basically, the charge, the original charge of the committee
25 members of the NAS report was to improve forensic science

1 and give a clear path on how to do that.

2 The report is not meant to discredit the current
3 work product of crime laboratories. It was never intended
4 to do that, nor does it. And at no time does the report
5 state that firearm and toolmark identification is invalid.
6 It never said that.

7 And it gives recommendations for improvement for
8 all the disciplines of forensic science, not just firearm
9 and toolmark identification. And the main thrust of those
10 recommendations were to perform more research much in the
11 individual disciplines of forensic science, to -- for
12 individual laboratories to seek laboratory accreditation,
13 because it is voluntary, and last, but not least, for
14 individual examiners, when they do become eligible, to seek
15 certification through whatever certification -- certifying
16 body exists for their discipline.

17 **Q.** And we talked a little bit about, like, for
18 instance, the recommendation of the committee to perform
19 more research. We now know that you're -- the relevant
20 scientific community or firearm and toolmark examiners has
21 begun doing additional research, not that you haven't done
22 research, but are doing additional research as a result of
23 this report; correct?

24 **A.** Actually, it's a little more than that. Research
25 had been constantly done and is currently being done,

1 however, there is more resources available now, in terms of
2 dollar signs. What's possible now with these extra
3 resources is larger tests where you can reach more firearm
4 and toolmark examiners and get an evaluation from -- from
5 that larger population of participants.

6 Q. Okay. Now, I want to make sure that the record is
7 abundantly clear on the ultimate issue, which is at the
8 conclusion of the NAS report, while they may have made
9 recommendations, suggestions and criticisms, they at no
10 point in time indicated anywhere in that, and I think the
11 Defense is going to offer it, and we don't have any
12 objection to the admissibility of the report, but at no
13 point in time did they ever suggest that the foundation or
14 the fundamental underlying methodologies of premises of
15 firearm and toolmark examination is in question?

16 A. Correct. It never says that the science is
17 invalid at all.

18 Q. Okay. Now --

19 A. All it says is that further research to build on
20 the foundation that exists should be done.

21 Q. Okay. One of the criticisms that's arisen through
22 this group of individuals who are out attacking this --
23 this field of study is that it is not scientific, it is not
24 a science; is that correct?

25 A. Correct.

1 Q. Okay. Let's talk about that.

2 A. Okay. So the outline of my full presentation will
3 cover a basic overview of science and forensic science and
4 then will cover the fundamentals of firearm and toolmark
5 identification, will cover fulfilling admissibility and
6 last, but not least, we'll do a summary. So first we'll
7 start with a basic overview of science and forensic
8 science.

9 Q. Okay. And I want to talk about -- I want you to
10 talk about this in the context of establishing for the
11 Court that what you people do is science.

12 A. Correct.

13 Q. Okay?

14 A. All right. Great. Basically, what is a science?
15 A science is a systematic gathering of knowledge. The
16 observation, identification, description, experimental
17 investigation and the theoretical explanation of phenomena,
18 that is the definition of a science.

19 What is a scientific method? They're procedures
20 for the systematic gathering of knowledge and these
21 procedures generally involve stating a problem, developing
22 a hypothesis, testing that hypothesis, and if that
23 hypothesis holds up over rigorous testing, a theory can be
24 formed and then that theory can be used to predict events.

25 To be scientific, the theory must be testable,

1 okay? And the theory must be validated through the testing
2 of fundamental propositions upon which the science is
3 based. All of these things are elements of firearm and
4 toolmark identification.

5 Q. Let me stop you just on that particular issue.
6 When we talk about testability of a scientific theory, if
7 you will, if somebody were to engage in some experiment and
8 then come to a conclusion, or reach a result as a result of
9 the experiment, render an opinion, if that could never be
10 reproduced, or tested, if you will, that would not be a
11 valid scientific theory; correct?

12 A. It wouldn't be. You need to validate a hypothesis
13 repeatedly and -- until it becomes a theory. And then
14 continue on in that motion.

15 Q. Okay.

16 A. So we talked about science generally. Now, let's
17 bring it into forensic science, all right? Forensic
18 science, the most general is the application of the science
19 to law. And a discipline of forensic science is firearm
20 and toolmark identification. Now, firearm and toolmark
21 identification is a science that is validated and sits on a
22 strong foundation of research.

23 Now, let's put it into the framework of the
24 scientific method, which is the slide I just had up here.
25 What is the problem with firearm and toolmark

1 identification? Basically, can you identify or eliminate a
2 toolmark back to the firearm or tool that produced it?
3 When you develop the hypothesis from that problem, a
4 toolmark can be identified or eliminated to the firearm or
5 toolmark that produced it and then you test that, and you
6 test it rigorously with validation studies and research.

7 Q. And in your field of firearm and toolmark
8 examination, has there been validation studies -- and we're
9 going to get into those in details, but have there been?

10 A. Yes.

11 Q. Okay.

12 A. Absolutely. And then the hypothesis will undergo
13 validation studies and research, and if it holds up through
14 that rigorous testing, the hypothesis then could be formed
15 into a theory in firearm and toolmark identification as the
16 Association of the Firearm and Toolmark Examiners Theory of
17 Identification. Then that theory can be applied.

18 So the fundamentals of firearm and toolmark
19 identification. We'll cover some definitions. We'll cover
20 fundamental propositions 1 and 2, the examination method
21 and some range of conclusions.

22 Q. All right. Let me just stop you for a second
23 because I -- just to go back. You don't have to go back,
24 but in summation, as it relates to the scientific method in
25 that last slide we went through where you articulated the

1 problem, the hypothesis and so forth, as a result of that
2 in the scientific -- excuse me, in the firearm and toolmark
3 examination fields, does that make it a scientific field?

4 A. Yes, it does.

5 Q. Okay. Go ahead.

6 A. So, now that we talked about science and showing
7 how firearm and toolmark identification fulfills those
8 precepts, let's talk about some definitions, fundamental
9 propositions 1 and 2, the examination method and some range
10 of conclusions.

11 So how would you define firearm and toolmark
12 identification? Basically it's an empirical comparative
13 analysis that can determine if a striated or impressed mark
14 was produced by a particular tool.

15 I mentioned tool. Let's define tool, okay? The
16 harder of two objects that comes into forceful contact with
17 one another, resulting in the softer object being marked.
18 A tool can be a pair of bolt cutters, it can be a hammer,
19 it could be a flathead screwdriver, it could be a pair of
20 wire snips, it could be a mallet or even a knife. A tool
21 can also be a firearm where the interior portions of that
22 firearm will leave markings on softer metal that's found on
23 the, say, ammunition components that are fired through it.

24 What is a toolmark? A toolmark are features
25 imparted to -- on an object by the contact and force

1 exerted from a tool. There are two types of toolmarks.
2 There are impressed toolmarks and striated toolmarks.
3 Impressed toolmarks are features produced when a tool
4 contacts an object with enough compressive force that it
5 leaves an impression.

6 What I have here are two pictures. On the
7 left-hand side, I have a picture of what is an impression
8 from the face of the hammer in soft lead. And you see the
9 impression of that hammer there, and -- and that is
10 basically showing the face of that hammer on that work
11 piece. In other words, it's a toolmark from the face of
12 that hammer.

13 On the right-hand side, I have a picture of the
14 casing. A casing, upon fire -- pulling a trigger, a firing
15 pin will come and strike the casing in the primary of the
16 cartridge. That firing pin will leave an impressed mark in
17 that primer, and it's referred to as a firing pin
18 impression. Now, the same time as -- after that primer has
19 been struck, it's going to send a jet of flames into the
20 interior of the casing and ignite the gunpowder. An
21 extreme amount of pressure will build. That extreme amount
22 of pressure is going to push that casing back into the
23 breech of the firearm and that -- those breechface marks
24 from the firearm will then be impressed on that primer and
25 potentially on the rim of the casing. And those are these

1 marks here. And then this is the firing pin impression.

2 Now, we talked about impressed marks. Let's cover
3 striated toolmarks. Now, impressed marks is basically one
4 tool coming to a work surface and leaving an impression and
5 then coming away. A striated toolmark, there's lateral
6 force involved. The tool comes into contact and then it is
7 moved where scratches -- will become scratches, or
8 striations is another word for it. So striated toolmarks
9 are features produced when a tool contacts an object with
10 lateral force and motion.

11 And we have two examples of striated toolmark
12 here. On the left is a picture of a striated toolmark made
13 by a flathead screwdriver that has stabbed into a surface
14 and then drawn across that surface leaving striated
15 markings. On the right-hand side is a picture of a fired
16 bullet. This bullet has gone down the barrel of a firearm
17 and received striations on its surface, particularly in the
18 areas of the land impressions, the high areas that are
19 found inside a rifled barrel, and those striations will
20 appear here because it's -- it's -- as the bullet goes down
21 the barrel, there's lateral force from those lands on the
22 surface of that bullet creating these striations.

23 So the science of firearm and toolmark
24 identification is based on two fundamental propositions.
25 The first proposition is that toolmarks imparted to objects

1 by different tools will rarely, if ever, display agreement
2 sufficiently to lead a qualified examiner to conclude the
3 objects were marked by the same tool. That is, a qualified
4 examiner will rarely, if ever, commit a false positive
5 error, a misidentification. That's the first proposition.

6 A firearm and toolmark examiner will use pattern
7 matching to do this, to evaluate two items, see if they do
8 or do not have the same toolmark. So firearm and toolmark
9 examiners will use this. And pattern matching, as defined,
10 is a visual comparative examination of the topographical
11 features of two different toolmarks. The relative height
12 and depth, width, curvature and spatial relationship and --
13 spatial relationship of the features are defined for one
14 toolmark and are then compared to the corresponding
15 topographical features in the other toolmark.

16 So basically all of these individual markings of
17 height, depth, width, curvature and spatial relationship of
18 these features will have to be the same between two tools
19 in order to render an opinion of an identification. That
20 was the first proposition. Now, the first proposition is
21 an examiner will rarely, if ever, commit a
22 misidentification.

23 Proposition number 2 is that most manufacturing
24 processes involve the transfer of rapidly changing or
25 random marks onto work pieces such as barrel bores,

1 breechfaces, firing pins, screwdriver blades and the
2 working surfaces of other common tools. This is caused
3 principally by the phenomena of chip formation and tool
4 wear, or by the electrical/chemical erosion that some
5 processes or some manufacturers use. Microscopic marks on
6 the tools may then continue to change from further wear,
7 corrosion or abuse.

8 Now, proposition 2 talks about chip formation and
9 that interaction between chip formation and the tool that
10 makes, say, a barrel. So I have an example here of a cut
11 rifled gun barrel. So we can talk about these
12 manufacturing processes in the framework of proposition 2,
13 and ultimately, through this example. So, first you have a
14 cylinder of steel, okay? It's drilled. You make the hole
15 that will ultimately become the barrel of this firearm.
16 The drill head, I have an example of a drill here, or a
17 drill bit, rather, will cut into that tool and then the
18 chips will form along these channels on the side, these
19 spiraling channels on the side of the tool as it goes down
20 this cylinder of metal. As it does that, those chips will
21 interact with these cutting surfaces of this tool and cause
22 individual changes and minute microscopic changes that are
23 completely random and unique. All right? That's from
24 drilling.

25 After drilling, a reaming tool will go into the

1 barrel and cut -- and a reaming tool, instead of cutting
2 from the front, like a drill, it actually cuts on the side.
3 And what this is done -- used for, rather, is to make the
4 rough cuts from the drill less as this reaming tool goes
5 in. Now --

6 Q. Let me just stop you for a minute. So when the
7 barrel is being reamed out after it's initially been
8 drilled -- it's initially drilled and it imparts all of
9 these random, unique, individual characteristics?

10 A. Right. And then the reaming tool comes in and
11 imparts more random, unique characteristics.

12 Q. Okay.

13 A. Maybe erasing some of the ones that were there
14 prior because of the drilling marks, but making their own.
15 So the tool is cutting constantly, repeatedly over marks
16 that were made.

17 Q. And it's more a product of the -- the remnants of
18 the drilling process than the tool itself?

19 A. Correct. It's an interaction of both. It's an
20 interaction of chip formation and the cutting surface of
21 the tool that will ultimately leave the identifiable marks
22 on the lands of the barrel.

23 Now, the next step is a barrel broach would come
24 in and cut the grooves in, but what's remaining are the
25 lands. And then the lands have reaming marks on their

1 faces, and those reaming marks are what -- are going to
2 leave the striations and the land impressions that are
3 impressions that are seen when a bullet is fired through a
4 barrel.

5 Q. Just so we're clear on that for the record, the
6 land is, if you envision it, it would be a raised portion
7 and then it would come down into like a little valley which
8 would be a groove and then --

9 A. Correct, the raised portion and then -- the lands
10 and grooves of rifling are cut into a gun barrel and
11 they're cut into in a helical manner. All right? There
12 are raised portions and lower portions. The bullet will --
13 after being fired, the bullet will engage this rifling and
14 then start turning. Now, the reason that happens is when
15 the bullet comes out the front of this firearm, it's going
16 to be turning on its central axis so that bullet could
17 remain true on its trajectory, much akin to a quarterback
18 throwing a football and having the tight spiral. If it has
19 a tight spiral, it's going to continue on on its intended
20 trajectory. If it doesn't have that spiral and falls end
21 over itself, it's going to lose stability and not hit its
22 intended target.

23 Q. Okay, so the bullet traveling down the barrel,
24 then, it comes into contact with the lands and the grooves,
25 it picks up some these of individual markings; correct?

1 A. Correct. In the areas of land, the land will
2 leave striations on the bearing surface of that bullet, and
3 then the reaming marks that I mentioned earlier are
4 perpendicular to the direction of travel of that bullet as
5 it goes through the barrel.

6 So, sort of in summary, manufacturing operations
7 create microscopic random imperfections on or in work
8 pieces that give rise to the individual characteristics
9 that are later seen on bullets and cartridges.

10 Q. Okay, let me stop you. There is no question in
11 any scientific studies, in any opinions, it doesn't matter
12 who you are or where you are about this proposition right
13 here, that the manufacturing process imparts unique
14 individual characteristics?

15 A. Correct. This is proposition number 2 of our
16 science, that in manufacture, these items are individual.

17 So let's define some of the class characteristics
18 that are seen. Class characteristics, to define the term
19 class characteristics are general and/or measurable
20 features of a specimen which indicate a restricted group
21 source. They result from design factors, and are therefore
22 determined prior to manufacture.

23 Here are some examples of class characteristics:
24 We're looking down the barrel of the gun here. Okay? This
25 particular example has one, two, three, four, five, six

1 lands. The lands are the high portions, the grooves are
2 the low portions. Okay? This barrel also exhibits a
3 right-hand twist. Okay? So that's a class characteristic
4 of this barrel. Other barrels of the same make and model
5 will also have six lands and grooves, right-hand twist.
6 Another class characteristic of this barrel is the width of
7 the lands and the width of the grooves. The class
8 characteristics from that barrel will be imparted on a
9 bullet that is fired through it. So a bullet that is fired
10 from this barrel will have six lands, six grooves, or have
11 impressions from six lands and six grooves, and will also
12 have the width of these lands and the grooves, the width of
13 these grooves seen on their bearing surface. So in other
14 words -- so in other words, if you see a projectile
15 casework that had eight lands and grooves with a left-hand
16 twist, it couldn't possibly have come from this barrel, it
17 had different class characteristics.

18 Q. Now, when we talk about, and I don't mean to be
19 disparaging to your field, but when you talk about class
20 characteristics, it's like if I've got a Cadillac and I've
21 got a Yugo, I know they're different.

22 A. Right.

23 Q. And effectively, you folks as experts will look at
24 evidence initially for class characteristics and
25 immediately be able to say not related; correct?

1 A. Right.

2 Q. Again, not to be offensive, that's not rocket
3 science?

4 A. Right. Right. If they don't have the same class
5 characteristics, they could not have come from the same
6 source.

7 Q. Okay. So we're narrowing the field with this?

8 A. Correct. This is the beginning. The first part
9 of the analysis. So an evaluation of class
10 characteristics.

11 Now, in reference to tools, let's give the example
12 of a flathead screwdriver, and a flathead screwdriver
13 stabbed in this metal in the drawing caused striations, but
14 the width of that tool is a class characteristic of -- that
15 is seen in the impression. All right? So this tool can't
16 possibly make a toolmark that is larger than the width of
17 its head. Okay? So that's a class characteristic, an
18 eliminating factor if something came in that was different.

19 Q. All right. Before you get into this definition
20 and this -- and we look at the examples of this, this is,
21 again, if we talk about the, quote, unquote, controversy
22 surrounding the firearm and toolmark examiners' community,
23 this is one of the issues that the enemies of the science
24 have raised as effectively invalidating or calling into
25 question the methodologies or your -- maybe not the

1 methodologies, but your ability to make an identification;
2 is that correct?

3 A. That is correct.

4 Q. Okay. So let's go ahead and talk about this and
5 explain how that's not the case.

6 A. Okay. Subclass characteristics, let's first
7 define them, are features that may be produced during
8 manufacture that are consistent among some items fabricated
9 by the same tool. These are not determined prior to
10 manufacture and are more restrictive than class
11 characteristics. Okay?

12 So let me give an example of a subclass
13 characteristic. A breech of firearm, for example, might be
14 a step broach in order to -- to smooth out that -- that
15 breech during manufacture. If there is a chip in one of
16 the blades of the step broach or in the consecutive step
17 broach, or one of the last blades of the step broach,
18 rather, a course line will form where that chip is, that
19 notch is in that blade. It will be -- it will reproduce on
20 any slides that are affected by that step broach during
21 manufacture. So you might have a run of, say, ten to 12 or
22 50, just a broad number, where this defect from the step
23 broach is seen on the working surface of the breech, for
24 example.

25 Q. Okay. There is --

1 A. So that's a subclass characteristic.

2 Q. And it's a subclass because it wasn't designed to
3 be that way, it wasn't --

4 A. It wasn't designed to be that way. It's more
5 restrictive than class characteristics because these over
6 this limited run have the same class characteristics of all
7 of the same -- the same make and model, but only through
8 this limited run where there's some sort of damage in the
9 tool that manufactures, you'll see this subclass
10 characteristic come up. Okay?

11 So firearm and toolmark examiners are aware of
12 subclass characteristics and the potential for subclass
13 carryover. And they gain this knowledge and this training
14 through their training. In the beginning, the first two
15 years of formal, structured training.

16 So what are the steps? What happens when there's
17 an inspection for subclass carryover? An examiner will
18 examine the tool and toolmarks for subclass influence.
19 They'll first have established knowledge of manufacturing
20 processes that they received during training and visits to
21 the firearm and toolmark manufacturers, for example. And
22 they will look for evenly appearing non-random contours
23 that are either impressed or striated. They will also look
24 for prominent striated markings on the interior of a gun or
25 barrel bore that remain unchanged through the entire length

1 of the barrel. Meaning, muzzle down through chamber, all
2 right, going in the same direction as the travel of the
3 bullet, typically heavier than -- these will be typically
4 the heavier -- they will be typically course in appearance,
5 so, in other words, typically the heavier the marking, the
6 greater chance of this occurring.

7 And then last, but not least, impressed striations
8 transferred to the tool working surface that remain
9 unchanged across the entire working surface.

10 Q. Okay. So let me stop you for a second. Again,
11 going back to my original question regarding this all of a
12 sudden becoming an issue as a -- as a science in a
13 community. Has the firearm and toolmark examiners
14 community recognized the issue of subclass for years?

15 A. Yes.

16 Q. I mean, this is not something that came up in 2009
17 or 2010?

18 A. No, no, absolutely not.

19 Q. And as a result of your recognition of that, have
20 there been studies to address the issue of subclass
21 identification?

22 A. Carryover.

23 Q. Carryover?

24 A. Yes. There has been studies that study this, and
25 also training has been constructed to evaluate this and

1 notice it.

2 Q. Okay. And you've got some pictures of this for
3 us?

4 A. Correct, correct. I actually have some pictures
5 from one of these studies that advertised and showed to the
6 community some subclass carryovers and that were seen in
7 two different models of a Smith & Wesson SW40VE Sigma
8 pistols.

9 What's seen here are pictures of casts of the
10 breechface of the two different firearms. A firearm and
11 toolmark examiner will use a comparison microscope where
12 they can view two things at the same time under one field
13 of view. And that's what this view is. This is a cast
14 from one gun.

15 Q. When you say "a cast," what is a cast?

16 A. A casting, basically it's like a microsil cast.
17 It's a casting material, kind of a putty type of material
18 that will be injected or transferred onto a surface,
19 allowed to harden, and then it's -- it's sort of rubbery in
20 touch when it's removed. And then when it's removed,
21 you'll have a casting of any impressions that are on that
22 surface.

23 Q. Okay. So this is a casting of impressions from
24 what?

25 A. From a breechface or two breechfaces of two Smith

1 & Wesson firearms.

2 Q. Okay.

3 A. Pistols.

4 What I'd like to show, first and foremost, that
5 this casting is from one firearm and this casting is from a
6 second firearm. This is a view from a comparison
7 microscope, so this hairline can be moved in between the
8 two. We're looking at two things under one field of view
9 here. You can see parallel lines covering the entire
10 surface of the breechface and -- and showing that there is
11 subclass carryover in this example. And then there's two
12 different pictures of the same castings.

13 Q. What's that thing? What's the thing in the
14 middle?

15 A. Okay. Since this is a casting -- I'm glad you
16 asked. The breech of the firearm basically is the area
17 where the cartridge rests prior to firing and then the
18 firing pin strikes the primer, right? The firing pin has
19 to go through a firing pin aperture or a little window that
20 it has to jet through in order to strike the primer.

21 So that's what that -- this is. Since it's a
22 cast, the casting materials filled the hole where the
23 firing pin would come out and you see this extra rubber or
24 casting material that has been hardened in this appearance.
25 So this is where the firing pin -- firing pin would jut

1 out.

2 Q. All right. So this is an important fact because
3 this is an issue of some contention, rightly, or, quite
4 frankly, wrongly, and what I need you to be a little more
5 descriptive in it is why this is class characteristics?
6 When you look at this, why -- you know, I talked about that
7 Cadillac and the Yugo, it jumps out. Does this jump out to
8 you as an expert as class characteristics?

9 A. It jumps out to me as a subclass --

10 Q. That's what I meant. I'm sorry.

11 A. Right, right. Going back to here and these
12 different examination procedures that a firearm and
13 toolmark examiner will undergo, this fits in here.
14 Impressed striations transferred to the tool working
15 surface that remain unchanged across the entire working
16 surface.

17 Q. So, in other words, it looks -- it looks
18 consistent, it looks continuous --

19 A. Right.

20 Q. -- they're the same width, they're the same --
21 they're all -- they don't stop, they don't move and wiggle?

22 A. Well, actually --

23 THE COURT: I understand.

24 MR. BAKKEDAH: You got it?

25 THE COURT: I got it.

1 MR. BAKKED AHL: All right. You sure you don't
2 want me to go over it one more time?

3 THE COURT: Possibly.

4 THE WITNESS: So that's from the breech. It
5 becomes a lot more understandable when you look at
6 actual casings that were fired from these two
7 different guns.

8 Okay. So what we have down here is a picture
9 of two casings that were fired from the two
10 different guns and you see parallel markings that
11 run the entire length of -- of the surface of
12 this -- of this head of a casing. All right?
13 These parallel markings are even seen on the rim of
14 this casing and will run across.

15 The person that wrote this article noticed this
16 and noticed that he had two different guns and they
17 had these subclass characteristics in between them
18 that look -- that look like they match up. But a
19 firearm and toolmark examiner that has been
20 properly trained will know and be aware that
21 there's a red flag whenever he sees, or she sees
22 striations imparted that go across the full working
23 surface, in this case, it's a head of a casing.

24 So what's -- what they're trying to show in
25 this picture is that these two are from different

1 guns and share that same subclass characteristic on
2 the -- on the breechface marks.

3 But that's just the breechface mark. There's
4 firing pin impressions that a firearm and toolmark
5 examiner will look at. A firearm and toolmark
6 examiner will also look at firing pin aperture
7 shearing, this area right here. A firearm and
8 toolmark examiner also look at the ejector mark and
9 the extractor mark and chamber marks, even, on the
10 body of the casing, which do not have that subclass
11 carryover. Okay?

12 So this shows that -- that subclass carryover
13 between two different guns. However, this picture
14 shows a clear example of how the shearing marks
15 from the firing pin aperture are different between
16 these two guns.

17 BY MR. BAKKEDAHL:

18 Q. And let's talk just briefly. And so assume that
19 you're -- you have a qualified trained examiner and he
20 looks at this and says, wow, I think I have -- you know,
21 look at these -- everything is lining up; I think I've got
22 a match here. They think, don't stop there. They go and
23 they continue to look at, for instance, the fire pin -- the
24 firing pin impression; right?

25 A. Yeah, examiners will look at -- again, there's

1 different parts within a firearm that will leave individual
2 markings. There's the firing pin, there's the extractor,
3 there's the ejector, there's the breechface also, and then
4 there's also the chamber.

5 Q. So when they go -- after they see these original
6 impressions and they go and look at the firing pin
7 impression, if it's not the same, they automatically know,
8 oh, this must be --

9 A. Right. Honestly, I don't know what order this
10 hypothetical examiner might look at. They might start with
11 the firing pin impression or they might start with the
12 shearing, they might start with the chamber marks. So it
13 wouldn't necessarily be that. But right off the bat, when
14 a trained examiner sees parallel striations going across a
15 full surface of the -- of the casing head, that's a red
16 flag of subclass characters, therefore, they will rely on
17 shearing, firing pin impression marks and -- and other
18 markings that the gun produces, yes.

19 Q. What I'm getting at, I'm just trying to make a
20 worse case -- even assuming the examiner screws that up and
21 misses the subclass, they're not going to stop there.
22 They're going to go to look at other aspects of the casing,
23 if you will, to include the firing pin, and there they'd
24 see, oh, this doesn't match at all?

25 A. What I'm trying to say is a trained examiner

1 wouldn't screw it up --

2 Q. I understand that.

3 A. And -- but would look at other areas as well.

4 Q. Okay. Go ahead.

5 A. Okay. So that was an example from guns. There's
6 also examples that are found in tools. These are a pair of
7 bolt cutters and the manufacturing process, there are
8 parallel lines here, parallel lines that are equally
9 spaced. Again, potential for subclass carryover. Most
10 subclass carryover has to do with parallel lines, just to
11 make that clear. Either evenly spaced or covering the
12 whole surface from one end to the other of a particular
13 work piece. In bolt cutters, the blade of the bolt cutters
14 undergoes a grinding process, okay, where a grinding wheel,
15 for example, will come down and sharpen those cutting
16 surfaces that are in the interior of this tool. Those are
17 extremely individual in nature because of the nature of the
18 grinding wheel and the constant changing of that tool on
19 the working surface and the constant changing of any chips
20 that form between that grinding tool and the working
21 surface, and that grinding tool wearing down and losing
22 some of its sand particles, if you will. Okay?

23 So even though you have these subclass
24 characteristics, they'll show up and the examiner will
25 notice, okay, evenly spaced parallel lines. Red flag,

1 can't use them. I have to look at the other
2 characteristics here.

3 And besides that, any bolt that's cut with this
4 bolt cutter tool, the markings that are upon or seen in the
5 center of that thing that's cut are from the cutting blade.
6 Surrounding that would potentially be some -- the subclass
7 carryover. But that would only be around the bottom, kind
8 of like a skirt.

9 Q. Okay.

10 A. And then on top would be the individual markings.

11 So even all on top of that, an examiner will also
12 inspect items by rotating them at 90-degree intervals. So
13 in the case of those -- those casings with subclass
14 characteristics that I've shown to the Court a couple of
15 slides ago, if you were to turn them 90 degrees, now the
16 oblique lighting is going to pick up different markings
17 that are below those subclass characteristics that may be
18 identifiable. So even though the breechface has subclass
19 characteristics on it, there still might be individual
20 characteristics below them, and they could be seen perhaps
21 by a rotating at 90-degree intervals, and that's another
22 thing that can be placed.

23 So we talked about class, subclass
24 characteristics. Now we're on the subject of individual
25 characteristics. These are marks or features produced by

1 the random imperfections or irregularities of tool
2 surfaces. These characteristics can be used to
3 individually associate a tool to a toolmark.

4 How are individual characteristics produced?
5 These random imperfections or irregularities can be
6 produced by manufacture, the interaction of chips with the
7 manufacturing tool, and the slow wear of that tool over
8 time is -- marks incident to manufacture, and you can also
9 have individual characteristics from wear from use and wear
10 from abuse.

11 Here are some examples. This is a knife, and
12 there's a zoomed in box here of the actual cutting surface
13 of that knife. You can see in this cutting surface how a
14 grinding tool came in and -- and cut these markings on that
15 cutting surface. You have lines that aren't -- you know,
16 that are thicker than others. Some aren't perfectly
17 parallel with others, either. And you can see here, this
18 is -- any cuts made with this blade will be individual.

19 In the knife proper, or the area that's not
20 bladed, you can see evenly spaced parallel lines that may
21 be a potential for subclass characteristics. So an
22 evaluation would be done to see if that's a problem or not,
23 and then we'll only rely on markings made with the cutting
24 surface, which any markings made with a knife are made with
25 the cutting surface anyway.

1 What we're seeing here is the interior of a gun
2 barrel. What I did, I camed a gun barrel at an angle and
3 then I was able to take a picture of the interior of that
4 barrel through a stereoscope. Here are the lands, the
5 raised portions. Here's the grooves, the low portions.
6 Here's another land, raised portion. This is another
7 groove, low portion. What I wanted to show is that on
8 these lands are perpendicular markings to the direction of
9 travel of the bullet through this barrel. These
10 perpendicular markings are from the reaming operation, and
11 that reaming operation, again, cuts from the side, okay?

12 THE WITNESS: And if -- if you would like to
13 see an example of a reamer, Your Honor, I have one
14 here.

15 THE COURT: Okay, sir.

16 THE WITNESS: It might be a little sharp, sir.

17 THE COURT: Okay.

18 THE WITNESS: I'll hold onto that.

19 THE COURT: All right, Thank you.

20 THE WITNESS: And it cuts from the side and
21 then it will ultimately leave these reaming marks
22 on the land impressions. Now, these marks are
23 perpendicular to the direction of travel of the
24 bullet leaving extremely individual markings on the
25 bearing surface of any projectile or bullet,

1 rather, that is fired through this firearm. So
2 those are examples of individual characteristics
3 from manufacture.

4 There also -- I mentioned that there can be
5 individual characteristics from use and abuse.
6 This particular gun is -- is a little bit older and
7 you can see evidence of some damage within the
8 barrel of this firearm.

9 Now, going from firearms, tools. Let's talk
10 about individual characteristics from wear, use and
11 abuse. Think about a hammer that you may have --

12 THE COURT: Let me just interrupt you so if I
13 could follow up with the State.

14 The issue in this case is a firearm, right?

15 MR. BAKKEDAHL: Yes.

16 MR. BURNS: Right.

17 THE COURT: Not a tool?

18 MR. BAKKEDAHL: But the science is -- the
19 underlying premise is the same.

20 THE WITNESS: A firearm is a type of tool.

21 THE COURT: Okay. All right. Go ahead, sir.

22 THE WITNESS: So from use, the face of this
23 hammer has been changed from its constant hitting
24 of nails, for example. Little nicks and scratches
25 will appear in random locations on the face of that

1 hammer giving the face of the hammer a very
2 individual, unique look to it because of those
3 random interactions from use.

4 Here's those bolt cutters again. Here's some
5 evidence of abuse. Maybe this bolt cutter was used
6 on a particular piece of metal that was too big for
7 it or on a piece of metal that is -- that is very
8 hard. So damage occurs to the blade in a very
9 random fashion from that abuse. And that damage
10 will then leave individual markings on any further
11 cuts that that bolt cutter makes.

12 So we talked about individual markings,
13 subclass characteristics and class characteristics.
14 Now let's bring that into the examination process.
15 First step is Level 1 analysis. Basically it's an
16 evaluation of the class characteristics. And what
17 I have shown here are two examples of elimination
18 based on class characteristics. In other words,
19 two items will be compared and, first and foremost,
20 their class characteristics will be compared. This
21 bullet is from a .45 auto. This bullet is from a
22 9mm. In other words, this bullet is a smaller
23 diameter than this one. There is no way possible
24 for this .45 auto bullet to have been fired in the
25 9mm pistol barrel, okay? Also, you see that this