

1 particular bullet has a left-hand twist and this
2 particular bullet has a right-hand twist. There's
3 no way possible that these two bullets could have
4 come from the same source. They're eliminated
5 right there at that moment based on class
6 characteristics. Yugo compared to a Cadillac.

7 THE COURT: I got it.

8 THE WITNESS: Two casings. Same idea, class
9 characteristics. This particular casing has an
10 elliptical type firing pin and it also has a
11 rectangular firing pin aperture flow back. That's
12 very typical of a Glock, for example.

13 This casing has a round firing pin impression,
14 right? Right off the bat, there is no way possible
15 that these two fired casings came from the same gun
16 because they have different class characteristics,
17 so definitely two sources you're dealing with.

18 So let's say that you actually had two casings
19 that both had rectangular firing pin aperture flow
20 back and had elliptical type firing pin. Let's say
21 you had two of them. Then you would move from a
22 Level 1 analysis to a Level 2 analysis. And then
23 this is where individualization would occur. All
24 the class characteristics are the same, and then
25 you will evaluate through a comparison microscope

1 the two items.

2 And this is a picture of a comparison
3 microscope. Basically, you have a left-hand stage
4 and a right-hand stage, on a comparison microscope.
5 All that it is really is two microscopes joined
6 together by an optical bridge so you can view those
7 two items under one field of view. And then you
8 can move between those two views with a hairline.

9 And what we have here is an example of an
10 identification based on breechface marks. These
11 breechface marks are not continuous across the
12 whole surface, they're not evenly spaced, and
13 therefore, can be used to make an identification.
14 But an examiner wouldn't just stop there. They
15 would also look at firing pin impressions, chamber
16 marks and other areas of casings.

17 So what are the range of conclusions that can
18 be rendered by a firearm and toolmark examiner?
19 They could make a conclusion of identification,
20 they could make a conclusion of inconclusive and
21 they could make a conclusion of elimination.

22 Let's start with identification. If the
23 quality and character of the toolmark has
24 sufficient detail, an identification can be
25 concluded based on the correspondence of those

1 individual characteristics.

2 Here are some examples of identifications based
3 on individual characteristics. These are examples.
4 This one is from a pair of two -- or a pair of
5 toolmarks. One is made from a tested bullet --
6 from a known bolt cutter, one might be an unknown,
7 and the markings are seen to match across this
8 hairline. These parallel markings do not -- are
9 not going across the full length of the working
10 surface and they are also not evenly spaced in
11 appearance, okay? So they can be used. These --
12 these two examples are from -- there's a right-hand
13 side and a left-hand side, so two different items
14 are being viewed here, are from a firing pin after
15 shearing from a Glock pistol that is seen on
16 casings that are fired from Glocks. And an
17 examiner could look at that shearing and use that
18 for identification. Again, the shearing does not
19 cover the entire working surface from one area to
20 another and it is not equally spaced in appearance,
21 so therefore, it can be used. And, again, the
22 examiner wouldn't stop there as far as firearms are
23 concerned. They would look at other areas as well.

24 Inconclusives. If the quality and character of
25 the toolmark are lacking, an examiner may not be

1 able to make an identification or elimination. In
2 this case, an inconclusive result would be the most
3 appropriate.

4 So, again, here we are looking at two items
5 under one field of view through a comparison
6 microscope. Here's the hairline. What we're
7 looking at are two bullets. And these two
8 bullets -- in particular, on these two bullets,
9 we're looking at land impressions. The width of
10 these land impressions are the same. Therefore,
11 they share the same class characteristics. So
12 that's why it moved up to this Level 2 analysis on
13 a comparison microscope. But there are no
14 individual characteristics seen on the surface of
15 these bullets. Therefore, the only conclusion that
16 could be reached is an inconclusive result. In
17 other words, these two bullets could have come from
18 the same gun, or they might not have come from the
19 same gun. It's inconclusive. They share the same
20 class characteristics, but there are no individual
21 characteristics to make an identification or an
22 elimination.

23 BY MR. BAKKEDahl:

24 Q. Let's just go past this one. I think we made the
25 point on that.

1 A. Okay. All right. So an elimination. If
2 significant disagreement in class characteristics exist, an
3 elimination conclusion would be the appropriate response.
4 If disagreement in individual characteristics of an
5 exceptional nature exists, an elimination conclusion may be
6 the appropriate response as well.

7 So here's an example of an elimination based on
8 class characteristics. Very clear, very different.

9 Here's an elimination from toolmarks based on
10 class characteristics as well. Here's the question mark,
11 here's the questioned tool. This mark has a -- this tool
12 has a big gap in it. So any toolmarks made with this tool
13 would also have this gap present. So there would be a
14 striated mark the length of this part and a striated mark
15 the length of that portion or that prong of this crowbar.
16 This toolmark is continuous; doesn't have any gap, and this
17 toolmark is longer in length than any one of these prongs.
18 Therefore, this toolmark could not possibly be made this
19 end of this crowbar.

20 Let's talk about some sufficient agreement that is
21 seen. What I have on the top of the screen here are two
22 examples of identifications made between two casings, and
23 I'm using Glock casings in this example. And I'm using
24 specifically the firing pin aperture shearing. Here's a
25 clear indication of individual characteristics matching.

1 You see the parallel lines going across. Again, you see
2 that here in this example.

3 You could also have a situation where all of the
4 class characteristics are the same, but the individual
5 characteristics are different, which would then lead to an
6 elimination result, and that is seen here. There is a lack
7 of sufficient agreement between these two. All right? You
8 could actually see that -- that they're not actually --
9 that the marks are not actually flowing into each other
10 very well.

11 So we talked about some -- some of the examination
12 procedures. Now let's talk about the basis for firearm and
13 toolmark identification. We'll look at standards of
14 identification, objective versus subjective examination and
15 what makes an identification possible, and last, but not
16 least, the significance of conclusions.

17 THE COURT: Hang on just one second,
18 Mr. Hernandez, before you get started.

19 Can I have you all approach real quickly?
20 Just bear with me one second, sir.

21 (Conference at the bench as follows:)

22 THE COURT: Do you know how much longer you're
23 going to be? I've got this jury coming back.

24 MR. BAKKED AHL: We've probably got an hour.

25 THE COURT: Okay. Do you know how long you

1 all's Cross-Examination is going to last?

2 MS. VROD: Between ten and 20 minutes, I would
3 say.

4 THE COURT: What is it you all suggest I tell
5 this jury?

6 Ms. Vrod?

7 MS. VROD: Well, my suggestion would be if --
8 how much longer is the Powerpoint?

9 MR. BAKKEDAHL: Well, I'm done when the
10 Powerpoint is over. It's probably about an hour.
11 I mean, this is --

12 MS. VROD: The Powerpoint continues through the
13 testimony?

14 MR. BAKKEDAHL: Yeah.

15 MS. VROD: Perhaps the Powerpoint, if it
16 doesn't need any accompanying testimony, the
17 Powerpoint could be presented to the Court and the
18 remaining testimony could being taken
19 telephonically after we've dealt with the jury.

20 THE COURT: We can't do that, Ms. Vrod. I have
21 this jury waiting on us.

22 MS. VROD: No, I understand that. But I'm
23 saying after we've dealt with the jury, not now.

24 THE COURT: What do you mean after we've dealt
25 with them?

1 MS. VROD: Well, if we go through the voir
2 dire, if we started to -- perhaps the remainder
3 could be taken at 5:00.

4 MR. BAKKEDAHL: I mean, look, it's
5 unfortunate --

6 THE COURT: I'm not going -- I'm not -- I am
7 not going to get in the position where this jury is
8 not sworn and I let people go and you all start
9 exercising peremptory challenges.

10 MS. VROD: No, I understand.

11 THE COURT: So we're going one way or another,
12 I just want to know how much more time this is
13 going to take.

14 MR. BAKKEDAHL: Well, I mean, isn't it --

15 THE COURT: This is the Defense's fault, not
16 the State.

17 MR. BAKKEDAHL: But isn't it worth the
18 inconvenience to the jury for an hour and 20
19 minutes to avoid any problem down the road? I
20 mean, it makes sense. Let's just get it done. I
21 mean, I'm -- you know, I'm moving him up a little
22 bit, but at the same time, I'm trying to lay a good
23 record. We're getting into the most important
24 aspect of the issue as we speak and, you know --

25 THE COURT: All right. But -- I understand,

1 but you haven't been here all week and we have.

2 MR. BAKKEDAHL: But I've been in your shoes. I
3 understand what the concern is.

4 THE COURT: All right. Okay. This should have
5 been done a long time ago.

6 All right. Present what you want to present,
7 Mr. Bakkedahl, but get to the point with it. I --
8 I got it. I know you want a record. I know this
9 isn't your fault, however -- I know this isn't the
10 State's fault, this is the Defense's fault, but
11 I've got 47 people that the more they stew down
12 there, the worse it's going to get for us as far as
13 selection and getting a fair and impartial jury
14 that's going to hear this case. Yeah, it's easy
15 for you all to say just make them come back at five
16 o'clock. Well, it's not as easy to say as -- easy
17 to do as you all say it is.

18 MR. BAKKEDAHL: You really think you're getting
19 a jury out of 47 people in a capital case?

20 THE COURT: Well, had I not had this problem, I
21 would have known by now.

22 MR. BAKKEDAHL: Well, you would have known by
23 now, but the fact is you're probably coming back
24 Monday anyway, so what's the difference?

25 THE COURT: No, I'm not. Not on jury

1 selection. We're going to stay here until midnight
2 if that's what it takes.

3 MR. BAKKEDAHL: Not if you run out of bodies,
4 you won't.

5 THE COURT: That's true. Well, what I could do
6 is finish the voir dire and finish this after we
7 get done with jury selection. You want to do that?

8 MR. BAKKEDAHL: No, I don't want to do that.

9 THE COURT: Okay.

10 MR. BAKKEDAHL: I'm just saying -- I mean, I
11 understand your frustration, but this -- it's --
12 unfortunately, a trial is a fluid process. They're
13 just not -- it's just the way it goes.

14 I will try to speed it up as best I can. I
15 don't want to cut the man off, though. That's my
16 concern. And if you give me just a second, I'll
17 talk to him and we'll pick it up little bit.

18 THE COURT: Okay. I'm going to tell them --

19 MR. BAKKEDAHL: You don't have to leave.

20 THE COURT: I'm not leaving.

21 I'm going to tell the bailiff to go down and
22 tell the jury to be patient.

23 MR. BAKKEDAHL: Okay.

24 THE COURT: Okay. Have a seat.

25 (Conference at bench concluded.)

1 THE COURT: Would you mind walking down and
2 telling the jury to be patient with us? We're
3 getting to them as quickly as we can. Or somebody.

4 THE BAILIFF: Do you want them to take a smoke
5 break or do something?

6 THE COURT: If they want to take a smoke break,
7 sure. Relax. We'll get with them as soon as
8 possible.

9 Okay. Let's press ahead, please.

10 BY MR. BAKKEDAHL:

11 Q. Okay. So we've moved into the identification
12 standard. And the significance of this, these two
13 standards is what? I mean, this is basically -- are you
14 all right?

15 A. Yeah, just -- oh, sorry.

16 Q. Okay. And the importance of the identification
17 standard I and II is what?

18 A. Is basically what firearm and toolmark examiners
19 use to make their -- their conclusions. Okay? So the
20 theory of identification as it pertains to the comparison
21 of toolmark enables opinions of common origin to be made
22 when unique -- when unique surface contours of two
23 toolmarks are in sufficient agreement.

24 Agreement is significant when it exceeds the best
25 agreement demonstrated between toolmarks, or in our case,

1 striations, known to have been produced by different tools,
2 and in our case, guns, and is consistent with the agreement
3 demonstrated by toolmarks, or striations, known to have
4 been produced by the same tool, or if you would, in our
5 case, guns.

6 Q. So when we talked about the scientific method
7 earlier on, this is going to be our theory?

8 A. Correct. This is standard, our theory of
9 identification. Okay?

10 And just to break it down really quickly, the
11 agreement is significant when it exceeds the best agreement
12 demonstrated between toolmarks known to have been produced
13 by different tools and is consistent with the agreement
14 demonstrated by toolmarks known to have been produced by
15 the same tool.

16 In other words, whenever agreement is concluded,
17 it's because these marks are in agreement, and these marks
18 are in agreement incident to that very random manufacturing
19 process that goes on.

20 And so by no means is this a low threshold when
21 considering all that uniqueness that is imparted incident
22 to manufacture.

23 Now, during training, a firearm and toolmark
24 examiner will gain appreciation for known matches and what
25 known matches look like to each other. When I went for

1 training, for example, I looked at hundreds of known
2 matches to each other to gain an appreciation for what an
3 identification looked like. Also, during my training, I
4 looked at known non-matches, ones that are known to have
5 been produced by different tools.

6 Q. And, again, this just enables you to develop an
7 eye to be able to recognize this like a Cadillac versus a
8 Yugo?

9 A. Right, but more in terms of the actual --

10 Q. Details, individual characteristics?

11 A. Individual characteristics.

12 Q. Right. I want to stop you there again because
13 it's important. Those -- those two theories are also
14 subject of attack, if you will, by the enemies of this
15 particular science on the grounds that it's purely
16 subjective; correct?

17 A. Right.

18 Q. Can you explain to the Court how that's not the
19 case?

20 A. Well, first of all, it isn't purely subjective an
21 opinion as you see here on this slide. A subjective
22 determination must be made by a qualified examiner as to
23 the amount of agreement necessary for an identification.

24 However, that determination is based on objective
25 examination of these toolmarks. Okay? And just because

1 there's a subjective determination, that doesn't render it
2 any less scientific.

3 Q. Okay.

4 A. There's subjectivity in many sciences. We see
5 subjectivity in the case of, say, a chemist trying to find
6 a baseline on a particular instrument or a doctor
7 diagnosing a head cold or a dentist who evaluates -- or a
8 dentist or radiologist who evaluates an x-ray for some sort
9 of damage, or a cavity, in the case of a dentist.

10 Q. Or, for instance, when we talk about in the legal
11 proceeding, an objection might be made by somebody, a
12 party, to relevancy and the Court has to make a ruling
13 based on a rule of law of relevance. The Court may
14 subsequently be reversed on that ruling by a subsequent
15 Court, but the Court arrives at its ruling based on a
16 subjective analysis of the fact, but objectively viewing it
17 under the rules of evidence; correct?

18 A. Yeah --

19 Q. Doesn't mean the rule is invalid?

20 A. -- that similarity can be drawn.

21 So subjective determinations are made based on,
22 say, the training and experience of the Judge or any other
23 entities.

24 Q. Okay. So does the subjective aspect of the
25 examiner's review of the evidence affect or otherwise

1 minimize the validity of their underlying objective
2 criteria or standards or methodology?

3 A. It does not, no.

4 Q. Okay.

5 A. So --

6 Q. And it --

7 A. So the standard has a subjective component based
8 on an objective examination. Firearm and toolmark
9 examiners will use the same type of equipment and follow
10 the same procedures to come up with their conclusions
11 and -- and if they do that, several or different firearm
12 and toolmark examiners will reach the same conclusions if
13 they follow the same protocols and use the same equipment.

14 And a subjective examination, and I kind of hinted
15 at this in our conversation a little while ago, the results
16 of a subjective examination are based on an individual's
17 opinion, but this does not mean that this type of
18 examination is unreliable or unscientific. There is
19 subjectivity in every science and in every test. And
20 there's some examples there that I already mentioned.

21 So what makes an identification possible? First
22 of all, proposition number 2. And just to recall what that
23 is, tools are individual as a result of manufacture.
24 Secondly, a sound examination method by employing the
25 precepts of empirical research or study in the comparison

1 of two toolmarks, a sound examination protocol is followed.
2 Also, training to develop the cognitive skills to view
3 patterns. An examiner undergoes standardized technical
4 training that develops cognitive skills to recognize,
5 differentiate and understand the pattern of marks and their
6 uniqueness.

7 Besides that, firearm and toolmark sections of the
8 laboratory work within the framework of the quality
9 assurance system. And we talked a little bit about
10 accreditation earlier. Accreditation actually views the
11 quality assurance system of a laboratory.

12 So, basically, a quality assurance system sets
13 forth essential elements of sound technical procedures.
14 They also provide competency testing for people who are
15 done with training and going into actually receiving
16 casework. They also provide proficiency testing along the
17 career of that particular examiner. They require specific
18 examination documentation like verification by another
19 trained examiner that would be noted somewhere in the case
20 files and also a description of the locations of the
21 identification. A technical and administrative review of a
22 case file will further ensure that examination results are
23 reliable. Audits are performed by entities such as
24 ASCLD/LAB, and both the Indian River Crime Laboratory and
25 the Miami-Dade Police Department Crime Laboratory are

1 accredited by this entity.

2 So what are the significance of these conclusions?

3 Based on proposition 1, examiners will rarely, if ever,
4 make a misidentification, and proposition 2, tools are
5 individual as a result of manufacture, an individual
6 association or identification conclusion can be effected.

7 These individual associations result from the practical
8 certainty of the validated theory and not from an absolute
9 certainty.

10 You can't say a particular casing was fired from a
11 particular gun to the exclusion of all others. You cannot
12 say that. But you can say that based on a reasonable
13 degree of scientific certainty that it is.

14 There is no way to be absolutely certain of any
15 identification without comparing a particular set of marks
16 to marks created by every firearm produced since the
17 invention of the modern day firearm. Such an endeavor is
18 completely impossible. So --

19 Q. Okay. Let me stop you there. I don't mean to get
20 outside your area, but, you know, one of the things in the
21 report, in the NAS report, it kept talking about DNA as the
22 gold standard, DNA is the gold standard. And my
23 understanding is even in the area of DNA, the experts do
24 not come in and say, it is the person to the exclusion of
25 everyone else in the world, but they say to a reasonable

1 degree of scientific certainty, or something to that
2 effect; correct?

3 A. Correct. They will give a probability. Or if the
4 probability is very high, they'll give an indication, but
5 there won't ever be ever absolute certainty. No science
6 will have absolute certainty.

7 Q. Okay. So then, again, moving, then, forward, when
8 we talk about the allegations levied by the enemies of the
9 science, they -- one of -- another one of their complaints
10 relates to quantifiably or basically putting a number on it
11 or somehow trying to statistically establish the likelihood
12 of a match, more in line with the DNA statistics and so
13 forth; correct?

14 A. Right.

15 Q. Okay. And that -- go ahead and let's talk about
16 that as --

17 A. Right. That cannot be done in firearm and
18 toolmark examination because there's not those set
19 variables that's seen in, say, DNA. You can't ascribe that
20 same type of equation, if you will, to a toolmark.

21 However, you can ascribe this practical certainty
22 in -- in very rudimentary statistical terms, and that's
23 what I'm doing in these next couple of slides.

24 So how individual are these striae that we talked
25 about. All right? So let's use a simplified approach to

1 obtain an idea of the probabilities of land impressions on
2 bullets from different weapons. Now, this is taken from a
3 textbook on the subject of firearm and toolmark examination
4 written by a gentleman by the name Brian Heard.

5 In his textbook, he draws an analogy of randomly
6 filling in a number of boxes so that the analogy can then
7 be translated into matching striations and land
8 impressions, and there will be three conditions. All
9 right?

10 The -- first of all, there are 20 boxes, ten of
11 which will be randomly filled. Secondly, each of the
12 filled boxes can be heavily shaded or lightly shaded. And
13 thirdly, each of the filled boxes can either have an X or a
14 Y. And I'll bring this all back into -- into striations on
15 bullets at the end of the series of slides, but this is
16 just drawing a very conservative view to some of the striae
17 individuality.

18 Okay. That said, in your mind's eye, imagine that
19 I pass out a page with 20 empty boxes to you and maybe a
20 page of 20 empty boxes to somebody else in the courtroom
21 and I asked them to randomly fill in ten of those boxes.
22 What's the probability that when you hand me back your
23 paper that you will have the same exact 20 boxes shaded in;
24 all right? And that's given by this equation here. Now,
25 this equation, you don't need a degree in statistics to --

1 to know how to use it. This equation is first proposed
2 as -- in Algebra II in high school. All right? All it has
3 is a couple of variables and it has a mathematical
4 convention called the factorial. Not anything that you
5 would need a statistical degree to really talk about. So,
6 basically, C stands for the probability of would two people
7 or two randomly matches for that, or for that occurrence to
8 happen a second time randomly. And in my scenario, I have
9 20 boxes, which is equal to the M, N is the number of
10 filled boxes I asked the two individuals to fill in ten
11 randomly, and the factorial with an exclamation point means
12 that number times one lower than it and times one lower
13 than it, so on and so forth.

14 So using that equation, the chance of two
15 individuals, if I gave them 20 empty boxes apiece and asked
16 them to fill in ten randomly, the chance of them having the
17 same 20 boxes filled in in the same location is one in
18 184,756. All right? I mean, that's what that would look
19 like. Two -- two of the same set of boxes with the same
20 exact ten boxes filled in.

21 Now, let's take this a step even further. I ask
22 the same two individuals, I give them both empty 20 boxes,
23 please fill in the -- please shade in ten, but shade in ten
24 lightly shaded and shade in -- I mean, shade in some
25 lightly shaded and shade in some darkly shaded. What's the

1 probability that you two, or the two individuals that I
2 gave the 20 boxes to, will have the same exact lightly and
3 darkly shaded boxes in the same position? So you take the
4 probability that you established already with proposition
5 or condition 1 and you will multiply it by two to the tenth
6 power. Why? Because ten -- ten boxes at the tenth power,
7 and then there's two possibilities in those boxes; there's
8 lightly shaded or darkly shaded, two to the tenth power,
9 which is equal to 1,024. So we already established that
10 the location of the boxes was one in 184,756, multiply that
11 by 1,024, and you have a chance in one in 189,190,144 that
12 two individuals will have the exact same lightly and darkly
13 shaded boxes. That's what that would look like.

14 So now let's add condition number 3. I ask the
15 same two individuals, lightly and darkly shaded, to do ten
16 boxes and add an X or a Y in there, in those lightly and
17 darkly shaded boxes. Again, there's two types or two
18 different things that could happen in those boxes, either
19 an X or Y. So, again, two to the tenth power. You take
20 the probability from condition 2, which was 189,190,144,
21 multiple it by two to the tenth power, which is 1,024, and
22 you have a chance in 193,730,707,456 that those -- that two
23 individuals will randomly pick the exact same boxes, have
24 the same amount -- same shading in those boxes and have an
25 X or Y.

1 Now let's bring this simplified statistical
2 example into the context of a bullet. We have a single
3 land impression on a bullet which we divide into 20
4 longitudinal sections, and in ten of those longitudinal
5 sections are randomly placed ten striations. The chance of
6 two land impressions on bullets from different weapons
7 accidentally matching based on that first condition is one
8 in 184,756. Now, if each of these striations can have one
9 of two profiles, a pointed shape or a square shape, then
10 the chance of two land impressions from different weapons
11 accidentally matching under these criteria turns into one
12 in 189,190,144. And if you add the third convention where
13 striations can be one of two widths, either thick or thin,
14 you have a chance in one in 193,730,707,456 of those
15 striations occurring randomly in a second gun. Okay?

16 And then this is an example of striae markings
17 from one land impression, and then I juxtapose that next to
18 the boxes that we were using as an example. And there's
19 the close up of that. So this is a conservative example.

20 In reality, there will not just be 20 possible
21 positions for striations in the land impression, but there
22 will be tens to hundreds of positions for striations.
23 There will not just be ten striations, but once again, tens
24 to hundreds, the number only being limited by the resolving
25 power of microscope. There will not just be two profiles,

1 there will be tens of possibilities. And there won't just
2 be two types of widths, thick or thin, there will be many
3 levels in between that. So there would be also tens of
4 possibilities there. And so in reality, our example would
5 actually only be a small section of that land impression.
6 So just taking the one land impression alone, the number
7 becomes incredibly vast. If this is extended to the
8 possibility of finding two bullets where all the land
9 impressions match, now, again, bullets will not just have
10 one land impression, they could have five land impressions,
11 they could have six land impressions, eight land
12 impressions. If this is extended to the possibility of
13 finding two bullets where all the land impressions match,
14 then the number must be infinitely large and reach a state
15 where it is a practical impossibility that two bullets from
16 different weapons will have the same pattern of striations.
17 So practical impossibility I think in these last couple of
18 slides is demonstrated well.

19 Q. And not to put you on the spot for your math
20 acumen, but assume, as in this case, we have a bullet which
21 Mr. Chapman has identified as having been fired from the
22 weapon, but we also have a shell casing which has been
23 identified as having fired from this weapon, would that
24 math -- would that increase that number even more so?

25 A. Yes, it eventually could, although you would have

1 to somehow apply those mathematics to the casings as well.

2 Q. Okay. So the --

3 A. But for more -- once you add more toolmark
4 impressions, that number becomes increasingly bigger and
5 going towards that practical impossibility.

6 Q. Right. These numbers that we were talking about
7 are, quite frankly, ridiculous. They're numbers that don't
8 even exist in the real world, you're starting to talk
9 about?

10 A. Right. For example, there's not that many guns in
11 the world. There's not 193,000,000,000 guns in the world.

12 Q. Yet, but despite that, the standard opinion
13 rendered by an examiner in the field is this opinion,
14 practical impossibility?

15 A. Yeah, the practical impossibility that two bullets
16 from different weapons will have the same pattern of
17 striations. Also, a reasonable degree of scientific
18 certainty. This is the reasonable degree.

19 Q. Right.

20 A. It's -- the probabilities are very high.

21 Q. Okay. Let's move in, then, to the admissibility
22 and conclude.

23 A. Okay. So last, but not least, the last sort of
24 thrust of my presentation where I'll talk about the
25 testability of the science of firearm and toolmark

1 identification, talk about the general acceptance of the
2 firearm and toolmark identification, peer review, known or
3 potential error rates that are known -- that are out there
4 and maintenance of standards and controls.

5 Q. And basically what you're doing here is applying
6 the Daubert Frye test to the field --

7 A. Correct.

8 Q. -- to the science?

9 A. Yes. And going by each of those prongs and -- and
10 showing that firearm and toolmark identification does
11 fulfill them.

12 So the first definition, testability is a critical
13 evaluation process that supports or refutes a hypothesis.
14 What evidence exists to support the science of firearm and
15 toolmark identification? There are numerous empirical and
16 validation studies of consecutively manufactured tools that
17 have been published over the past 50-plus years.

18 Why consecutively manufactured tools, you might
19 ask. Why is the focus on them? Because that is the
20 situation where if there was subclass carryover or if there
21 was a potential for individual markings to be the same,
22 those would be in those situations where a tool -- a work
23 piece, let's say a slide that has a breechface mark, is
24 made consecutively next to another one with the same
25 tooling, the same variables, are the same. All the same

1 tooling is there, and then the markings will be made
2 consecutively on different items. So an evaluation of
3 consecutively made pieces of a firearm is important because
4 it evaluates -- it looks at the scenario where there is a
5 most potential for some sort of a carryover.

6 Q. And I'll stop you there just to ask you, in the
7 history of the science, has there ever been a case where
8 two known firearms, if you will, have produced identical --
9 two known independent firearms have produced identical
10 bullet casings, what have you? Has that ever happened?

11 A. It has not happened.

12 Q. Okay. Now, as it relates to this issue, where we
13 talked about publications, this is actually the area in
14 which you assisted in a publication; correct?

15 A. That is correct.

16 Q. Okay. Just very briefly describe for the Court
17 how you all performed that.

18 A. Okay. We applied for a federal grant. We
19 received the money to perform our evaluation of
20 consecutively made Ruger breechfaces. Okay? Basically, we
21 contacted the manufacturer, had them produce ten
22 consecutively made slides and confirmed through a letter
23 that the final broaching operations of that breechface was
24 done in a consecutive manner. And also gave us other
25 manufacturing information about that.

1 We received those slides in our laboratory and
2 then we made tests, lots of tests. The idea of our
3 research project was to send test materials to every
4 firearm and toolmark examiner that -- that is currently
5 working in the United States. And we used the AFTE
6 membership to -- to ask them to volunteer to take this.

7 In the end, I'll fast forward a little bit, after
8 we sent out our tests, and the tests were basically made up
9 of 15 unknown casings, and then ten sets of known casings,
10 one from each of the slides, okay, and the examiner was
11 asked to evaluate the knowns to the -- to the unknowns and
12 then fill out an answer sheet and then send that back in.
13 It was blind in the fact that they had no idea, or no one
14 in that particular laboratory had any idea what the correct
15 answer is.

16 After a period where we sent these out and
17 examiners performed this test, we then took the answer
18 sheets and then compiled them. In the end, we had 217
19 participants and we generated an error rate from that, and
20 I'll cover that in a later slide. But that was the gist of
21 my validation study or a validation study that I was a
22 co-author on and how that was performed. And --

23 Q. And it proved what?

24 A. And it showed that even when slides are made
25 consecutively, trained firearm and toolmark examiners can

1 identify these casings back to a particular slide. The
2 reason why we concluded that is because we had a very low
3 error rate.

4 Q. And this consecutive manufacturing study is not
5 the only one that's ever been conducted; correct?

6 A. Right. I have a listing of several of them.

7 Q. Go ahead and just rattle them off.

8 A. Okay. So we'll talk about these studies, or at
9 least mention them. So there have been several consecutive
10 manufacturing studies, and one type was with cut rifling in
11 gun barrels. Lutz with their cut rifling consecutively
12 made barrels in 1970, Skolrood in '75, Brown & Bryant in
13 '95, Brundage in '98, Miller in 2000, Hamby in 2009.

14 There was also consecutive manufacture studies for
15 forged rifling or rifling that has been, instead of a gang
16 broach, that has been used to impart rifling, a button
17 process, perhaps, where a button is put through the barrel,
18 a barrel that is slightly smaller than the button and then
19 the rifling is imparted in that way. Murdock looked at
20 consecutively made button rifles in '81, Hall in '83, Matty
21 in '85.

22 And then DeFrance looked at electrochemical
23 rifling in 2003. Electrochemical rifling is used by a few
24 manufacturers, Smith & Wesson being one of them to impart
25 rifling. Buttoning, button rifling and cut rifling are

1 more typical.

2 Also, those were just on barrels. In other words,
3 on -- on the evaluation of bullets that are fired through
4 these consecutively manufactured barrels. There have also
5 been several studies looking at other firearms' components,
6 i.e., Matty looked at Raven breechfaces in '84, Bunch &
7 Murphy looked at Glock breechfaces in 2003, Coffman looked
8 at Remington bolt faces in 2003, Lyons looked at Caspian
9 extractors in 2009, Fadul looked at Glock EBIS barrels,
10 which is a particular type of barrel used for only law
11 enforcement in 2011, and then Stoiloff, Fadul, Hernandez
12 and Gulati looked at Ruger breechfaces in 2011. Hernandez,
13 that's -- that's me.

14 There also have been consecutive manufacture
15 studies on tools, all right? Chisels were done by Flynn in
16 '57, screwdrivers by Burd & Gilmore in '68, Butcher & Pugh
17 bolt -- looked at bolt cutters in '75, Reitz looked at
18 drill bits in 1975, Watson looked at knives in '78, Cassidy
19 looked at pliers in '80, Taira looked at knives in '82, Van
20 Dijk looked at steel stamps in '85, Eckerman looked at
21 chisels in 2002, Thompson & Wyant looked at knives in 2003
22 and Clow looked at actually the same set of knives that
23 Thompson & Wyant evaluated in 2005.

24 And basically, all of these consecutive
25 manufacture studies, it was -- these tests were made with

1 known and unknowns and examiners would perform these tests
2 and it was seen that even though these items were
3 consecutively manufactured, they were still making
4 identifiable marks to -- to the particular tool. And
5 because --

6 Q. And that's because the concern is that a
7 consecutively manufactured tool is the most likely tool to
8 create similar points of identification?

9 A. That is correct.

10 Q. Okay.

11 A. And --

12 Q. And you --

13 A. -- even in this consecutive scenario, they -- it
14 was found that there was very lower error rates and -- and
15 showing that examiners can, indeed, make identifications to
16 consecutively manufactured items.

17 Q. Okay.

18 A. Now, these studies were specific to parts of the
19 firearm. So, they would only be strictly for the
20 breechface or strictly for the extractor, strictly for the
21 firing pin or strictly for the barrel. All right? And
22 then same thing with these tools.

23 So, a summary of the empirical research. These
24 studies have been found to support proposition 2. And I'll
25 summarize proposition 2, that incident to manufacture,

1 different working surfaces will have an identifiable mark,
2 and that is because of chip formation and the slow wear of
3 the tool that's making that impression in that interaction
4 of that tool with those chips in -- in a rapid motion.

5 There is general acceptance in firearm and
6 toolmark identification. All right? What is general
7 acceptance? It's approval by a particular authoritative
8 body of a technique or methodology. In addition to the
9 forensic science community, numerous colleges and
10 universities have courses in firearm and toolmark
11 identification. Funding of scientific research in the area
12 of firearm and toolmark identification has been granted to
13 researchers outside the firearm and toolmark community, in
14 the fields of academia, for example, and firearm and
15 toolmark identification has been accepted in court
16 testimony for almost 90 years.

17 Here's a listing of schools that have a component
18 of firearm and toolmark criteria.

19 Here are grant programs that issue monies for
20 research in the field of firearm and toolmark
21 identification, within the science of firearm and toolmark
22 identification. There's the National Institute of Justice,
23 AGIS in Brussels, the Canadian Police Research Centre.

24 The earliest firearm and toolmark cases where
25 there was side-by-side microscopic comparison. There's the

1 Stielow case in 1917, the Sacco-Vanzetti case in 1921,
2 State v. Clark case in 1930 related to toolmarks and
3 showing that this science has been used in court for the
4 past 90 years.

5 And our science is also peer reviewed and there's
6 a publication process. There is an evaluation of -- well,
7 the definition of peer review and publications is the
8 evaluation of a colleague's research. And there are
9 selected peer reviewed journals where research of firearm
10 and toolmark examinations are published. One of these
11 journals is the Association of Firearm & Toolmark
12 Examiners, AFTE Journal, it's been around since 1969. It's
13 a quarterly journal that -- that publishes research and
14 papers and -- and validation studies in the field. There's
15 the American Academy of Forensic Sciences, and they've
16 published the Journal of Forensics Sciences since 19 --
17 since circa 1942. There's also the International
18 Association For Identification. They publish the Journal
19 of Forensic Identification. It's been around since 1988.

20 Q. Let's just --

21 A. Each of these peer reviewed articles -- go ahead.

22 Q. We've got articles marked for identification. Are
23 some of these articles that have been published in these
24 journals and have been peer reviewed?

25 A. Correct, they are.

1 Q. All right. Go ahead.

2 A. Okay. Now, let's move into error rates. Error
3 rate is the frequency at which one deviates from a correct
4 standard. Errors can occur from a number of sources and
5 may result in a false positive error or a false negative
6 error. Another name for a false positive is false
7 inclusion and another name for a false negative is a false
8 exclusion.

9 These are the error rates or listing of error
10 rates from some of these validation studies. Brundage in
11 '98 reported no errors from his validation study. Hamby &
12 Thorpe in 2001 also reported no errors. Bunch & Murphy in
13 2003 also reported no errors. Thompson & Wyant under knife
14 study reported a 0.78 percent error. Smith in 2005
15 reported no errors. Orench in 2005 reported no errors.
16 Hamby, Brundage & Thorpe reported an error rate of
17 0.0712 percent. Lyons in 2009 reported 1.2 percent error
18 rate on extractors. And Fadul in 2011 reported a 0.4
19 percent error rate on his EBIS barrel study. Stoiloff,
20 Fadul, Hernandez, that's me, and Gulati reported a 0.0636
21 percent error on their breechface study on the pistols.

22 Q. Were those error rates broken down to
23 differentiate between false positive and false negative?

24 A. No, these were misidentification. That's what
25 these error rates show.

1 Q. Okay.

2 A. At least -- I know that for a fact in my case.

3 Q. Okay.

4 A. I really don't know for a fact in every other
5 case.

6 Q. Okay.

7 A. But I know it's a general convention that
8 misidentifications would be an error. But also a false
9 exclusion could be, too.

10 Q. Right.

11 A. I don't know what the others did..

12 So, I talked about the validation studies. These
13 validation studies have been taken by trained firearm and
14 toolmark examiners.

15 There's also an entity called Collaborative
16 Testing Service, CTS. All right? They're a company that
17 makes proficiency tests and sells them to, say, a crime
18 laboratory so that they can give a proficiency test to an
19 examiner and then that examiner performs his test. They
20 give the results into the quality system and that result is
21 then given to CTS and then they will evaluate for correct
22 responses.

23 CTS will sell their test to anyone. They'll sell
24 them to crime laboratories, they'll sell them to lawyers,
25 they'll sell them to academia, and different entities will

1 use these for different reasons. All right?

2 So, what I'm trying to show is that these errors
3 are -- are slightly skewed higher as a result of -- of
4 non-trained examiners only using them.

5 And I would also like to mention that CTS, or the
6 Collaborative Testing Services has issued a memorandum, if
7 you will, explaining that their error rates cannot be used
8 to -- to evaluate the error rate of any one particular
9 field. They use error rates for -- I mean -- I mean, they
10 make tests for trace, they make tests for DNA, they make
11 tests for firearm and toolmark identification. But these
12 error rates are from our particular field and anyone could
13 have taken them, and CTS has also said you can't use them.

14 Q. So why did you put it in here?

15 A. I just put it in here to show that -- like some
16 detractors, if you will, of the field have mentioned these.
17 But anyone can take these, just so you know, and so there's
18 a skewed error that's higher.

19 Q. So why --

20 A. Whereas, studies are near one percent or less than
21 one percent or no errors reported at all. These are
22 skewed --

23 Q. If I ordered a kit from CTS, took the test, send
24 in the results, you bombed it on every level, that would be
25 included in error rates?

1 A. That would -- that is correct.

2 Q. Okay. But I think I can get the class
3 characteristics done, so I don't have to worry about it.

4 All right, go ahead.

5 A. Besides, the presentation teaches that.

6 So in summary, firearm and toolmark identification
7 meets the reliability standard put forth by the Frye
8 standard because it is generally accepted, it is testable,
9 it is peer reviewed, has known error rates and it does
10 maintain standards and controls. Oh, I skipped my
11 standards and controls. I'm sorry.

12 The standards and controls is the establishment
13 and maintenance of operational guidelines and protocols for
14 conducting analytical testing and monitoring quality
15 assurance and controls.

16 We've touched upon this with the ASCLD/LAB, but
17 these are representative documents that serve as the
18 standards and controls for the science of firearm and
19 toolmark examination. There is agency technical protocols,
20 so Indian River and Miami-Dade will have SOPs that will
21 dictate the protocols that an examiner will undergo when
22 doing an examination and he'll follow those. There's a
23 scientific working group for firearm and toolmark
24 identification called SWGGUN. There's also several AFTE
25 guidelines and standards that are out there. One is a

1 Technical Procedures Manual, Theory of Identification,
2 Glossary and the AFTE Training Manual, there's an ASCLD/LAB
3 Criteria Manual and also the ISO 17025 standard that is
4 used in ASCLD/LAB International for conformance of the
5 protocols that's used by laboratories.

6 Q. Okay. And this is just, again, a summary --

7 A. A regurgitation of the NAS report bringing it back
8 full circle. At no time does this report say that the
9 science is invalid. It gives recommendations to perform
10 more research, for laboratories to pursue accreditation and
11 for individual examiners to seek certification. And --

12 Q. And, again, just for the record, there is no
13 question that the science that is firearm and toolmark
14 examination is generally accepted within the firearm and
15 toolmark examination community?

16 A. Right, there is no question.

17 MR. BAKKEDAHL: Finally, Judge, just for the
18 record, I would offer into evidence State's
19 Exhibit 1, which is comprised of the majority of
20 the studies of -- that the witness referred to.
21 It's a composite of 52 individual validation
22 studies conducted in the area of firearm and
23 toolmark examinations. Again, this is for the
24 record.

25 And then a compilation in State's Exhibit 2 of

1 128 articles plus 11 books written in the area of
2 firearm and toolmarks examination, basically
3 comprising the history of --

4 THE COURT: Does the Defense have any objection
5 to those being admitted for purposes of this
6 hearing?

7 MS. VROD: Judge, I'm sorry, I will object,
8 though. And I would just like to note for the
9 record that we've received absolutely no copies of
10 what is intended to be introduced into evidence by
11 the State.

12 And also, if we are going to conduct this
13 according to the evidentiary rules that the State
14 is suggesting, that these scientific articles would
15 not be admissible. They would only be usable on
16 Cross-Examination of an expert and would not be
17 admissible to bolster his opinion on Direct
18 Examination. So I think what's good for the goose
19 is good for the gander.

20 MR. BAKKEDAHL: I think --

21 THE COURT: Ms. Vrod -- okay, Mr. Bakkedahl,
22 real quickly and I'll make a decision.

23 MR. BAKKEDAHL: I think the witness has
24 indicated that he relied upon these articles,
25 periodicals, books, summaries and so forth in

1 A. That is correct, Masters of Science in forensic
2 science in 2004.

3 Q. And that was from John Jay; is that correct?

4 A. That is correct.

5 Q. Okay. And you also taught there from, what was
6 it, 2002 to 2005 as an adjunct professor?

7 A. That is correct.

8 Q. And in the course of time that you were either a
9 student or an adjunct professor at that school, or actually
10 they overlap, are you familiar with Adina Schwartz?

11 A. I did not know her at the time that I was in
12 school, but later on I read some of her affidavits.

13 Q. Okay. And who is Adina Schwartz?

14 A. Again, I don't know exactly, but she apparently
15 works at John Jay, but not in the science department
16 where -- where I was a student.

17 Q. Okay. And she works at John Jay in that she's a
18 professor there, a full professor; is that correct?

19 A. She's a professor, but not in the science
20 department.

21 Q. Okay. Do you know what department she's in from
22 your reading any of her affidavits?

23 A. Something that I'm -- honestly, I really don't
24 know, but I know it -- it's one of the, maybe, criminal
25 justice departments, but that's just a guess.

1 Q. Okay. The affidavits of her that you've read have
2 been accepted in court; is that correct? Given her
3 expertise in a certain area; is that correct?

4 A. It depends on what you mean by "expertise."

5 Q. Well, that's what I'm asking you.

6 Which affidavits of hers have you read?

7 A. I don't know the names off the top of my head.

8 Q. Okay. And you haven't brought them with you?

9 A. I don't have them here, no.

10 Q. And when -- these affidavits, from your
11 recollection, they purported to be affidavits of an expert
12 in what expertise?

13 A. If I remember correctly, Adina Schwartz mentions
14 that she is an expert in the -- in the review of literature
15 in firearm and toolmark identification. However, she is
16 not a firearm and toolmark examiner.

17 Q. Okay. Applicable of that, you said that you've
18 testified ten times previously; is that correct?

19 A. That is correct.

20 Q. And that's all been in Miami-Dade State Court; is
21 that correct?

22 A. That is correct. Oh, and once in federal court.

23 Q. And the time that you were in federal court and
24 the nine times in State Court; am I correct?

25 A. That is correct.

1 Q. Were they -- were you employed by the Miami-Dade
2 Police Department?

3 A. Yes.

4 Q. And all of those ten times, you were testifying as
5 an expert for the State?

6 A. In those ten times, yes.

7 Q. And did you ever testify at any hearing that was
8 denominated as either a Frye hearing or a Daubert hearing?

9 A. No.

10 Q. So you've never been called upon to testify as an
11 expert in the science per se; correct?

12 A. Well, as a -- I'm not sure how to answer that. As
13 a -- as a firearm and toolmark examiner, when -- when I'm
14 called as an expert witness, it's because of the science
15 that -- that I have, and I'll describe that to the Court,
16 to the jury and I'll hit upon many elements of today's
17 presentation upon the talk of whatever analysis was -- was
18 done and whatever conclusions were rendered.

19 Q. Okay.

20 A. But as far as the Frye hearing, this is my first
21 Frye hearing.

22 Q. Now, as far as the Powerpoint that you presented
23 to the Court today, was that prepared for court today or
24 have you presented that previously?

25 A. I -- I prepared that for this Frye hearing. And

1 for -- and it could -- has many applications. I mean, I
2 could use that for teaching and for any trainees that come
3 to our laboratory now, but it did not exist prior to this
4 court hearing.

5 Q. Okay. And was it ever peer reviewed?

6 A. It was peer reviewed by fellow firearm and
7 toolmark examiners that work in my laboratory.

8 Q. Okay.

9 A. Oh, and also the Indian River Crime Laboratory.

10 Q. So you came up --

11 A. And -- I'm sorry.

12 Q. No, no, no, finish your statement.

13 A. Yes. I just wanted to point out that this
14 presentation is available on the SWGGUN site. Now, you
15 could add to that presentation, and I have, but I didn't
16 really take anything away from it.

17 So by it being peer reviewed, there's definitely a
18 majority of my presentation that has been peer reviewed by
19 hundreds of firearm and toolmark examiners that have viewed
20 the SWGGUN site and opened up this presentation.

21 Q. Well, let me ask you this: What is a peer
22 reviewed article in the Journal?

23 A. It means that it is a review of work by a
24 colleague.

25 Q. Okay. And that's prepublication; is that correct?

1 A. It could be prepublication, but it could also be
2 post publication.

3 Q. Okay.

4 A. Whereas --

5 Q. Wait, wait, wait, wait.

6 A. Okay.

7 Q. You're not meaning to suggest that by your
8 uploading this Powerpoint into the SWGGUN site that, that
9 it's by any means peer reviewed in a sense that you said
10 that something is a peer reviewed article in a journal, are
11 you?

12 A. My particular Powerpoint or the Powerpoint that
13 already existed?

14 MR. BAKKEDAHL: Judge, I'm going to object --
15 BY MS. VROD:

16 Q. No, I mean --

17 MR. BAKKEDAHL: Excuse me. I'm going to object
18 because she asked him was it peer reviewed. He
19 didn't offer that. I mean, she asked him. He's
20 simply saying in a technical sense, yes.

21 THE COURT: What's your objection? Tell me
22 your objection.

23 MR. BAKKEDAHL: Her -- the objection is that
24 the questions are misleading and irrelevant.

25 THE COURT: I'll overrule the objection.

1 Go ahead and finish your answer, please.

2 Now, let me just tell you all something. If
3 this isn't going to get done timely, then we're
4 going to finish the selection and then we're going
5 to finish this afterwards.

6 Okay. Go ahead.

7 THE WITNESS: Could you please repeat the
8 question?

9 BY MS. VROD:

10 Q. Okay. Let me break it down into parts.

11 A. Sure.

12 Q. Okay. When you were -- when we in -- when
13 forensic scientists, or any sort of scientists, speak or
14 any sorts of experts in any field speak about a peer
15 reviewed article in a journal, that's an article that is
16 submitted to peer review and then the peer review is taken
17 into consideration for editing, changes, amendments in the
18 article prior to it being published as a peer reviewed
19 article; is that fair to say?

20 A. Right, that is fair to say.

21 Q. Okay.

22 A. But --

23 Q. Now --

24 A. -- peer review doesn't just end there. Peer
25 review is a --

1 Q. It's a continuing --

2 A. -- an evolving thing, as any good science will
3 have. And after it's published, if there's any
4 scientist -- that now it's opened up to a broader audience,
5 there could be findings that could make that study better
6 or -- or refute certain things. And so peer review is a
7 continuous, evolving thing.

8 But what you're saying, right, prior to
9 publication, there is a peer review.

10 Q. Okay. Now, let's talk about -- I believe that you
11 talked about, and correct me if I'm wrong, you got a single
12 grant or two grants?

13 A. Two. But --

14 Q. And what --

15 A. -- let me go back to the peer review of the
16 slides. The slides were downloaded from the SWGGUN site,
17 but SWGGUN is made up of several firearm and toolmark
18 examiners. I believe it's approximately 15 of them. And
19 they -- they made this, and it's -- so the one that exists
20 was peer reviewed prior to me taking it and adding to it.

21 Q. Okay. So you basically took preexisting
22 Powerpoints, compiled them with your a -- with -- from
23 SWGGUN, compiled them with your additions into the
24 Powerpoint that you showed us today?

25 A. One Powerpoint, the one from SWGGUN, and then I

1 made some additions.

2 Q. Okay. Fair enough.

3 Now, as far as the two grants that you were given,
4 one had to do with the study, the validation study that you
5 were talking about; right?

6 A. Correct, the Ruger slides.

7 Q. Okay. And the second grant was with reference
8 to -- was actually spawned by the -- the publication the
9 NAS report; correct?

10 A. No. Both of them were -- were the same --
11 essentially the same grant, solicitation came out and we
12 applied two research papers into this one solicitation and
13 we were awarded two awards. One was for the Ruger study,
14 the second one was to evaluate ten consecutively made Glock
15 barrels.

16 Q. Okay.

17 A. And so that's the second one. So both, in effect,
18 were as a result of NAS.

19 Q. Okay. That's what I'm driving at, okay?

20 So when we're talking about, and you heard the
21 State has no objection to us putting in the NAS report on
22 strengthening forensic science, the path forward into the
23 evidence, do you agree that that report is authoritative?

24 A. Authoritative not in the sense of what they were
25 tasked to do. They were tasked to look at firearm and

1 toolmark examination -- or not just firearm and toolmark
2 exam. They were tasked to look at all forensic sciences
3 and give a clear path on how to improve forensic science.

4 Q. Okay.

5 A. All right? So -- and their charge wasn't really
6 completed at all. They give recommendations, but then they
7 don't give any way to really fix those things.

8 Q. Okay. But the recommendations gave rise to your
9 getting funding for continuing research to better the field
10 that you're in; is that correct?

11 A. It's my understanding that because of that report,
12 monies did become available for further research.

13 Q. Okay. Now, as far as the -- the Association of
14 Firearm & Toolmark Examiners, AFTE, the acronym, you said
15 you're a member there; is that correct?

16 A. That is correct.

17 Q. Okay. Now, what is necessary in order to become a
18 member of AFTE?

19 A. Okay. You have to fill out an application. You
20 need to get a letter of recommendation from three examiners
21 or three members that are currently --

22 Q. Three current members that are --

23 A. Three current members that are in good standing,
24 and you have to derive the majority of your salary from
25 being a firearm and toolmark examiner.

1 Q. Okay.

2 A. And you could also be a technical advisor if you
3 don't derive your full salary from being -- from firearm
4 and toolmark examination, you could become a technical
5 advisor, as well. There's different avenues to membership.

6 Q. Okay. And is there any requirement of any sort of
7 college diploma?

8 A. At this time, I'm -- I'm not a hundred percent
9 sure if that is now part of -- of the criteria, but I
10 know -- I can't say if it is or isn't, but I think it is.

11 Q. Okay. But you're not sure?

12 A. I'm not sure.

13 Q. Okay.

14 A. During --

15 Q. When did you become a member of AFTE?

16 A. I became a member, let's see, I think it was 2006.

17 Q. And at the time that you applied in 2006, was a
18 high school diploma a requirement, or a college diploma?

19 A. I'm not sure if a college diploma was a
20 requirement then, or even is now.

21 Q. Okay. Now, you also said that you were certified
22 by AFTE; is that correct?

23 A. Correct, in the area of firearm and toolmark
24 examination.

25 Q. Okay. So that's --

1 A. Right.

2 Q. So that's something all members are not certified;
3 is that correct?

4 A. Correct. It's a voluntary program that you
5 volunteer to take the certification test or sit for the
6 certification test, and then later, upon successful
7 completion of that test, a practical exam.

8 Q. And the certification test involves you looking at
9 some known exemplars? It's a practical exam; is that
10 correct?

11 A. Well, first it's a written exam with --

12 Q. I'm concerned -- if you could -- I understand that
13 it's partially written and partially practical. Can
14 you just --

15 A. Correct. You first have to pass the written exam
16 and then the practical exam is you're given a set of
17 unknowns and knowns and asked to evaluate them.

18 Q. And what's the pass rate?

19 Let me rephrase that. How many do you need to get
20 correct of however of the set of what you're given in order
21 to pass the exam and --

22 A. You need to get all of them correct.

23 Q. You need to get every single one correct?

24 A. Yeah, you have to -- you need to -- you cannot
25 have a misidentification.

1 Q. Of how many is that?

2 A. You can't have any misidentification.

3 Q. No, but how many examples are you given? How many
4 identifications are you asked to look at?

5 A. I don't remember off the top my head. Anywhere
6 from, I guess, at most ten, maybe five, but I'm not sure.

7 Q. Okay. Now, you also talked about having a second
8 examiner who would -- it's required in -- when you're in a
9 credited lab, you're required to have a second examiner
10 come and look at what you've done and verify that you've
11 not made any errors; is that correct?

12 A. A second examiner will verify the work to see that
13 there's a true identification there. It's a verification
14 process and a good practice through laboratory protocol.

15 Q. And in Miami-Dade, where you currently practice,
16 is that a blind verification?

17 A. Blind in what sense?

18 Q. Do you know -- when you are called upon to do a
19 second opinion, do you know what the first opinion was,
20 match or no match?

21 A. No. You're asked to please evaluate something,
22 and you don't really know why you're sitting down at first
23 and you evaluate it. And then -- then if you agree with
24 what you see on the -- on the comparison microscope,
25 there's an area in the case file for your initials as the

1 verifier.

2 Q. So you're given the entire case file to evaluate;
3 is that correct?

4 A. Not at that point, no. You're given the evidence
5 to evaluate.

6 Q. Are you given the report of the prior examiner?

7 A. The report hasn't even been written yet because
8 it's -- the examination is currently happening, so.

9 Q. Are you aware of the prior examiner's conclusions?

10 A. No. You -- sometimes you might be, but generally,
11 no. You will sit down and look and evaluate for yourself
12 and then you will look at the finding of the examiner and
13 you'll agree or disagree. But it's usually agree.

14 Q. Okay. Do you know who William Tobin is?

15 A. I don't know him personally. I know he wrote an
16 affidavit.

17 Q. Okay. Prior to your involvement in this case, had
18 you read an affidavit of his or affidavits of his that were
19 introduced in court in any other cases?

20 A. I read fully this affidavit, and this was really
21 the first time that I -- I knew of William Tobin.

22 Q. Okay. So you've never read anything prior?

23 A. I have heard the name and knew that he was a -- a
24 person out there that wrote critically of -- about our
25 field, but I had not read anything of his.

1 Q. Okay. Okay. As far as -- just give me one
2 minute.

3 The AFTE concedes, as did you in your Powerpoint,
4 that this -- that there's some element of subjectivity in
5 what you are propounding as a science; correct?

6 A. Absolutely. Subjective determination is made
7 on -- on an objective examination.

8 Q. Okay. And the AFTE also has conceded that there
9 are many unarticulated standards and no statistical
10 foundation for estimation of error rates; is that correct?

11 A. I don't know what you mean by that. Basically,
12 the validation studies serve as the known error rates
13 because, for example, I don't know what you're exactly
14 trying to say. You can't apply --

15 Q. Do you have a copy --

16 A. -- the statistics per se to striations. It
17 doesn't work that way. It doesn't -- you have to evaluate
18 markings every gun ever made.

19 Now, if you mean that way, no, you can't apply
20 statistics in that sense. If you mean the error rates,
21 it's the error rates from these consecutively matching
22 items that -- that are used to talk about the errors in our
23 field.

24 Q. Okay. I'm really quoting from -- I don't know if
25 you have a copy of the NAS report with you.

1 A. I do not have one with me, no.

2 Q. Okay. Well, one of the quotes I wanted to point
3 your attention to is that "the decision of the toolmark
4 examiner remains a subjective decision based on
5 unarticulated standards and no statistical foundation for
6 estimation of error rates."

7 Do you understand that statement?

8 A. I heard that statement, but basically, the -- the
9 subjective determination is not unscientific, and I really
10 don't know what the committee members are referring to in
11 the second portion of your quote.

12 Q. Do you know any of the committee members who have
13 created or were part of the creation of the NAS report?

14 A. I do not know any of them personally, no.

15 Q. Okay. Do you know any of them by name such that
16 you would be able to tell us whether they are authoritative
17 in their statements?

18 A. I know one by name, an individual Dr. Jay Siegel.

19 Q. Okay. And are his statements -- would his
20 contributions to the report be authoritative?

21 A. Authoritative in what sense?

22 Q. Well, is he -- what field is he -- does he purport
23 to be an expert in?

24 A. I don't -- I know he's a professor. I believe --
25 now, again, you asked me by name, and so I'll give you the

1 little information I have on the man. He's a professor
2 that works at a school in Indiana and he was one of the
3 committee members.

4 Q. And he -- it's listed him as a professor in the
5 forensic and investigative sciences program at Indiana
6 University, Purdue University. Does that refresh your
7 recollection?

8 A. Yes.

9 Q. Okay. And would you consider him an expert in his
10 field?

11 A. I don't know his personal qualifications, so I
12 don't know what exactly that means. I have never read any
13 studies from -- from the individual. I know he was
14 selected for this committee, and that's really all I know.

15 Q. Okay. Just one last question. The National
16 Academies of Science or Academy of Sciences, do you know --
17 can you tell us what that is?

18 A. It's an entity that -- that is charged sometimes
19 by the government to maybe evaluate certain things, but I
20 don't know -- I don't have a prepared definition for you.

21 Q. Okay. Does it refresh your recollection that it
22 was upon the authority of a charter granted to that
23 organization by the Congress in 1863, the Academy had a
24 mandate requiring it to advise the federal government on
25 scientific and technical matters, and such mandate still

1 exists? Does that refresh your recollection?

2 A. Right, that sounds about right.

3 MS. VROD: Okay. I have nothing further.

4 MR. BAKKEDAHL: One last question, and I
5 promise it's one question.

6 THE COURT: We'll see.

7 REDIRECT EXAMINATION

8 BY MR. BAKKEDAHL:

9 Q. The Defense attorney asked you if you previously
10 testified in a Frye hearing, and your answer was?

11 A. This is my first Frye hearing.

12 Q. Right, because we don't have these?

13 THE COURT: That's two questions.

14 BY MR. BAKKEDAHL:

15 Q. Is that right?

16 THE COURT: Three questions.

17 MR. BAKKEDAHL: All right. Nothing further.

18 THE COURT: Anything further?

19 THE WITNESS: My understanding is that they are
20 not common.

21 MR. BAKKEDAHL: Nothing further, Judge.

22 THE COURT: Okay. You all are finished with
23 him?

24 Mr. Bakkedahl, he can step down?

25 MR. BAKKEDAHL: Yeah.

1 THE COURT: Miss Vrod, you're finished with
2 Mr. Hernandez?

3 MS. VROD: Yes.

4 THE COURT: Thank you, sir, very much for your
5 testimony.

6 THE WITNESS: Thank you.

7 MR. BAKKEDAHL: I have no further evidence,
8 Your Honor.

9 THE COURT: Ms. Vrod, your comments as to
10 Mr. Tobin's documents, whether I should take
11 judicial notice of them or not and/or any comments
12 on the Frye issue?

13 MS. VROD: Judge, I will -- I think that our
14 motion speaks for itself as far as the Frye issue
15 is concerned and I needn't elaborate further. I
16 know we spoke about it previously at a previous
17 hearing during which we were arguing whether or not
18 a Frye hearing should be conducted in this case.

19 As far as whether or not you should take
20 judicial notice, I would suggest to Your Honor that
21 I do believe that Your Honor can take judicial
22 notice and it would be the permissive judicial
23 notice variety, not the mandatory judicial notice,
24 and I know that the State was not objecting to a
25 lack of, quote, unquote, timely enough notice and I

1 think that the affidavit is -- I understand that
2 the State is objecting on hearsay grounds. I do
3 believe that a preliminary hearing, such as a Frye
4 hearing, such as a suppression hearing, that the --
5 the evidentiary rules are suspended, not
6 necessarily completely suspended, but relaxed such
7 that all the necessary information can reach Your
8 Honor. And I think that in order for the
9 information to reach Your Honor, that's the reason
10 why we were putting in the Tobin affidavit. It has
11 been received by other courts.

12 As Your Honor knows, we had originally had
13 Mr. Tobin listed in this case along with Miss
14 Carriquiry and Mr. Spiegelman on Monday, the first
15 day that we had been in the jury selection, this
16 past Monday. They -- we received a mysterious
17 e-mail from Mr. Tobin saying that he would no
18 longer be able to testify for us nor would his
19 colleagues, and we have diligently been trying to
20 get somebody to come in and give Your Honor live
21 testimony, however, to no avail.

22 So because that's the -- there's certainly an
23 issue which was demonstrated by the fact that the
24 State went to such lengths in order to put the
25 record on before Your Honor, and to avoid any

1 dereliction by Defense in that that's the evidence
2 that we have, we're asking Your Honor to -- however
3 you want to denominate it, take judicial notice of
4 it, look at it, consider it so that Your Honor can
5 reach Your Honor's opinion as to the Frye hearing.

6 THE COURT: All right. Is it the Defense's
7 position that the documents provided by Mr. Tobin,
8 at least the one that's been presented to me that's
9 unsigned and/or unnotarized, that that constitutes
10 an affidavit?

11 MS. VROD: It's an unsigned and unnotarized
12 affidavit. I mean, unfortunately, we tried to
13 solicit Mr. Tobin's signature and affirmation or
14 swearing into the affidavit and we were unreplied
15 to.

16 I would submit as an officer of the court that
17 I believe that it has been submitted in its signed
18 and affirmed to or sworn to versions in other
19 courts of the United States, be it State or
20 Federal, and as such, for -- we are asking Your
21 Honor to take judicial notice and to assign to it
22 whatever weight Your Honor deems is appropriate.

23 THE COURT: All right. Thank you.

24 Mr. Bakkedahl, any other comment?

25 MR. BAKKEDAHL: Yeah. Shouldn't you have a

1 little concern that the guy won't sign it? I mean,
2 you know, that would give me a real concern for the
3 reliability of the document.

4 Now, the fact that they can't find somebody to
5 come in here and say what Tobin has said in the
6 past is also strong evidence in the -- in my favor
7 that they -- they don't have a leg to stand on, and
8 it goes back to the general acceptance issue.
9 These -- this witness put on, and I think there was
10 a comment about why I went to such great lengths,
11 why I went to great lengths because I decided to
12 put evidence on, reliable evidence so that Your
13 Honor would understand what the truth is. This
14 matter is generally accepted, but they went beyond
15 that and they established a Daubert standard for
16 you.

17 So there is just no question about the
18 scientific acceptance and reliability of this
19 evidence. I strongly object to your consideration
20 of -- she says it's just an unsigned affidavit.
21 Well, it is also an unsigned document with words on
22 paper. I mean, so what? It should not be
23 considered. Could you imagine if I tried to offer
24 something like that, what they would say? So, I
25 strenuously object to you considering that.

1 THE COURT: Okay. All right. Just so the
2 record is clear, we had Mr. Hernandez testify, kind
3 of out of order as a courtesy to him. I know they
4 traveled -- these fellows have traveled a distance,
5 so it was taken a little bit out of order, just so
6 that's clear.

7 Also, the Defense filed this motion prior to
8 the trial starting, but it was kind of late in the
9 process. And the record will reflect when it was
10 filed. I don't recall exactly.

11 All right. I've considered the contents of the
12 Defense's motion on this issue, the case law
13 submitted at this particular hearing and some
14 others that I'll make reference to here in just a
15 minute. Specifically, I've been handed Dufour,
16 D-U-F-O-U-R, versus State. It's at 36 Florida Law
17 Weekly S57. It's a February 3rd, 2011 decision out
18 of the Supreme Court.

19 Also, Ramirez, which is 810 So.2d 836, a 2000
20 Florida Supreme Court decision.

21 And also, the other case of Ramirez, 651 So.2d
22 1164.

23 I've also considered Professor Ehrhardt's
24 Section -- 2011 Edition of his Florida evidence on
25 this issue. It's Section 202.6. His section also

1 cites Dufour. It also cites Stoll versus State,
2 S-T-O-L-L, 762 So.2d 870, a Florida 2000 decision,
3 which is also a Supreme Court decision.

4 I've considered Mr. Hernandez's testimony, both
5 in Direct and Cross-Examination, carefully observed
6 his demeanor as he testified, considered his
7 Powerpoint presentation. I've considered his
8 qualifications, his education, training and
9 experience and given what weight I consider
10 appropriate to that. I'm familiar with the Frye
11 case law, I think, and criteria, and also
12 considered the arguments of counsel.

13 As to the preliminary issue; that is, whether
14 the Court should take judicial notice of the
15 documents provided by the Defense under their
16 notice, which cites 90.202(6) and/or 90.203 of the
17 Florida Evidence Code, the Defense seeks to have
18 the Court consider those documents and take
19 judicial notice of them and consider them. There
20 was no other evidence other than that presented by
21 the Defense to support their motion. The State
22 objects.

23 The Court read the affidavit in its entirety
24 overnight as it was just presented to me, I believe
25 it was yesterday afternoon in the midst of voir

1 dire. I'll make all these documents a part of the
2 record, in the court file for the record.

3 The Court notes this document is unsigned and
4 has not been authenticated by any witness. It also
5 is not what I consider an affidavit to be, because
6 not only is it unsigned, it also does not have any
7 type of oath or notarization attached to it either,
8 nothing either in the document itself or anything
9 presented by the Defense in court. It has also not
10 been presented which would identify which court
11 file, if any, this document was placed in in any
12 other court proceeding which would furnish this
13 Court with such information to enable it to take
14 judicial notice of the matter.

15 Second, even if this Court did take judicial
16 notice of this document, it's simply not admissible
17 into evidence for this Court to consider
18 substantive evidence on this issue as it is hearsay
19 in my view. There is no, in my view, no recognized
20 exception to admit this under the evidence code as
21 a hearsay exception, nor has the Defense suggested
22 any, or provided any to this Court.

23 Let me just for the record, under footnote five
24 in Professor Ehrhardt's Section 202.6 -- before I
25 get to the footnote. Professor Ehrhardt says,

1 "Judicial notice under 90.202(6) cannot be utilized
2 to justify the admission of hearsay statements in
3 court files." He references footnote five, which
4 cites Dufour, which quotes, "The Supreme Court:
5 While the court may make judicial notice of
6 documents in a court file that were properly placed
7 there, this notice would not make the contents of
8 the documents admissible if they were subject to
9 challenge such as when a document is protected by
10 privilege or constituted hearsay. In addition,
11 taking judicial notice of an entire prior
12 proceeding may be expeditious for the current
13 proceedings, but it does not allow the substance of
14 the underlying materials to be entered into
15 evidence without compliance with the rules of
16 evidence."

17 It also cites the Stoll decision. "The
18 prosecution erred to admit victim's handwritten
19 statement filed in a prior domestic violence case
20 on the basis that the trial court took judicial
21 notice of that document in the court file. The
22 statement was inadmissible on hearsay."

23 Professor Erhardt quoted the court on an
24 asserted basis for the admission of hearsay
25 statement with judicial notice: "Although a trial

1 court may take judicial notice of the court
2 records, it does not follow that this provision
3 permits the wholesale admission of all hearsay
4 statements contained within those court records.
5 We have never held that such otherwise inadmissible
6 documents are automatically admissible just because
7 they were included in the judicial notice of the
8 court file."

9 They also cite Burgess versus State, 831 So.2d
10 137, a Supreme Court decision.

11 And I'm not going to read all of these, but
12 this is the last one. It says, "The trial court
13 cannot consider a hearsay police report in the
14 court file on the issue of whether a sentence was
15 legal." Quoting the court, it says, "In this case,
16 there was no opportunity for the State to rebut or
17 even challenge the accuracy of what was contained
18 in the report."

19 So, in my view, and, I think humbly in the
20 Supreme Court's view and Professor Erhardt's view,
21 this document simply is just not admissible.

22 In Dufour, the defendant in that case was
23 sentenced to death by the trial court. This issue
24 came up, of course, in a part of the opinion, and
25 Mr. Dufour's death sentence was affirmed. So I

1 think the law is quite clear on that in Florida.
2 Therefore, I will sustain the State's objection for
3 those reasons.

4 Secondly, and just let me finish, even if the
5 Court did take judicial notice of Mr. Tobin's
6 documents, it can't consider the documents as
7 substantive evidence, or this Court's decision
8 sustaining the State's objection. Preliminarily,
9 it's found to be error by reviewing court -- this
10 Court finds based on the sworn testimony presented
11 here in court that has been subjected to
12 Cross-Examination by the Defense and the documents
13 purportedly prepared by Mr. Tobin, that this
14 evidence is sufficiently reliable under the Frye
15 criteria to admit it into evidence. This is
16 assuming the State will establish sufficient
17 predicate at trial, by a competent witness, of
18 course, in the ordinary way, by a witness or
19 witnesses who can testify to it.

20 Frankly, candidly, I have assigned little, if
21 any, weight to Mr. Tobin's -- assuming it is
22 Mr. Tobin's document, any conflict between the
23 sworn testimony here in court from Mr. Hernandez
24 and Mr. Tobin's document, so I reconcile that in
25 favor of Mr. Hernandez and the State. So this

1 evidence will be admissible at trial, assuming
2 there's a proper predicate.

3 MR. BAKKEDAHL: Can I get a ruling on my other
4 objection, which is that -- I think is very
5 important for the Supreme Court, that this is
6 simply, and I think borne out by Mr. Hernandez's
7 testimony, that this is not new or novel science,
8 that --

9 THE COURT: In my view, as I said, I've
10 accepted Mr. Hernandez's testimony. It's not new
11 or novel in Florida. It's certainly not, for what
12 it's worth, humbly, new or novel to me. I've
13 presided over a number of murder cases and other
14 things where this testimony was accepted, although
15 candidly, it probably wasn't objected to in those
16 other things, but it's not new or novel.

17 All right. Bring the jury up, please. Thank
18 you all very much. I appreciate it. Bring the
19 panel up, please. If you need to rest, now may be
20 the time. Go off the record.


21 (Thereupon, a break was taken.)

22 CONTINUED IN VOLUME XV
23
24
25

CERTIFICATE OF REPORTER

I, DEANNE MORRIS, Registered Professional Reporter, in and for the County of Martin, do hereby certify that in the matter of STATE OF FLORIDA and ALWIN CHARLES TUMBLIN, a Frye hearing was held before the Honorable Dan L. Vaughn, Circuit Judge, beginning at the hour of 12:20 P.M. on August 5, 2011; that I was authorized to and did stenographically report the foregoing proceedings in that hearing, and that the foregoing pages, number 1475 through 1609, comprise a true and correct transcript of the proceedings.

Done and dated February 26, 2012, at Stuart, Martin, Florida.



DEANNE MORRIS

Registered Professional Reporter