

1 APPEARANCES:
 2 FOR THE PLAINTIFF: LINDA J. MOTT
 linda.j.mott@usdoj.gov
 3 MIKE WARBEL
 Assistant United States Attorneys
 4 P.O. Box 607
 Albuquerque, New Mexico 87102
 5 505-346-7274
 6 FOR THE DEFENDANT: MICHAEL N. BURT
 michael.burt@prodigy.net
 7 Law Office of Michael Burt
 100 Brannan Street, Suite 400
 8 San Francisco, California 94103
 415-522-1508
 9
 THERESA M. DUNCAN
 10 tmd@fbdlaw.com
 Freedman Boyd Hollander Goldberg
 11 Ives & Duncan, P.A.
 20 First Plaza, Suite 700
 12 Albuquerque, New Mexico 87102
 505-842-9960

1 understanding we have three witnesses for the Court:
 2 Mr. Murdock, Ms. Babcock, and then the defense will
 3 be calling Ms. Schwartz, who we will probably get to
 4 tomorrow.
 5 And I have an initial objection to the
 6 testimony of Mr. Murdock. I was informed on July 31
 7 that the government was going to call Mr. Murdock as
 8 a witness. On August 7th I was provided with his CV.
 9 The CV mentioned that he had a separate list of cases
 10 in which he had testified. I requested that list.
 11 My request was ignored.
 12 This morning we were handed four volumes of
 13 material pertinent to Mr. Murdock's testimony. Those
 14 four volumes are sitting over on the table. Included
 15 in that four volumes of material is a lengthy
 16 PowerPoint which the government proposes to use with
 17 this expert.
 18 And our objection to his testimony at this
 19 point is based on lack of notice and a Rule 16
 20 violation because, obviously, this PowerPoint was
 21 compiled at some point prior to when we walked in
 22 here this morning. That should have been provided to
 23 us. It was not.
 24 We would ask the Court to exclude his
 25 testimony or, in the alternative, take his testimony

1 THE COURT: Please be seated. Good
 2 morning.
 3 MS. MOTT: Good morning.
 4 THE COURT: We're on the record in USA
 5 versus McCluskey, CR-10-2734.
 6 May I have appearances, please.
 7 MS. MOTT: Good morning, your Honor.
 8 Linda Mott on behalf of the United States.
 9 Also present today is Mike Warbel, with the
 10 capital case unit in Washington, and Special Agent
 11 Marc McCaskill with the FBI.
 12 MR. BURT: Good morning, Your Honor.
 13 Michael Burt and Teresa Duncan for Mr. McCluskey, who
 14 is present.
 15 THE COURT: Good morning to all of you as
 16 well.
 17 We're here today to begin our Daubert
 18 motions hearing. And we will begin with the firearm
 19 issue, which I believe is Document 418.
 20 MS. MOTT: Yes.
 21 THE COURT: Okay. All right.
 22 Are you-all ready to proceed?
 23 MS. MOTT: Yes, Your Honor.
 24 THE COURT: Mr. Burt?
 25 MR. BURT: Your Honor, I have a -- it's my

1 on direct and allow us at least some time to review
 2 the four volumes of material that we just got that's
 3 pertinent to his testimony.
 4 Our expert, who's going to be testifying
 5 tomorrow, the government was provided with her CV and
 6 a lengthy affidavit outlining the substance of her
 7 testimony when our Daubert motion was filed. So I
 8 think the government was given adequate notice of the
 9 substance of what her testimony was, including a
 10 lengthy report.
 11 At this point we have nothing from
 12 Mr. Murdock other than, as I say, his CV was given to
 13 me on the 31st.
 14 THE COURT: All right.
 15 Ms. Mott, do you have a response?
 16 MS. MOTT: Yes, Your Honor.
 17 Your Honor, the government did come in with
 18 a number of exhibits. Not all of them are pertinent
 19 to Mr. Murdock. I did provide the Court a list, if I
 20 may.
 21 THE COURT: Yes. Thank you.
 22 MS. MOTT: I guess to address first of all,
 23 Your Honor, the notice of Mr. Murdock's testimony.
 24 That was filed and sent with attachments of the CVs
 25 of both Ms. Babcock and Mr. Murdock back at the end

Page 6

1 of July.

2 I did receive a request for the list of

3 cases that Mr. Murdock has been involved in. I

4 received that request on Friday. And I did contact

5 Mr. Murdock immediately for that list. He sent it to

6 me. And I, in turn, e-mailed that on Saturday.

7 So I am not sure if there's a problem with

8 the e-mail for Mr. Burt or not, but I did provide

9 that to them as soon as it was requested.

10 The situation here, Your Honor, is that --

11 THE COURT: Let me ask you this.

12 How much in those four binders that pertain

13 to Mr. Murdock are things that the defense has not

14 seen before?

15 MS. MOTT: Your Honor, I can probably tell

16 you that the only thing that is not available and out

17 there is the PowerPoint. And the PowerPoint was -- I

18 was going to address that with the Court before we

19 got started. That was why I talked to Mr. Burt -- is

20 a basic overall, I guess introductory to firearm and

21 toolmark identification. It's not specific to this

22 case. It is regarding the AFTE method of

23 identification. It is regarding different toolmarks

24 and how they are made.

25 And it is that type of a presentation that

Page 7

1 is fairly succinct and would not take that long to go

2 through in terms of what it is. It's not designed in

3 specifics to this case at all, Your Honor. It is for

4 the purpose of Daubert and education and being able

5 to go through that quite quickly.

6 THE COURT: Let me ask you this.

7 In terms -- so is Mr. Murdock your first

8 witness?

9 MS. MOTT: Yes, Your Honor.

10 THE COURT: And how long do you guess --

11 I'll use the word "guess" -- his direct would go?

12 MS. MOTT: All told, probably at least an

13 hour and a half I would say.

14 I can tell you from the exhibit list,

15 Your Honor, that what we're looking for in terms of

16 his discussion -- and he's certainly not going to go

17 through each of these in depth. It's going to be a

18 summary for the Court if we touch on it.

19 And the first page is definitely going to

20 be Mr. Murdock, and part of the second page.

21 And then Ms. Babcock, who's going to be the

22 government's second witness, is going to address

23 another four or five of those exhibits on page 2 and

24 then 3.

25 And then quite frankly, Your Honor, most of

Page 8

1 the rest are all going to be addressed with

2 Ms. Schwartz in quite a lengthy cross-examination

3 that the government has prepared.

4 And those exhibits are -- have been and are

5 out there for anyone to find, including Ms. Schwartz'

6 testimony and the variety of cases that she has

7 testified in, and hearings, and a variety of

8 cross-examination materials that have been used in

9 other cases where she has testified at hearing.

10 So those are not unknown in terms of their

11 witness.

12 THE COURT: Well, here's what I would

13 suggest. I would say that what we should do is begin

14 our -- our testimony. We will -- Mr. Murdock is your

15 first witness, we'll take up his direct testimony,

16 and then we'll see where that leaves us in terms of

17 cross-examination.

18 So I would suggest that we proceed in that

19 manner.

20 MS. MOTT: That's fine, Your Honor. Thank

21 you.

22 THE COURT: Mr. Burt?

23 MR. BURT: That's fine, Your Honor.

24 I did not receive the e-mail that Counsel

25 references. And I -- I -- just quickly reviewing

Page 9

1 their exhibit list, I do not see that case list in

2 their exhibits. So if she has a copy of that we

3 would request it. I don't know why I didn't get it,

4 but I didn't. So if that's something that can be

5 made available...

6 THE COURT: Can you make that available?

7 MS. MOTT: I'll have somebody at the office

8 get it and bring it down.

9 MR. BURT: Thank you. I appreciate that.

10 THE COURT: Is there anything else before

11 we begin?

12 MR. BURT: No, Your Honor.

13 THE COURT: All right.

14 Are you ready to proceed?

15 MS. MOTT: Thank you, Your Honor.

16 The government would call John Murdock.

17 MS. MOTT: Just as an aside, Your Honor, I

18 was just informed by Mr. Murdock he actually has a

19 copy with him, so we'll provide that to the

20 defendants.

21 THE COURT: Okay.

22 JOHN MURDOCK, GOVERNMENT'S WITNESS, SWORN

23 DIRECT EXAMINATION

24 BY MS. MOTT:

25 **Q. Would you state your name, please, and spell**

1 **your last name?**

2 A. My name is John Murdock, M-U-R-D-O-C-K.

3 **Q. And, sir, where are you employed?**

4 A. I am currently employed as a contract --
5 actually, it's a -- the title is criminalist, but I
6 do firearm and toolmark work with the Contra Costa
7 County Sheriff's Office crime laboratory in Martinez,
8 California.

9 **Q. And were you formerly employed at the Contra
10 Costa laboratory as well?**

11 A. I was, for a total of 27 years.

12 **Q. And what were your duties there, sir?**

13 A. I started off in 1966 as a student intern. And
14 I worked in that capacity for one year.

15 And then for the next 12 years I worked as
16 a criminalist. I did a wide variety of forensic
17 science examinations, processed crime scenes, for
18 example. But the majority of my work was in firearm
19 and toolmark examination, because I liked that the
20 most.

21 And then for the next five years I was a
22 supervisor of the general criminalistics section.
23 And then following that, for a total of ten years, I
24 was the crime laboratory director.

25 **Q. And where else were you employed after that,**

1 **sir?**

2 A. Well, I retired from Contra Costa and went to
3 the Bureau of Alcohol, Tobacco, Firearms, and
4 Explosives crime laboratory in Walnut Creek,
5 California. And I remained there for 15 years, and I
6 worked exclusively as a firearms and toolmark
7 examiner there.

8 **Q. Now in terms of your training for ATF, was that
9 something that was additional to your training that
10 you had already acquired being at Contra Costa?**

11 A. Well, I used the training that I had prior to
12 going to Contra Costa and that I received at Contra
13 Costa as a firearms and toolmark examiner in order to
14 gain employment with the Bureau of ATF.

15 So although I went to some training classes
16 with ATF, I was already a trained firearm and
17 toolmark examiner when I joined them.

18 **Q. And as part of your continued work with ATF,
19 did you continue to go through training?**

20 A. Yes, I did.

21 **Q. And even now, are you -- do you continue to
22 update yourself on training methods or standards or
23 even literature?**

24 A. Even after 46 years I continue to do that, yes.

25 **Q. Very good.**

1 **Sir, how many examinations, firearm and
2 toolmark examinations, would you estimate that you
3 have done during the course of your career?**

4 A. Well, I probably have handled between 2- and
5 3,000 cases. But any one case can have hundreds and
6 hundreds of examinations. Especially -- we get a lot
7 of gang-associated crime scenes and there can be
8 hundreds of cartridge cases of various calibers that
9 come in from those.

10 **Q. And what about technical review or peer review?
11 Have you done that as well?**

12 A. Yes. In the laboratories that I have worked,
13 both in Contra Costa and ATF, we have a very healthy
14 system of technical peer review. In fact, that is --
15 having that process is a requirement if you are an
16 accredited laboratory. If you have been accredited
17 by the American Society of Crime Laboratories
18 laboratory accreditation board they do require that
19 kind of technical peer review. So I've done that for
20 a number of years.

21 **Q. Now your curriculum vitae was provided, and it
22 is listed as Exhibit Number 2 for the government.
23 And in that, you list -- part of it is education.**

24 **Could you give us a summary of your
25 education and how you became trained and learned, so**

1 **to speak?**

2 A. Well, after going to high school in Wheaton,
3 Illinois, I was in the Air Force. And they sent me
4 to California, where I encountered a tuition-free
5 junior college system, much to my surprise. So I
6 took advantage of that.

7 And after completing junior college, I
8 transferred to the University of California at
9 Berkeley, where I graduated, first, with a bachelor
10 of science degree. And then I was advanced to
11 candidacy for the doctorate degree. And I completed
12 60 units of graduate work working towards that goal.

13 And then I started teaching at a junior
14 college and working at Contra Costa, and I found that
15 took up the bulk of my time, so I submitted a
16 master's thesis and was awarded a master's degree
17 from UC Berkeley. That was in 1977.

18 **Q. And during that time, did you have the
19 opportunity to work with some very learned
20 professors?**

21 A. Yes. The main professor that was in charge of
22 the forensic science program at UC Berkeley was
23 Dr. Paul Kirk.

24 **Q. And who is he, sir?**

25 A. He's a very famous biochemist. But he also, in

1 1953, wrote "Crime Investigation," which is one of
2 the early books of -- excellent book on forensic
3 science. In it, there's chapters on firearms and
4 toolmarks.

5 Dr. Kirk was also -- he worked on the
6 Manhattan project for the US Government during the --
7 during the war. He was one of the main people that
8 separated plutonium for the development of the atomic
9 bomb.

10 **Q. Did he teach you about forensic science?**

11 A. He did, indeed.

12 **Q. Now also in your CV, you discuss a number of**
13 **presentations and teachings or trainings that you**
14 **have given during the course of your career.**

15 **Can you tell us a little bit about the type**
16 **of trainings that you give?**

17 A. Well, I give various lectures to various
18 groups. As lab director, I gave a lot of lectures to
19 civic groups, just on what the laboratory does,
20 because government has a responsibility to provide
21 information to people that -- whose tax money goes to
22 support our institutions.

23 I also give -- give lectures at forensic
24 conferences.

25 And I also have done a lot of teaching. I

1 taught for 21 years at a local junior college on how
2 to process crime scenes for physical evidence. And I
3 taught them all about how the evidence is used in the
4 laboratory, to kind of motivate them to do a good job
5 collecting it.

6 Since 1990, I have been associated with the
7 California Criminalistics Institute in Sacramento,
8 and I have specialized in teaching criteria for the
9 identification of toolmarks.

10 The one-week class is limited to
11 approximately 40 students, because we use
12 microscopes. The students work in teams to compare
13 various toolmarks looking for the best known
14 non-match agreement that they can find.

15 Since 1990, I have trained probably 250
16 students in those small -- small-number-of-student
17 classes. So that's over a 20-year period.

18 I associate with a criminalist by the name
19 of Bruce Moran. He and I give workshops on the same
20 subject, and that's a short version of the one-week
21 class. And these workshops range anywhere from 10 to
22 20 hours.

23 And in the last nine to ten years we have
24 trained approximately 300 students in criteria for
25 the identification of toolmarks.

1 In early October we're scheduled to go to
2 South Africa to train 250 firearms examiners, eight
3 16-hour workshops in four different cities on the
4 same subject.

5 **Q. Now as part of that training, do you also go**
6 **over the pertinent or relevant literature on firearms**
7 **and toolmark identification?**

8 A. Yes. The one-week class has about 12 to 16
9 hours of discussion from me on the history -- the
10 historical development of criteria for the
11 identification of toolmarks.

12 The reference articles occupy two four-inch
13 binders, and they're double-sided.

14 The one week -- or the short workshop has
15 one four-inch binder of double-sided reference
16 articles. And I cover every one of those reference
17 articles, and I discuss the relevant portions with
18 the students.

19 **Q. And is that what we were kind of talking about**
20 **in terms of you keeping up with the literature?**

21 A. Yes.

22 **Q. And that is directly related to what you train**
23 **and teach?**

24 A. Yes. I keep up with the literature, though,
25 for another reason. And that is, there are often

1 technical advances.

2 There was just -- I'd consider it a
3 hallmark article in the last issue of the AFTE
4 Journal that I received several weeks ago. It's a
5 great article from two firearm and toolmark examiners
6 from Israel on some particular marks that appear on
7 the base of fire cartridge cases, but they're loading
8 marks. And they appear there because, as the
9 cartridge slides against the breech face of the gun,
10 it can be marked by the firing pin opening. And that
11 has not been reported in the literature.

12 So in addition to keeping up with the
13 literature so I can be conversant with the most
14 up-to-date stuff when I encounter students, it also
15 helps me in my casework, because I am a caseworker.
16 That's what I do with Contra Costa County. They
17 hired me not to teach; they hired me to do work on
18 the bench.

19 **Q. And one more thing about your -- your**
20 **presentations. I know you present to all kinds of**
21 **different groups. But did you not just present at**
22 **the National Institute of Standards and Technology**
23 **conference?**

24 A. I did.

25 **Q. And that was in July of this year, correct?**

1 A. Yes.
 2 **Q. And were you a keynote speaker?**
 3 A. I was.
 4 **Q. And what did you speak on, sir?**
 5 A. I spoke on the historical development of
 6 criteria for the identification of toolmarks.
 7 And I also spoke about the absolute need
 8 for examiners like myself that work with the optical
 9 comparison microscope to work closely with
 10 researchers on the development of new technology,
 11 most notably the three-dimensional, the 3D analysis
 12 of toolmarks, to where you can actually get profiles
 13 of the depth of the toolmarks.
 14 And there's been some excellent work that
 15 has come out in the last five, six, or seven years on
 16 that subject. And that has the potential to push the
 17 boundaries of our science even further than it is
 18 now.
 19 **Q. How so?**
 20 A. We cannot give numerical estimates of the
 21 strength of association of toolmarks now. It is
 22 possible, with 3D analysis of the topography of
 23 toolmarks, they can -- they can convert that data
 24 into numbers, so they can come up with a mathematical
 25 estimate.

1 And what they're finding is that the data
 2 that they get from comparing known matching toolmarks
 3 can be separated and is distinct from the data that
 4 they get from looking at known non-matching
 5 toolmarks.
 6 And what that is doing is, it's showing
 7 that they are proving, with these mechanical
 8 instruments, what examiners have known for years. We
 9 can do that optically, visually, now. And they're
 10 actually demonstrating that they can do it with these
 11 mechanical -- these mechanical instruments. So
 12 that -- that's a real good thing.
 13 **Q. Very good.**
 14 MR. BURT: Excuse me, Counsel.
 15 Your Honor, our expert, Dr. Schwartz, just
 16 walked in. And I did not make a motion to exclude
 17 witnesses. I wanted to see if it was okay if she sat
 18 in and listened to the testimony.
 19 THE COURT: Is there objection?
 20 MS. MOTT: The government has no objection.
 21 In fact, I -- as I turn and look, it appears that
 22 Ms. Babcock is also in the courtroom. So that's fine
 23 with the government.
 24 THE COURT: All right. That's acceptable.
 25 MR. BURT: Thank you. Pardon the

1 interruption.
 2 BY MS. MOTT:
 3 **Q. Now also on your CV is that you have consulted**
 4 **on numerous times on special projects, and I believe**
 5 **14, by my count.**
 6 **And was that also directly related to your**
 7 **experience as a firearm and toolmarks identification**
 8 **expert?**
 9 A. Yes.
 10 **Q. And can you just give the Court a brief summary**
 11 **of those?**
 12 A. Could you tell me what page you're on?
 13 **Q. Absolutely.**
 14 A. I am on Exhibit 2 in Binder 1.
 15 And may I be allowed to look at my own CV?
 16 **Q. That should be fine, yes.**
 17 THE COURT: You mean not the exhibit, but
 18 the one that you have?
 19 THE WITNESS: The copy I have in front of
 20 me.
 21 THE COURT: Sure.
 22 MS. MOTT: He brought one himself.
 23 THE WITNESS: Thank you, Your Honor.
 24 BY MS. MOTT:
 25 **Q. On page 21, sir.**

1 MS. MOTT: And I did provide a -- if I
 2 may -- a copy of exhibits for the Court.
 3 A. I'm sorry. Is there -- there is a question
 4 pending for me, right?
 5 BY MS. MOTT:
 6 **Q. Just if you could give the Court a brief**
 7 **summary of how you are called in to consult on some**
 8 **of these cases. It looks like there were not only a**
 9 **couple of high-profile cases, but also administrative**
 10 **inspections, things like that.**
 11 A. Well, a number of years ago the California
 12 Department of Justice crime lab group wanted to
 13 conduct inspections of their own laboratories, and
 14 they didn't want to use just members of their own
 15 organization. And so they asked me, because I have
 16 been associating with their CCI training facility for
 17 years. And they asked me if I would be the third
 18 person.
 19 So I went and inspected a number of crime
 20 laboratories for -- to see whether or not they would
 21 meet the ASCLD/LAB accreditation standards. So I did
 22 a number of those kinds of studies.
 23 I also was assigned to the District
 24 Attorney's office in Contra Costa County, and they
 25 wanted me to review the various cases that they got

1 in order to make the best use of physical evidence.
2 There was an east area rapist task force in
3 Contra Costa County from '78 to '79, and I reviewed
4 over 40 cases. Those cases are still unsolved, but I
5 reviewed them to try to -- try to get all of the
6 physical evidence out of those cases that I could.

7 I served as the chairman of the technical
8 board of inquiry in 1979. And this board reviewed
9 the casework performed by the bureau of forensic
10 science criminalists, and they wanted somebody
11 outside of their organization to chair that effort.
12 And I did that and wrote the report.

13 **Q. Now, sir, you've also written quite extensively**
14 **on the area of firearm and toolmark identification.**
15 **Isn't that true?**

16 A. Yes.

17 **Q. And I believe pages 10 through part of 15**
18 **include a number of those listed. Is that right?**

19 A. Yes. Out of the 26 papers that I have written,
20 about 19 had to do with firearms and toolmark
21 matters. And this is in addition to a thesis for a
22 master's degree at UC Berkeley. And there were two
23 graduate papers that I wrote at UC Berkeley, also, on
24 the same subject.

25 **Q. Now did you also, I believe, coauthor a**

1 **chapter --**

2 A. Yes.

3 **Q. -- in a book?**

4 A. Yes.

5 **Q. Can you tell us what that was about?**

6 A. Well, it started out in 1997 as a two-volume
7 set, and it is called "Modern Scientific Evidence,
8 the Law and Science of Expert Testimony."

9 And my understanding is that that set was
10 written in light of Daubert, to provide -- to provide
11 the judiciary with information about the various
12 forensic specialties, to help make rulings in -- in
13 hearings like this, Daubert hearings, and/or Frye
14 hearings, depending on the state you are in.

15 One of the chapters is on firearms
16 identification. And I coauthored that in 1997 with
17 Al Biassoti.

18 **Q. And just for the record, we are referring to**
19 **Exhibits 16 and 17 on "Modern Scientific Evidence,**
20 **the Law and Science of Expert Testimony." And that**
21 **is in Binder 2.**

22 A. Although it started out life as a two-volume
23 set, it is now a five-volume set. And the edition is
24 2009/2010.

25 **Q. Now, you've provided that chapter and then,**

1 **obviously, quite a long footnote as well.**

2 **Was there a reason that you provided the**
3 **footnote as well?**

4 A. The footnote, although by legal standards is
5 probably a short footnote, but by our standards it's
6 a long footnote. It's about a four-page footnote,
7 and it is on the subject of subclass characteristics.
8 And so that essentially amounts to almost a
9 standalone article on the subject of subclass
10 characteristics, and I'm certain that we'll be
11 discussing subclass more.

12 **Q. Now, you also belong to several professional**
13 **associations. And if you would, just give the Court**
14 **a brief summary of the associations and any offices**
15 **that you hold.**

16 A. I belong to the Association of Firearm and
17 Toolmark Examiners. I've been a member of that group
18 since it started, actually. I've held several
19 positions in that group, and I was the -- I was the
20 co-chair of their certification committee for two
21 years. And then for the next two to three years I
22 was the chairman of that committee.

23 And the end result of that was that AFTE,
24 which is the acronym -- that's A-F-T-E, the initials
25 of the Association of Firearm and Toolmark

1 Examiners -- developed a certification program for
2 members. They certify in firearms, examination of
3 toolmarks, and gunshot residue examination and
4 identification.

5 And the good news is that we developed what
6 I think is a quality certification program, in large
7 measure because of an NIJ grant.

8 The bad news is that I can't be certified
9 by that organization because I helped develop the
10 program.

11 **Q. Now, does AFTE also have an ethics board?**

12 A. They do.

13 **Q. What about the advancement of science**
14 **committee?**

15 A. I'm the current chairman of the AFTE
16 advancement of the science of firearm and toolmark
17 committee. I have been in that capacity now for
18 about -- probably four years.

19 **Q. And you've mentioned that AFTE certifies its**
20 **members in various areas.**

21 A. Yes.

22 **Q. As the person who chaired that and set that up,**
23 **how do you certify someone?**

24 A. Well, you have to have a certain requisite
25 number of years of experience doing the work. And

1 then you apply for certification and take a written
2 test in any one of those three areas.

3 You have to successfully pass the written
4 test before you can move on to take the practical
5 examinations. And those examinations are usually
6 two-part. They are proctored by somebody that is
7 already certified.

8 And the results are sent in and evaluated.
9 And if you have successfully completed those, you are
10 awarded certification and you get a certificate which
11 is good for a certain number of years.

12 They have a program of recertification
13 points, activities -- professional activities that
14 you can engage in in order to maintain your
15 certification.

16 **Q. And I also asked you briefly about an ethics
17 standard and committee.**

18 **Is there an ethics committee and a -- that
19 promulgated the standards?**

20 A. Yes. AFTE has had an ethics code since about
21 1980. They actually -- they actually adopted the one
22 that was written many years before by the California
23 Association of Criminalists.

24 And then in 1979 the California Association
25 of Criminalists, to which I also belong, I co-chaired

1 the effort to write a rather extensive enforcement
2 procedure for the ethics code.

3 And I'm happy to report that AFTE saw fit
4 to adopt that enforcement procedure.

5 And it was designed to afford those accused
6 due process of law, and it's worked fine over the
7 years.

8 AFTE takes ethics enforcement seriously,
9 and we are on record, actually, as enforcing it. And
10 we have had rather spirited hearings at our meetings
11 sometimes because of that.

12 **Q. Now, I believe I mentioned standards, but not
13 in the context of AFTE.**

14 **In general, does AFTE also have complete
15 standards?**

16 A. They have documentation standards. They have a
17 glossary. They have -- they also have a training
18 program. So --

19 **Q. And they -- I'm sorry, go ahead.**

20 A. So they -- they have developed a number of
21 those professional materials over the years.

22 And of course there's a closely related
23 group which is the scientific working group for
24 firearms. And that's abbreviated SWGGUN,
25 S-W-G-G-U-N.

1 SWGGUN also adopted documentation standards
2 and a wide range of other standard materials.

3 **Q. And, sir, how many times would you say you have
4 testified as an expert in court on firearms and
5 toolmark identification?**

6 A. Well, I've testified in total close to 200
7 times, and probably only a fourth of those has dealt
8 with firearms and toolmarks.

9 Since I started work for ATF in '93, I have
10 testified approximately 40 times in firearms and
11 toolmarks.

12 **Q. And has that been in State and Federal Court?**

13 A. Yes.

14 **Q. And have you ever been excluded from testifying
15 on that subject matter?**

16 A. No.

17 MS. MOTT: Your Honor, at this time I would
18 move to recognize Mr. Murdock as an expert in firearm
19 and toolmark identification, as well as the
20 literature surrounding that field.

21 MR. BURT: No objection.

22 THE COURT: The witness will be recognized
23 as an expert in both firearm toolmarks as well as the
24 literature.

25 MS. MOTT: Thank you, Your Honor.

1 It's at this time I would like to go ahead
2 and present to the Court the PowerPoint presentation
3 which is going to cover a number of the terminology
4 that we just discussed, and probably -- hopefully,
5 put it into a better context, in terms of what we
6 will then discuss afterward and any follow-up
7 questions from the government that are -- go more
8 in-depth.

9 And, Your Honor, we propose that
10 Mr. Murdock just kind of run through it. For me to
11 interrupt and ask questions in between, it's going to
12 hinder the time frame, so to speak. I think it will
13 be much more succinct and expedient if I just allow
14 him to run through that little presentation, and then
15 I will follow up with questions.

16 THE COURT: Do you have any comment on
17 that?

18 MR. BURT: Your Honor, as long as it's not
19 into some long narrative that has nothing to do with
20 issues before the Court. And if that's what it
21 evolves into, I will object.

22 THE COURT: You'll bring it to our
23 attention, I'm sure.

24 MR. BURT: Yes.

25 THE COURT: All right. That's fine. We

1 can proceed in that manner. If it turns out to be
2 something that's maybe not workable we might have to
3 readjust. But I will -- we'll proceed in that
4 manner.

5 MS. MOTT: Okay. Thank you, Your Honor.

6 THE WITNESS: So I assume, since a copy of
7 this in some form will be an exhibit, that it --
8 would it be all right if, on some of these slides, I
9 simply summarize what's on there?

10 BY MS. MOTT:

11 Q. Yes. I think that would be more expedient.

12 A. Good.

13 Q. Absolutely. And it is Exhibit 48, and that is
14 in Binder Number 4.

15 A. All right. This is Slide Number 2. And as it
16 says, some of the material in this program was
17 provided by the Scientific Working Group for Firearms
18 and Toolmarks, and that's SWGGUN. And that's to
19 assist examiners in describing the basis of what we
20 do.

21 I modified the presentation, however. I
22 have added some specific things to make it clearer,
23 in my opinion. And I did that on August 17th of this
24 year.

25 Next.

1 So the basic outline of things that I'm
2 going to cover debates a simple overview of science
3 and forensic science, some of the fundamentals of
4 firearm and toolmark ID.

5 I will discuss how we, in our opinion, meet
6 the Daubert criteria, and then I'll summarize.

7 I'll talk about what science is. It's the
8 scientific method, and what is forensic science.

9 In my opinion, science is simply a
10 systematic way to gather knowledge. It's -- you
11 observe, you identify, and describe things. It's an
12 experimental investigation, and then you develop
13 theories.

14 The scientific method is a process, so that
15 when you're investigating a problem you know where
16 you are, where you've been, where you're going. And
17 when you get near the end you should know that you
18 are near the end.

19 So basically, the method is as listed here.
20 You are -- you start out by investigating a problem.
21 And the problem could be anything. For example, was
22 the cartridge case fired in this particular firearm
23 that was submitted?

24 And you develop a hypothesis. All a
25 hypothesis is is a tentative explanation for

1 something. It can be proven right or proven wrong.

2 And then proceed to test that hypothesis.

3 If, by testing, you've proved it wrong, you go back
4 and you reformulate another tentative explanation and
5 you begin the -- you -- you begin the testing process
6 again.

7 If, at the end of your testing, you have
8 proved your hypothesis, and if the hypothesis can be
9 proved by other researchers duplicating what you have
10 done, in the end you can formulate a theory.

11 And theories are used to predict events of
12 a similar nature.

13 Next.

14 The theory must be testable and it has to
15 be validated through the testing of the propositions
16 upon which our science is based.

17 Forensic science is just the application of
18 science to law.

19 Fundamentals of firearm and toolmark, I'm
20 going to go over these. I'm going to define some
21 things, talk about fundamental propositions one and
22 two.

23 I'm going to talk about how we do our work
24 and what our range of conclusions can be.

25 The subject of firearm and toolmark

1 identification is simply an empirical -- which means
2 it's done by experimental comparison -- comparative
3 analysis that can determine if a striated scratch
4 mark or an impressed mark was produced by a
5 particular tool to the practical, but not the
6 absolute, exclusion of other tools.

7 The tool. Basically, a tool is the harder
8 of two objects that come into forceful contact with
9 one another, and it results in the softer one being
10 marked. To us, the firearm is just a collection of
11 tools, and I will show some of those tools in a
12 moment.

13 This is a schematic which shows just a
14 broken-away view of a semiautomatic pistol. The
15 hammer is back in the cocked position.

16 Next.

17 Now you see some views have been made so
18 that you can see them right in the center -- and I
19 inadvertently obliterated what I wanted to point to,
20 but it was the ejector. The ejector was in the
21 middle of that kind of messy-looking circle that I
22 just drew.

23 Next slide.

24 This is the cutaway view of the inside of
25 the barrel on the right-hand side. The firing pin

Page 34

1 would be located back in this position (indicating).
2 Next view.
3 These are tools that are made inside the
4 gun. You have the -- you have the gun barrel, you
5 have the breech face, firing pin, the ejector, and
6 the extractor.
7 Next.
8 Now, those tools are labeled. Those are
9 individual tools, and firearms examiners have to be
10 concerned with the working surface of each of those
11 individual tools, because any one of those tools can
12 be used to make marks on the fired cartridge cases or
13 in the case of the gun barrel on fired bullets.
14 Next slide.
15 The toolmarks are simply features that are
16 imparted on an object that's marked by contact and
17 force from a tool. And there are two main types that
18 we work with: Impressed marks, where it's more of a
19 stamping operation, and striated marks, which are
20 more of a sliding operation.
21 Next.
22 Here's an example of impressed toolmarks:
23 Marks produced when a tool contacts an object with
24 compressive force so it leaves an impression.
25 On the right side of the screen you see

Page 35

1 a -- you see the primer on a fired cartridge case.
2 The firing pin impression is in the center and the
3 marks from the breech face surround it.
4 On the left side you see the edge of a
5 hammer impression.
6 Next.
7 These are striated toolmarks. The lower
8 right-hand corner shows a fired bullet. That's a
9 land impression in the center, and groove impressions
10 are adjacent.
11 On the left side of the screen you see a
12 non-firearm type of toolmark. That can be produced
13 by a screwdriver, for example.
14 Next.
15 Here's another -- this is an overview of a
16 gun. You can see the extractor, the breech face, and
17 the chamber.
18 Now, we're going to focus our attention on
19 the breech face itself. We're going to look straight
20 at it.
21 The next slide.
22 Next slide, Linda.
23 Thank you.
24 This is a breech face. We're looking
25 straight at the breech. The breech face is the

Page 36

1 surface against which a cartilage rests when it's in
2 the gun. When it's fired, it comes back with a lot
3 of force and slams into that surface.
4 The firing pin, which is not visible, comes
5 out of the center towards us and strikes the --
6 strikes the primer.
7 The firing pin aperture is the -- the ring
8 of the opening of the firing pin hole. "Aperture"
9 simply means "opening." And that ring can cause
10 various kinds of marks on the primer.
11 The ejector, in the lower right, that is a
12 stationary piece of metal that strikes the cartridge
13 case and throws it out of a firearm.
14 The extractor -- the extractor is in the
15 lower left, and that grabs ahold -- it's simply a
16 hook that grabs ahold of the rim and helps to remove
17 it. It has its greatest use when you're removing
18 unfired cartridges from the chamber. In firing,
19 actually -- the force of the firing is enough to
20 cause removal in most cases.
21 And the breech face itself is that
22 surrounding metal.
23 Next.
24 This is a diagram that was actually
25 produced by Lucien Haag years ago. And it shows the

Page 37

1 various toolmarks that can appear on a fired
2 cartridge case: Magazine marks in the upper right
3 are caused by the magazine lips that hold cartridges
4 in; ejection port marks; the chamber marks. Starting
5 at the lower left, ejector marks from the ejector
6 that you've already seen; firing pin aperture marks;
7 firing pin impression in the center. The firing pin
8 drag mark will show up at 12:00, and then the breech
9 face marks.
10 We look for all of these marks when we
11 evaluate cartridge cases under the microscopes in the
12 laboratory. There may be some of use, there may be
13 most of them of no use for comparison and ID
14 purposes.
15 Next.
16 These are the helical grooves, or the
17 rifling inside of the gun barrel. And the long view
18 at the top shows a cutaway of the rifling.
19 Next.
20 Marks left on fired bullets. The common
21 calibers are shown there in circles all the way from
22 .22-caliber up to -- up to .45-caliber.
23 The common rifling you can see inside of
24 the gun barrel. You're looking, actually, from
25 either end.

Page 38

1 The bullet, in profile, a cross-section
 2 looks like that.
 3 The side view of the bullets are in the
 4 lower left.
 5 And this diagram is made up by Al Biassoti,
 6 actually, in 1955.
 7 Next.
 8 The science of firearm and toolmark ID is
 9 based on two fundamental propositions: One,
 10 individual toolmarks imparted to objects by different
 11 tools, firearms or non-firearm tools, will but
 12 rarely, if ever, display agreement sufficient to lead
 13 a qualified examiner to conclude the objects were
 14 marked by the same tool.
 15 Next.
 16 Most manufacturing processes involve the
 17 transfer of rapidly changing marks onto pieces that
 18 are made, such as barrel bores, breech faces, firing
 19 pins, screwdriver blades, and the working surface of
 20 other common tools.
 21 This is caused most of the time by wearing
 22 of tools and the formation of chips. Try as they
 23 will in factories -- they try all sorts of
 24 lubrication -- there still occurs the wearing and the
 25 chip formation on surfaces that are produced.

Page 40

1 the factory. These are not determined prior to
 2 manufacture, and they -- they result in a more
 3 restrictive class than the class characteristics.
 4 In our field we have numerous references.
 5 I think there is an exhibit that will be provided
 6 that lists over 90 of those references that provide
 7 guidance to people like me for the evaluation of
 8 subclass influence.
 9 Next.
 10 Here's an example of subclass
 11 characteristics on the -- one side of the jaw of a
 12 pair of bolt cutters.
 13 One of the hallmarks of subclass
 14 characteristics are the continuous evenly spaced
 15 marks, as you see in the exploded view on the
 16 right-hand side of the screen.
 17 Next.
 18 Not every machining process in the factory
 19 produces subclass characteristics. Those that do, do
 20 not always transfer them onto the work pieces that
 21 they fabricate.
 22 When subclass markings are produced on work
 23 pieces, identification by toolmarks may still be
 24 possible right within the subclass features or right
 25 adjacent to them.

Page 39

1 Microscopic marks on tool working surfaces
 2 may then continue to change from wear, corrosion, or
 3 abuse.
 4 Next.
 5 There are three kinds of characteristics
 6 that we work with. The first is class
 7 characteristics. Those are defined as measurable
 8 features of a specimen, and they indicate a
 9 restricted group source. They're designed by the
 10 factory. They are determined prior to manufacture.
 11 For example, here is an example of class
 12 characteristics.
 13 Manufacturers design their rifling in
 14 different ways. They can have different widths,
 15 different angles of twist.
 16 On the right-hand side is a fired bullet.
 17 You see groove impressions marked there and land
 18 impressions. That's a class characteristic.
 19 Next.
 20 Here's a class characteristic of a
 21 screwdriver tip. And that would be the width of that
 22 screwdriver tip.
 23 The subclass characteristics are features
 24 that may be produced during manufacture that are
 25 consistent among some items made by the same tools in

Page 41

1 Next.
 2 Subclass characteristics may be present
 3 near the working edge of tools and yet have no
 4 influence on the production of individual toolmarks.
 5 And they may also be present on the working
 6 edge of a tool, but due to the angle of application
 7 of that tool pitch onto an object marked, no subclass
 8 influenced toolmarks are produced.
 9 Here's some examples.
 10 This is a firing pin.
 11 Next.
 12 A little closer view, and we are going to
 13 look real close at the tip, which is on the
 14 right-hand side.
 15 Next.
 16 Assume that the firing pin, during
 17 manufacture, is rotated in the direction of the
 18 arrow.
 19 Next.
 20 Here is a tool that will create some
 21 circumferential toolmarks on the tip by a lathing
 22 operation.
 23 The next slide should show that moved in,
 24 right like that. So the tool moves in and it cuts
 25 these grooves in the tip of the firing pin, which you

Page 42

1 can see in the next slide.
2 The slide after this.
3 This is the view of the end, the direct end
4 of that firing pin. And you can see those circular
5 marks. Those are potential subclass features, so you
6 can find other firing pins lathed in the same way
7 that will have similar markings.
8 So if an examiner sees a fired cartridge
9 case with these circular marks on the bottom of the
10 firing pin impression, that's a danger sign. Those
11 could be found on another tip of a firing pin.
12 So we would not use those coarse
13 circumferential marks, those circles, for
14 identification purposes.
15 But within those -- I'm sorry, next slide.
16 Here's the circular marks that we would not
17 use for identification purposes.
18 And the next slide.
19 And the next slide.
20 This slide shows red outlined areas of
21 damage. This damage is caused during manufacture due
22 to the chattering, due to the tearing that I
23 mentioned earlier, that can be used for
24 identification purposes.
25 So here's a perfect example of some

Page 43

1 irregularity, some damage that we can use for
2 positive identification of toolmarks, and they
3 coexist peacefully among the subclass
4 characteristics.
5 Next.
6 The next slide.
7 Individual characteristics, the third kind
8 of characteristic we use, are marks or features
9 produced by the random imperfections or
10 irregularities of tool surfaces.
11 You just saw an example of those on the tip
12 of that firing pin. These can be used to
13 individually associate a tool to a toolmark.
14 Next.
15 How are they produced? They're produced by
16 manufacture in the way that I've described.
17 They're produced from wear, from use, from
18 abuse, and from damage and corrosion.
19 Next.
20 Here is an example of individual
21 characteristics from manufacture. The edge of this
22 knife blade, the working edge that you see enlarged
23 on the bottom, has been ground. Grinding usually
24 results in individual toolmarks being produced.
25 Next.

Page 44

1 Here's examples of individual
2 characteristics from wear. Here is a hammer face on
3 the left, and here is abuse.
4 Somebody has used this pair of bolt
5 cutters -- I shouldn't say in a way that was
6 inappropriate, because I have used bolt cutters that
7 I thought were strong, tried to cut a bolt that I
8 should have been able to cut, and in turn all I did
9 was damage the bolt cutter. They were made in an
10 inferior way. They weren't strong enough to do the
11 job.
12 So this kind of abuse can cause
13 individuality to a tool working surface.
14 Next.
15 Here's a hammer face.
16 Next.
17 We're going to be looking in detail at the
18 area of the face itself.
19 Here's the face of that hammer. You can
20 see all the irregularities: The chipping along the
21 edge and all the irregularities on the flat surface.
22 Those weren't manufactured in there. This hammer
23 face was smooth when it was made.
24 Next.
25 On the left we have an impressed mark in

Page 45

1 sheet lead. We use lead a lot for test marking in
2 the laboratory. It was made by that hammer.
3 Now we're going to see the comparison of
4 defects on the hammer face with corresponding
5 defects. It will be a mirror image on the left.
6 Next.
7 Here's one example.
8 Next.
9 Here's another.
10 Next.
11 And next.
12 Here's a fourth example of imperfections --
13 individual detail that have been transferred over
14 onto an object marked. That can be used for positive
15 identification.
16 An example of class characteristics for --
17 for elimination but not individualization, is shown
18 here.
19 The bullet on the left is -- was fired
20 through a gun barrel with right-hand twist.
21 The one on the left -- the one on the
22 right-hand side was with left-hand twist.
23 They're also different diameters. Those
24 bullets could not have been fired in the same gun
25 barrel because the class characteristics are

Page 46

1 different.

2 We use comparison microscopy to evaluate

3 whether or not there's sufficient agreement to make

4 an identification after we rule out subclass

5 influence, because subclass influence must be

6 eliminated in order to make a positive identification

7 of toolmarks.

8 We see, through the comparison microscope,

9 the kind of view that you see on the lower right side

10 of the screen. And we use the kind of comparison

11 microscope you see on left side.

12 That microscope is made by Leica. It's

13 a -- it's a very high-quality instrument. It runs

14 50- to \$60,000, and the optics are unparalleled.

15 Next.

16 We have a range of conclusions when we make

17 our comparisons, and they run from identification, at

18 the top, to unsuitable for examination at the bottom.

19 The second category are inconclusive, and

20 there are three subcategories.

21 And the third category is elimination.

22 The only reason that this says

23 "inconclusive" is because they are conclusions that

24 are less conclusive than an identification.

25 Could you go back to the previous slide?

Page 47

1 I was chair of the committee that fashioned

2 these range of conclusions. And in retrospect, the

3 use of the word "inconclusive" was a poor choice of

4 words because some reports are issued these days, and

5 the examiner just says, "I compared A with B and I

6 found the results to be inconclusive."

7 That's not really what they found. They

8 found something and they should report what they

9 found and not just report inconclusive, because

10 inconclusive demands an explanation. So why not

11 eliminate the word "inconclusive" and just put the

12 explanation in there, which you'll see some examples

13 of in a moment.

14 Next.

15 Identification, at the top of the range

16 hierarchy, is agreement of a combination of

17 individual -- and there's a reason why that's bolded.

18 You can't identify on the basis of subclass features.

19 They have to be unique -- characteristics and all

20 discernible class characteristics, when the extent of

21 agreement is greater or exceeds that which can occur

22 in the comparison of toolmarks made by different

23 tools and is consistent with agreement demonstrated

24 by toolmarks known to have been produced by the same

25 tool.

Page 48

1 Stay on this slide just for a moment.

2 The part where it says "the extent of

3 agreement exceeds that which can occur in the

4 comparison of toolmarks made by different tools" is

5 critical.

6 Because whenever you compare two toolmarks

7 made by different tools, there is a chance that you

8 will always find some agreement. The examiner has to

9 know how much agreement is the best agreement that

10 you can find in toolmarks made by different tools.

11 Because only when you exceed that agreement can you

12 make a positive identification.

13 Next slide.

14 Here's some examples of an identification

15 where the extent of agreement greatly exceeds that --

16 the best agreement in known non-matching toolmarks.

17 Next.

18 There's also sufficient agreement shown

19 here. These are firing pin aperture shear marks, and

20 there are two different ones shown. So you can see

21 the pattern of scratch marks appears different

22 between the left photograph and the right.

23 These photographs were taken on a

24 comparison microscope like the one that you saw.

25 Next.

Page 49

1 These are the inconclusive ranges:

2 A, where you have some agreement of

3 individual characteristics. All the class

4 characteristics agree, of course, but the agreement

5 of individual features is just not enough for an

6 identification.

7 B, all class characteristics agree, again,

8 but you don't have very much agreement or

9 disagreement of individual features for whatever

10 reason.

11 And C, all the class characteristics agree.

12 You have some disagreement of individual features,

13 but not enough to say that they were fired in

14 different guns or made by different tools.

15 Next.

16 Here you have -- in these marks you have

17 some agreement, but there's just not quite enough --

18 you see much more detail on the left side than you do

19 on the right side. If they were made by the same

20 tool it could be -- the difference could be due to

21 variation of pressure.

22 But that's an example of -- that this is

23 not an ID, but there is considerable agreement

24 between those two, and they could certainly have been

25 made by the same tool or working edge.

Page 50

1 Next.

2 An inconclusive B. And even though the

3 class characteristics agree -- this is the length of

4 a land impression -- but you certainly don't have any

5 agreement or disagreement of individual features

6 because there are not many there.

7 Next.

8 Elimination, where you have

9 significantly -- you have different class

10 characteristics or individual characteristics.

11 And I showed you an example earlier of a

12 bullet that had left twists and one that had right

13 twists, so you eliminate that.

14 Next.

15 Here's an elimination. These are both

16 fired cartridge cases, and you can see how different

17 they look. The firing pin aperture that the

18 cartridge case on the right was fired in is

19 rectangular, very typical of Glock pistols.

20 The one on the left, the aperture was

21 round. They were fired in different guns.

22 Next.

23 This is a class characteristic elimination.

24 Here you have the end of a pry bar, and you can see

25 it doesn't bear any resemblance to the width of the

Page 51

1 mark. That's a total elimination.

2 Next.

3 Unsuitable. The item is either too damaged

4 or it's too small. There's simply no usable

5 toolmarks there.

6 Next.

7 The basis for firearm and toolmark ID.

8 We're going to talk about standards of ID, subjective

9 evaluations, what makes an ID possible, and then the

10 significance of conclusions.

11 Next.

12 The theory of ID, as it pertains to the

13 comparison of toolmarks, enables opinions of common

14 origin to be made when unique surface contours of two

15 toolmarks are in sufficient agreement.

16 Next.

17 It's significant when it exceeds the best

18 agreement demonstrated between toolmarks known to

19 have been produced by different tools and is

20 consistent with the agreement shown by tools

21 producing the same -- or marks known to be produced

22 by the same tool.

23 And I've discussed that in detail earlier.

24 In the application of the AFTE theory of

25 ID, which by the way was adopted in 1992, a

Page 52

1 subjective determination of whether sufficient

2 agreement is present for identification must be made

3 by a qualified examiner.

4 Next.

5 Conclusions in toolmark ID are based on a

6 subjective evaluation of the agreement observed

7 between two toolmarks. This does not mean, however,

8 that this kind of evaluation is unreliable or

9 unscientific. There is subjectivity in every science

10 and every test.

11 For example, there's subjectivity when a

12 doctor diagnoses you or a histologist examines slides

13 for cancer cells.

14 Next.

15 What makes an ID possible? First of all, a

16 sound examination method by employing the precepts of

17 empirical research or study in the comparison of two

18 toolmarks.

19 Specialized training to develop cognitive

20 skills. Examiners undergo standardized technical

21 training that develops these skills and allows them

22 to recognize, differentiate, and understand the

23 patterns of marks and their uniqueness or not.

24 Based on propositions one and two,

25 individual associations or identification conclusions

Page 53

1 are possible. These are made to a practical

2 certainty. As it says in the validated AFTE theory

3 of ID, they are not made to an absolute certainty.

4 We don't make an identification to the

5 total exclusion of other guns in the world or of

6 other tools in the world. To do that we'd have to

7 look at all of them, which is clearly impossible.

8 Next.

9 The five prongs of Daubert are as listed:

10 Is what you're going to testify about, is

11 it testable?

12 Is it generally accepted?

13 Has it been subject to peer review and

14 publication?

15 Is there a known or potential error rate?

16 And do you maintain standards of control?

17 Next.

18 The testability aspect requires a critical

19 evaluation process that supports or refutes a

20 hypothesis.

21 Next.

22 What evidence exists to support our

23 science? There are numerous empirical and validation

24 studies of consecutively made tools that have been

25 published over the past 90-plus years.

Page 54

1 There is a good example from 1907 on the
 2 Affray at Brownsville incident. This was reported in
 3 the AFTE Journal. It was a verbatim report. They
 4 had approximately -- I think there were close to 30
 5 fired rifle cartridge cases at the scene. And they
 6 examined many, many rifles and were able to group
 7 them and tell which rifle made those -- or, rather,
 8 they were able to determine which rifle fired which
 9 cartridge cases.
 10 Next.
 11 Here's some examples of consecutive
 12 manufacture studies. These are on gun barrels that
 13 were rifled with cut rifling. This is where tools
 14 are drawn through the gun barrels and actually, the
 15 rifling is cut rather than being formed. And the
 16 author is listed on the left side, and the years of
 17 their study are listed in parentheses following.
 18 Next.
 19 These are some studies -- mine is in here
 20 also. I did it in 1981.
 21 These are on forged rifling. The rifling
 22 wasn't cut, it was formed either by being hammered
 23 against a mandrel or having a hard carbide button
 24 drawn or pushed through so the rifling is formed.
 25 It's a different manufacturing process, so we wanted

Page 55

1 to test empirically whether or not that would result
 2 in individual bullets.
 3 And electrochemical rifling. DeFrance did
 4 that in 2003.
 5 Next.
 6 Consecutive manufacture studies of other
 7 firearms components. The reason we focus on
 8 consecutive manufactured studies is because it is the
 9 worst-case scenario.
 10 You go to the factory and you obtain
 11 consecutive series of various tools or -- or guns,
 12 and you study the effect that those consecutive items
 13 have.
 14 Once again, the author is listed on the
 15 right. The subject is listed, whether it's breech
 16 faces, bolt faces, extractors, and the years are
 17 listed after that.
 18 Next.
 19 These are other tools. These are
 20 non-firearms tools now. Authors on the left, the
 21 subject matter. It runs from chisels to screwdrivers
 22 to bolt cutters, drill bits, knives, pliers, and more
 23 knives. And the years are listed on the right.
 24 Next.
 25 Steel stamps, more chisels, screwdrivers,

Page 56

1 more knives. They focus a lot -- the guys like
 2 knives, so I think that's why they study knives a
 3 lot.
 4 Could you go back to the -- I'm sorry.
 5 Next slide.
 6 So the summary of empirical research.
 7 These studies have been found to support Proposition
 8 2, that I already described. But briefly, it is most
 9 manufacturing processes involve the transfer of
 10 rapidly changing or random marks on the pieces that
 11 they fabricate: Barrel bores, breech faces,
 12 screwdriver blades, et cetera.
 13 Next.
 14 And it is -- let's go back to the previous
 15 slide, please.
 16 And it is all of those studies and more
 17 that form the backdrop for the AFTE theory of
 18 identification that was put together in 19- --
 19 adopted by AFTE in 1992.
 20 So we looked at all of those studies which
 21 have been summarized by Ron Nichols in two papers.
 22 His number one paper is the one that we used, and
 23 those will be introduced as exhibits.
 24 All of those studies either were done in
 25 part or wholly according to the scientific method.

Page 57

1 And it was those studies that formed the backdrop for
 2 the AFTE theory of identification.
 3 Next.
 4 General acceptance. The approval by a
 5 particular authoritative body of a technique or
 6 methodology, in addition to the forensic science
 7 community. Because in my opinion, AFTE is the
 8 relevant -- is the relevant authoritative body in
 9 this field.
 10 There have been numerous colleges and
 11 universities that have courses in firearm and
 12 toolmark ID.
 13 Funding of scientific research in our area
 14 has been granted to researchers outside of our
 15 community.
 16 It's been accepted in court for over 100
 17 years.
 18 And the American Council on Education
 19 awarded college credit to students of the US Army
 20 crime laboratory for their firearm and toolmark
 21 curriculum.
 22 Next.
 23 Some academic programs. There are close to
 24 45 programs listed here. This is a list that was
 25 developed by people that were actually familiar with

1 these institutions and their programs. A lot of it
2 came from the SWGGUN group, whose members were very
3 familiar with these. And there are a number of
4 members in AFTE that actually teach at some of these
5 institutions as well.

6 Next.

7 Grant programs. The NIJ. I mentioned
8 earlier that NIJ funded the AFTE certification
9 program, development program.

10 There is a -- there's a funding group that
11 is similar to NIJ in Belgium.

12 And there's a Canadian police research
13 center in Ottawa that also funds research in our
14 areas:

15 Next.

16 Grant or accepted government teaching
17 programs for firearms ID.

18 The NIJ, in association with the National
19 Forensic Science Technology Center, funded a distance
20 learning program for our field.

21 The FBI, since '86 -- I was actually in
22 that 1986 course. It was a pilot, and they wanted us
23 to evaluate it. We gave it high marks, and maybe
24 that is one reason why it's continued ever since,
25 specialized techniques in firearms identification.

1 The Bureau of ATF, since '99, has offered a
2 one-year course titled the NFEA, National Firearm
3 Examiner Academy. It's a one-year-long program. At
4 the end of that program, however, the person is not
5 ready to begin working in a comparison capacity in a
6 laboratory, but they have had a heck of a good head
7 start. I helped develop that curriculum and taught
8 there for the first five years.

9 California Criminalistics Institute, at the
10 California Department of Justice. I mentioned that I
11 had been with them since 1990, and they offer a
12 variety of courses in firearm and toolmark
13 examination.

14 Next.

15 The Daubert element of peer review and
16 publication. Peer review is simply an evaluation of
17 a colleague's research.

18 Can peer review offer an absolute way to
19 ensure the integrity of scientific research? No, it
20 cannot.

21 Fraudulent articles still slip through the
22 best type of peer review programs. But it's all
23 that -- all that technical publications have. And in
24 my experience with AFTE, they're serious about their
25 peer review process.

1 One of my colleagues, Eric Collins, is a
2 peer reviewer. I help Eric and he puts those -- he
3 puts authors through a great deal of stress and makes
4 sure they get their articles correct.

5 AFTE has had a journal since 1969. It
6 started out early as a newsletter, and then it
7 morphed, appropriately, into a journal.

8 American Academy of Forensic Sciences,
9 since 1942.

10 And the International Association -- the
11 International Association of Identification Journal
12 of Forensic Identification.

13 Firearm and toolmark articles are published
14 in all of these journals.

15 Next.

16 Error rate is the frequency of which one
17 deviates from a correct standard.

18 Errors can occur from a number of sources,
19 and may result in the worst error, which is a false
20 positive error.

21 The ID of a toolmark to a tool when the
22 questioned mark was not produced by that tool.

23 A false negative, elimination of a toolmark
24 as having been produced by a tool when the toolmark
25 was produced by it.

1 Next.

2 The collaborative testing service, CTS, is
3 the main producer of proficiency tests in the
4 United States, and they have been around for a number
5 of years.

6 Here is a summary that Doug Murphy, of the
7 FBI, did from 1992 until the year 2000, and then
8 2003. It's 1.9 percent firearms false positive, and
9 the toolmark false positive was 2 percent.

10 Stay on this slide just for a moment.

11 CTS has been sending samples out ever since
12 1979. They started out with firearms samples. So
13 from 1979 until 2002, the false positive error rate
14 for firearms was 1 percent.

15 They started three years later, in 1981,
16 sending non-firearm toolmarks. And the non -- the
17 rate for -- false positive error rate for non-firearm
18 toolmarks from 1981 through 2002 was 1.4 percent.

19 Next slide.

20 Some validity study error rates. Validity
21 studies are studies where the author prepares samples
22 of various sorts and seeks the services of
23 experienced firearm and toolmark examiners to
24 evaluate those samples, make comparisons.

25 Most of those samples are declared. That

1 is, the people that take the test know that they are
2 being tested.

3 Various degrees of blindness can be
4 inserted into those tests. A true double blind test
5 of any sort, whether it's used by the drug industry
6 or others, is where no one knows that they are being
7 tested and the people that give them the samples
8 don't even know that they are test samples.

9 Those are extremely difficult to administer
10 in working crime laboratories, because working crime
11 labs depend on various kinds of background
12 information to decide what cases they should look at,
13 which samples they should look at first, and so on.

14 So these are declared tests, but they have
15 some blind elements. The people taking the test
16 don't know -- don't know the answers. And they can
17 be further blinded by the fact that the people
18 administering the test don't know the answers either.

19 And furthermore, the test samples can all
20 be different. So no amount of communication between
21 test takers will do them any good at all. So if you
22 let them know that up front, that's one other aspect
23 of blindness.

24 Another aspect is those people that give
25 the -- that hand in the results can never be

1 identified with the particular result that they hand
2 in. So there is nothing to be gained by couching
3 your examination results in vague terms or saying
4 inconclusive because you don't want to run the risk
5 of making an error.

6 So even though these validity studies are
7 most of the time declared, various degrees of
8 blindness can be instituted in the process.

9 The error rates are listed on the right,
10 and they range from zero percent for most, to
11 .78 percent for a Thompson & Wyant study in 2003.

12 Next.

13 The maintenance of standards and controls,
14 which is the last Daubert factor. It provides
15 guidelines and protocols for conducting analytical
16 testing, monitoring quality assurance and controls,
17 and representative documents that are used.

18 Every agency that is accredited by
19 ASCLD/LAB, like I've already -- like I have already
20 described -- has protocols that are usually very
21 detailed.

22 SWGGUN has guidelines.

23 AFTE has produced a technical procedures
24 manual and they have, like I have already mentioned,
25 a theory of ID glossary training manual.

1 Next.

2 Firearm and toolmark identification, in our
3 opinion, meets the reliability standard put forth by
4 the Daubert decision in 1993.

5 It's testable, generally accepted, it's
6 peer reviewed. We have an error rate that is -- it's
7 not the best error rate because there are limitations
8 to CTS proficiency testing, but it's the best that we
9 have.

10 And we do maintain standards and controls.
11 We have protocols that guide the examination process.

12 Next.

13 And last.

14 THE COURT: At this time we'll take our
15 morning recess. It's about 26 minutes after,
16 according to the clock on the wall.

17 We'll be in recess for 15 minutes.

18 (A recess was taken from 10:24 a.m. to
19 10:44 a.m.)

20 THE COURT: Please be seated.

21 We're back on the record.

22 You may proceed.

23 MS. MOTT: Thank you, Your Honor.

24 BY MS. MOTT:

25 **Q. Mr. Murdock, I have a few followup questions**

1 **for you from the presentation that you made. And**
2 **some of them will be basically to expand a little bit**
3 **more on some of the things that you touched on in**
4 **that PowerPoint.**

5 THE COURT: I can't tell if your microphone
6 is working. You might pull it closer to you.

7 MS. MOTT: The light is on.

8 THE COURT: Okay.

9 MS. MOTT: Is that better?

10 THE COURT: Marginally, not really.

11 If you can try to speak into the
12 microphone. Thank you.

13 MS. MOTT: I will do that. Thank you.

14 BY MS. MOTT:

15 **Q. At one point you said that examinations must be**
16 **made by a qualified examiner.**

17 **Can you explain exactly what you're talking**
18 **about by a qualified examiner? Is that one that has**
19 **been trained in the AFTE theory of identification**
20 **and/or certified by AFTE?**

21 A. Well, you don't have to be certified by AFTE to
22 be a qualified examiner. There are a number of very
23 skillful qualified firearm and toolmark examiners
24 that have not chosen to go forward with the
25 certification.

1 It means that a person has gone through the
2 program of study and training and has successfully
3 completed a series of proficiency tests that allows
4 them to be -- to be offered up, or allows them to be
5 certified to do casework.

6 **Q. You also mentioned learning about how tools are
7 manufactured. Is that something that is important
8 for a firearms examiner, to actually go to a
9 manufacturing plant, see how things are manufactured,
10 and possibly talk to those who manufacture?**

11 A. You can certainly do that. But there is a lot
12 of very good -- very good illustrative material
13 that's been printed and written on manufacturing
14 processes. So you don't really have to go to a
15 factory to learn those things.

16 You could -- but you should study about the
17 types of machining operations that are used to finish
18 the working surface of tools. That's the important
19 part.

20 **Q. Very good.**

21 **Now, one thing that you discussed -- you
22 discussed before, and then discussed in the
23 PowerPoint, was literature and the publication and
24 peer review of literature.**

25 A. Yes.

1 And so at first, they had -- they had a
2 bring-us-your-huddled-masses kind of mentality. You
3 know, send us anything. We don't care how badly it's
4 written, we just want information. We will take it,
5 we will mold it, we will get that rough piece of clay
6 and we will fashion it into something that's
7 presentable, and then that will appear in the AFTE
8 newsletter.

9 That has evolved into a much more formal
10 process with requirements for the type of documents
11 that should be submitted, the kind of computerization
12 that should have been used.

13 And then they have a much more elaborate
14 system of editors, where they have some -- they have
15 one main editor and they have some associate editors,
16 and then they have people down in the hierarchy below
17 them. But it remains -- it remains AFTE members that
18 edit according to that formal process.

19 And I know that there are other journals
20 that have some editing done by people outside of
21 the -- of the profession. And I know that some other
22 editing can be much more cutthroat. For example,
23 people doing certain kinds of research for -- for
24 cancer, for example, whoever comes up with a cure
25 will be heralded as somebody that has done something

1 **Q. You also discussed the AFTE Journal --**

2 A. Yes.

3 **Q. -- and mentioned several other journals that
4 deal specifically with this field.**

5 **And those are all peer-reviewed
6 publications. Is that right?**

7 A. Yes.

8 **Q. And is that a formal process, in terms of the
9 peer review of publications?**

10 A. Well, when -- when peer review is associated
11 with publications, with the journal, it certainly is
12 a formal process. And the process can vary
13 extensively, depending upon -- upon the process.

14 I know AFTE's peer review process has gone
15 through an evolution.

16 And at one point, I think it was -- his
17 name is Dominic Denio, D-E-N-I-O. He published, in
18 the AFTE Journal, a chronology of the peer review
19 process.

20 And so it's gone from very informal -- when
21 AFTE started out, there were some folks in the
22 industry that thought, well, this journal is not
23 going to last. I mean, how much do these guys have
24 to write? They're going to write a few articles and
25 nobody is going to be submitting anything.

1 really special.

2 And so those people that write and do
3 research in that area have a lot of their papers
4 edited by people doing competing research, and so
5 they are extremely critical.

6 But my experience with the AFTE editor
7 process is that they are very critical, also,
8 especially since -- since Daubert. Because -- and
9 I'm glad that the Daubert criteria were formalized,
10 because I think for far too long, people --

11 MR. BURT: I object at this point. I think
12 we're into a narrative that's not responsive.

13 THE COURT: If you would, just try to focus
14 on the question that was asked.

15 THE WITNESS: Yes, I will. Thank you.

16 BY MS. MOTT:

17 **Q. Well, let me follow up on a couple of things.**

18 **One, obviously, your PowerPoint
19 presentation is designed to talk about the factors of
20 Daubert.**

21 A. Right.

22 **Q. Now, you've also written regarding that and the
23 firearm and toolmark field. Isn't that right?**

24 A. Yes.

25 **Q. And what have you written, in terms of**

1 specifically addressing Daubert? And I believe it's
 2 entitled "Firearm and Toolmark Identification,
 3 Meeting the Daubert Challenge." Is that right?
 4 A. Yes.
 5 **Q. And that, for the reference, is Exhibit 3 in**
 6 **Binder Number 1.**
 7 **And I'd like to draw your attention to**
 8 **page 6 on that -- in that article, and it discusses**
 9 **the premises put forth.**
 10 **Could you explain a little bit about that**
 11 **and how you -- I know you briefly went over it in the**
 12 **PowerPoint -- but in a little more detail?**
 13 A. Yes, I will. I'll be glad to do that.
 14 This paper was written by myself and
 15 co-author Richard Grzybowski. Richard spells his
 16 name G-R-Z-Y-B-O-W-S-K-I.
 17 We wrote this in the AFTE Journal in
 18 winter, 1998.
 19 On page 6 we have repeated what Michael
 20 Saks -- spelled, S-A-K-S -- said that we had to do to
 21 meet the Daubert challenge.
 22 Michael Saks is -- he's an academic, but he
 23 is -- he has a very keen intellect. He's been the
 24 editor of our chapter in "Modern Scientific Evidence"
 25 for all of these years, ever since 1997. And he

1 one and only object that produced them.
 2 **Q. And so your co-authorship of this article, was**
 3 **that just based on you two and your experience and**
 4 **training, or did you actually look at other studies**
 5 **and make a response to those three premises?**
 6 A. No. This article by Grzybowski and Murdock was
 7 based on -- this is our view.
 8 **Q. Based on your however many years of training**
 9 **and experience and knowledge?**
 10 A. Yes. Based on our -- at that time it was
 11 probably 60 years' worth of training and experience.
 12 **Q. And what was your response to those three**
 13 **premises?**
 14 A. Well, with regard to Premise Number 1, through
 15 our knowledge of the effect of manufacturing
 16 processes on class and subclass features, we felt
 17 that we are able -- examiners are able to determine
 18 whether or not unique individual features, one of a
 19 kind, are present on tool working surfaces. So in
 20 that way, we meet his first premise.
 21 Premise Number 2, by determining that
 22 unique working surfaces of tools leave reproducible
 23 toolmarks, we determined that objects leave unique
 24 traces of themselves. Trace is synonymous with
 25 toolmarks, and so we -- we meet his second premise.

1 has -- he has held the comparative evidence field
 2 feet to the fire all during that time.
 3 He's been a critical commentator. But at
 4 the same time he has -- he was -- he did a very
 5 constructive job of editing the chapter that Biassoti
 6 and I wrote in '97.
 7 So I think he recognizes the value of what
 8 we do, he just wants to ensure that we do it right
 9 and that the results are reliable.
 10 Saks described in detail what we should do
 11 to meet the Daubert challenges. He said our ability
 12 to do that would depend on whether we meet three
 13 premises. And so in this paper, Richard and I listed
 14 his three premises and then stated why we felt that
 15 we could -- we meet them.
 16 Should I go over those?
 17 **Q. What are each of the premises?**
 18 A. Premise Number 1 is that many kinds of physical
 19 entities exist in a unique one-of-a-kind form.
 20 Premise Number 2 is that they leave
 21 correspondingly unique traces of themselves.
 22 And Premise Number 3 is that the techniques
 23 of observation, measurement, and inference employed
 24 by the forensic identification sciences are adequate
 25 to link these traces, that is, toolmarks, back to the

1 In his third premise, we meet it in two
 2 ways. A lot of the comparison of toolmarks is done
 3 by an examiner's ability to recognize agreement,
 4 similarity, and patterns. And that's called --
 5 that's generally referred to as pattern matching.
 6 And through training and experience,
 7 arduous comparisons of known non-matching toolmarks,
 8 examiners build up in their own mind what it takes to
 9 make an identity of toolmarks.
 10 There are other people that quantitate the
 11 amount of agreement that they see in striated
 12 toolmarks. Remember, I said there were two kinds of
 13 toolmarks, striated and impressed.
 14 Those people that quantitate the agreement
 15 that they see in striated marks have an additional
 16 feature, a quantitative feature, that they can use to
 17 satisfy the third premise.
 18 **Q. Very good.**
 19 **Now, I believe you wrote another article**
 20 **with Mr. Grzybowski. And I know I'm probably not**
 21 **pronouncing his name exactly correctly.**
 22 **And I believe that was "Firearm and**
 23 **Toolmark Identification, Passing the Reliability Test**
 24 **Under Federal and State Evidentiary Standards."**
 25 **Is that also an article that your name is**

1 upon?
 2 A. Yes. My name is on the article, along with
 3 Richard's, but there are four other names. And it's
 4 important that I mention those names.
 5 **Q. And just for reference, that is Exhibit**
 6 **Number 5 in Binder 1.**
 7 A. And those names are Bruce Moran, M-O-R-A-N; Ron
 8 Nichols, N-I-C-H-O-L-S; and Robert Thompson,
 9 T-H-O-M-P-S-O-N.
 10 **Q. And why are those people important to mention?**
 11 A. Because they're co-authors, and they deserve to
 12 be mentioned.
 13 **Q. What are their fields of expertise?**
 14 A. They're all firearms and toolmark examiners.
 15 **Q. And all of those have also written extensively**
 16 **in other areas of the field?**
 17 A. They have.
 18 **Q. And in fact, has Mr. Nichols published a review**
 19 **of the literature in the field?**
 20 A. Yes. He's done -- he's done two or three
 21 reviews over the years.
 22 **Q. And so is there a part one, part two, and part**
 23 **three?**
 24 A. Well, there's a part one and two, certainly.
 25 But he's written some other reviews that are not

1 A. I am, indeed.
 2 MS. MOTT: And for reference, Your Honor,
 3 it is Exhibit Number 6 in Binder 1.
 4 BY MS. MOTT:
 5 **Q. And if you know, sir, what was that article --**
 6 **well, let me rephrase that.**
 7 **What was the significance of that article?**
 8 A. After the 2009 NAS report, there were some
 9 criticisms that were leveled at our field, saying
 10 that it was not scientific and that the result should
 11 not be admitted into courts. And that was -- there
 12 were about two or three people.
 13 Ron wrote an article responding to those
 14 criticisms in a professional way, and that was
 15 published in the California Association of
 16 Criminalists newsletter.
 17 Subsequent to that, he wrote the article
 18 that you just referred to, that was published in the
 19 Journal of Forensic Sciences. And he responded
 20 specifically, I believe, to the criticisms of Adina
 21 Schwartz, who the Court will hear from tomorrow, I
 22 believe.
 23 **Q. Now you mentioned within that, the scientific**
 24 **method, so let's -- let's kind of back up and let's**
 25 **talk about that a little bit, which you also**

1 being introduced here.
 2 **Q. The ones that I am discussing, part one and**
 3 **part two, what does that encompass, if you know?**
 4 A. In part one and part two, he summarized the
 5 empirical studies and some validation studies. He
 6 also summarized some -- some theoretical and some
 7 mathematical studies that have been done in our
 8 field.
 9 The first part one article he wrote before
 10 we formulated the AFTE theory of ID.
 11 **Q. And that, for reference, is our Exhibit 50.**
 12 **And that is in Binder 4.**
 13 MS. MOTT: And I apologize for it being a
 14 little bit out of place, but that was something that
 15 we discussed late on. And it was included because of
 16 its importance, according to Mr. Murdock.
 17 BY MS. MOTT:
 18 **Q. Is that correct?**
 19 A. Yes.
 20 **Q. Now, Mr. Nichols has also written another**
 21 **article that has come to numerous Courts' attention,**
 22 **and that is "The Scientific Foundations of Firearms**
 23 **and Toolmark Identification and Response to Recent**
 24 **Challenges."**
 25 **Are you familiar with that article?**

1 **discussed in your PowerPoint.**
 2 **And one of the things that you discussed**
 3 **was the AFTE standards and the AFTE theory of**
 4 **identification. And in terms of that, are the**
 5 **principles and the theory behind this, and that are**
 6 **reflected in the theory of identification, how does**
 7 **that follow the scientific method?**
 8 A. The studies that led up to that, the creation
 9 of the AFTE theory of ID, were done either wholly or
 10 in part by adhering to the scientific method.
 11 A lot of those studies were summarized by
 12 Ron Nichols in his part one article, but certainly
 13 not all of the studies. He doesn't list -- I mean,
 14 that is not an exhaustive list of them. Those are
 15 just the ones that he -- he elected to -- to cite and
 16 describe.
 17 And the reason he described them, although
 18 he encourages everybody to go back and look at the
 19 original articles, he -- he summarized them so it
 20 could serve as a shortcut for somebody, examiners
 21 mainly, wanting to get an idea of what had been done
 22 in the past.
 23 And so Ron -- Ron is a good writer, he's a
 24 very bright guy, and so he wrote -- he wrote
 25 summaries, and not just listing those articles. And

1 he wrote what he thought the significance was of
 2 those articles.
 3 And -- but he did encourage -- he's a
 4 strong proponent of anyone going back to the original
 5 citation and reading the original articles.
 6 So it was -- the AFTE theory of ID, simply
 7 put -- that was -- that was formulated by a committee
 8 that I chaired. And we simply put into words what
 9 the examiners wound up doing at the end result of all
 10 of those -- all of those studies.
 11 We made a written description of what we
 12 thought the basis for our IDs were. And we wrote
 13 that because we felt very confident, and we still do,
 14 that we were justified in expressing it in the way we
 15 did.
 16 **Q. And as such, is that a scientific method?**
 17 A. The scientific -- no, it is not a scientific
 18 method.
 19 **Q. Okay.**
 20 A. The scientific method was adhered to, and
 21 that's what led up to the formation of that theory of
 22 ID.
 23 **Q. All right. Now, you have another article that**
 24 **you have written in terms of explaining the**
 25 **application of that scientific method.**

1 A. Yes.
 2 **Q. And that is -- I believe also references "Zen**
 3 **and the Art Of Motorcycle Maintenance"?**
 4 A. It does.
 5 MS. MOTT: And for reference, that's
 6 Exhibit 18 in Binder 2.
 7 BY MS. MOTT:
 8 **Q. Can you explain how that article came about,**
 9 **and what relation are we talking about here?**
 10 A. Well, I read the book entitled "Zen and the Art
 11 of Motorcycle Maintenance," mainly because I really
 12 like motorcycles. I have all my life.
 13 And in one of the author's chapters he
 14 describes the use of the scientific method in
 15 evaluating why a motorcycle won't run.
 16 And I was struck by the simplicity and the
 17 straightforwardness of his description of examining
 18 the electrical system in a motorcycle, for example,
 19 and his expression of the need to thoroughly
 20 document.
 21 So as the author said, "You need to know
 22 where you are, where you've been, where you're going.
 23 And when you get there, you need to know if you have
 24 arrived."
 25 And that is what we do in the laboratory.

1 Thorough documentation is one of the hallmarks of a
 2 quality firearm and toolmark examiner.
 3 And so I looked at his description. And
 4 the guy that I team teach with, Bruce Moran, and I
 5 wrote up what is Appendix Number 2 in the article
 6 that you have already described, "Firearm and
 7 Toolmark Identification, Passing the Reliability Test
 8 Under Federal and State Evidentiary Standards."
 9 So our separate article appears as Appendix
 10 Number 2, and we put in there a chart illustrating
 11 the steps in the scientific method as the author
 12 outlined them in his book.
 13 And the first column describes the use of
 14 the scientific method in determining why a lamp won't
 15 go on. So everybody -- everyone in this room uses
 16 the scientific method when they investigate problems
 17 like that. And I could go through the scientific
 18 method and describe how you determine why a lamp
 19 won't go on.
 20 The second column is a description of the
 21 normal case in a laboratory.
 22 The third column is what led up to the
 23 formation of the AFTE theory of ID.
 24 And the fourth column is a discussion of
 25 criteria for identification.

1 And criteria for identification of
 2 toolmarks is a -- is a consideration that is separate
 3 from all of those studies that support the field of
 4 forensic firearm and toolmark identification as a
 5 legitimate scientific enterprise.
 6 **Q. Maybe it would be helpful to go through, not**
 7 **necessarily step-by-step in the table, but what a**
 8 **firearms examiner would be looking for and how, then,**
 9 **that method applies in a scientific way.**
 10 A. Well, you can start off by defining a problem,
 11 which is the first thing in the scientific method.
 12 And one of the problems could be the
 13 example that I used here: Did this gun fire this
 14 bullet? Was this bullet fired through the gun barrel
 15 of this gun?
 16 And you could formulate a tentative
 17 explanation, which is your hypothesis, which could
 18 be, no, it did not.
 19 The best hypotheses, in my opinion, are
 20 expressed negatively.
 21 **Q. And why is that, sir?**
 22 A. Because it allows an examiner to step back a
 23 little bit from the potential influence of contextual
 24 bias.
 25 **Q. And when you say contextual bias, what do you**

1 mean?

2 A. There is a potential for somebody working in a
3 forensic laboratory, especially if it's a public
4 service laboratory, to receive information that might
5 suggest that the detectives feel that somebody is
6 beyond a doubt guilty.

7 And that context, if you let it happen,
8 could influence -- has a potential to influence your
9 comparative results. Gee, if this person says -- and
10 this is a trustworthy person -- says that this person
11 is beyond a doubt guilty in his opinion, maybe this
12 is the real gun.

13 So I think it's very healthy. It's the
14 healthiest way to approach a forensic case, is to do
15 it from a negative standpoint.

16 Q. Okay.

17 A. So your hypothesis in this case would be no,
18 this gun didn't fire this bullet.

19 So then you perform experiments to test the
20 hypothesis. You identify the caliber of the gun and
21 the questioned bullet, and you compare the two to see
22 if they are similar calibers. In other words, if the
23 class characteristics agree.

24 And your predicted outcome is usually
25 expressed in the form of if/then statements. If the

1 gun is a different caliber than the bullet, then the
2 gun did not fire the bullet. So you observe the
3 results of your experiment.

4 The gun is chambered for a 9mm Luger, and
5 the bullet is also a 9mm Luger caliber.

6 So the conclusions from the results of the
7 experiment are, since the gun and the bullet are the
8 same caliber, the gun can't be excluded as having
9 fired the bullet. Your hypothesis is, therefore,
10 proven false.

11 So you go back to the drawing board and you
12 form a new tentative explanation, hypothesis, which
13 is: If the gun and bullet are the same caliber, the
14 gun did not fire the bullet because the rifling class
15 characteristics are different.

16 Again, you're trying to rule it out, now,
17 by class characteristics.

18 You perform the experiment that tests the
19 hypothesis by comparing the rifling impression class
20 characteristics on the test bullets to the questioned
21 bullet.

22 The predicted outcome, if/then, would be:
23 If the rifling class characteristics are different,
24 then the gun did not fire the bullet.

25 And the observed results: The test bullets

1 exhibit five land and groove impressions and the
2 questioned bullet has six.

3 The conclusion: The gun did not fire the
4 questioned bullet. The new hypothesis is, in fact,
5 supported by the results of the experiment.

6 Q. Okay.

7 A. And that's the end of the inquiry.

8 Q. Very good.

9 I know you also discussed -- and briefly,
10 in some of the description that you gave, the
11 different interpretations, identification,
12 individualization, and conclusive elimination.

13 How long, in this field, has this process
14 been going on?

15 How long have people who have been firearms
16 examiners done this process? Not necessarily AFTE
17 theory of identification, obviously, because that
18 came into actual being in the '90s. But...

19 A. You're talking about the range of conclusions?

20 Q. The range of conclusions and examinations, such
21 as what you've described. How long has that been
22 going on in this field?

23 A. Well, you've really asked me two questions.

24 Q. Two questions?

25 A. The kinds of examinations that I have described

1 have been going on that way since the examinations
2 began. But that's how -- that's the thought process.

3 Now, I'm sure that there are examinations
4 performed where somebody on the bench does not say to
5 themselves, "Okay. I'm going to follow the
6 scientific method. What's my" -- they just start out
7 and they do it, and it's a continuum.

8 But if you break it down, they're following
9 the scientific method like I just described. So that
10 process has been around for a long time.

11 The range of conclusions, however, in
12 addition to the AFTE theory of ID, the committee that
13 I chaired, we formulated the AFTE range of
14 conclusions. So it appeared, in the form that it
15 exists now, for the first time in the early '90s.

16 Q. And that was designed to standardize the
17 terminology?

18 A. To standardize the range of conclusions, yes.

19 Q. Now, you said -- the previous question.

20 "For as long as there has been
21 examinations" --

22 A. Yes.

23 Q. -- "that's the way it has been done."

24 A. Yes.

25 Q. Can you give us a reference? Is that 75, 100

1 years?
 2 A. It's probably 100 years plus.
 3 **Q. And kind of jumping back to literature, has**
 4 **there been a history of firearm and toolmark**
 5 **identification that has been written and published?**
 6 A. Yes.
 7 **Q. And was -- who was that by? Was that James**
 8 **Hamby?**
 9 A. Well, Hamby and Thorpe, they did write a very
 10 nice -- it was a history of our field, yes.
 11 **Q. And I take it there were others?**
 12 A. There have been others that have seen fit to
 13 summarize the history when they were writing, really,
 14 about something else.
 15 **Q. All right. Well, James Hamby, for reference,**
 16 **that article is Exhibit Number 7 in Binder 1. And I**
 17 **believe -- I'm going to say for the past 75 years,**
 18 **when that was published in 2008. Does that sound**
 19 **right?**
 20 A. Yes.
 21 **Q. And does that article also discuss what you've**
 22 **been talking about the whole morning?**
 23 A. In general, yes. It's a very -- it's a
 24 step-by-step -- it's an excellent history. It was
 25 part of his Ph.D. thesis from Strathclyde University

1 people will load a cartridge, decide they don't want
 2 to fire it, so then they manually pull the slide back
 3 and eject the unfired cartridge.
 4 And you also saw a schematic of tools that
 5 are inside of a firearm. There's probably 8 or 10 or
 6 12 different tools, different tool working surfaces,
 7 that can have the potential to mark on cartridge
 8 cases or cartridges.
 9 So we look at the base of a cartridge or
 10 cartridge case -- let's limit it to fired cartridge
 11 cases, because those usually have the most toolmarks
 12 on them.
 13 You look at the very base. And you can
 14 have a firing pin impression usually somewhere near
 15 the center of the primer. You can have marks from
 16 the breech face. There's a very forceful contact
 17 during firing between the flat cartridge case base
 18 and the surface it rests against, which is the breech
 19 face.
 20 And then you have the extractor, the
 21 extractor claw, or hook, that's over the rim of a
 22 cartridge case. And then when it's fired, that has
 23 to move out and over. And when the cartridge is
 24 chambered, it moves up and into position, so it has
 25 the potential to make marks both during chambering

1 in Scotland.
 2 **Q. Very good.**
 3 **Now, let me go back to your PowerPoint and**
 4 **some of the things that you have discussed and that**
 5 **you mentioned on the stand here.**
 6 **And one of those two things was striae and**
 7 **impression marks.**
 8 A. Yes.
 9 **Q. Can you give us a little more in-depth, I**
 10 **guess, description of what exactly you're talking**
 11 **about, for example, with impression marks?**
 12 **Where are we going to see those? Where**
 13 **would an examiner find those most commonly when they**
 14 **are doing an examination?**
 15 A. Do you want me to limit it to firearms
 16 evidence?
 17 **Q. For the purposes of this hearing, yes.**
 18 A. Well, one of the slides that I showed was a
 19 schematic which showed toolmarks that are possible on
 20 a fired cartridge case.
 21 You can also get some of those same kinds
 22 of tool marks on unfired -- unfired cartridges that
 23 are simply worked into and out of the action of, for
 24 example, the semiautomatic pistol.
 25 Because for whatever reason, sometimes

1 and during extraction.
 2 And then you have the ejector. The ejector
 3 is that stationary piece of metal that I showed an
 4 example of. And when a cartridge case is ejected,
 5 the slide moves the cartridge case back until it
 6 strikes that ejector, which causes the cartridge case
 7 to start to pivot out of the gun, and it pivots
 8 around the lip of the extractor.
 9 The hole surrounding the firing pin is
 10 called a firing pin aperture edge. The opening
 11 itself is the aperture, but the edge.
 12 In a semiautomatic -- in one type of
 13 semiautomatic pistol, it moved -- the slide, after
 14 firing, moves back a short distance to where the
 15 barrel and the slide are locked together for
 16 strength. And then the barrel drops down and
 17 unlocks. Well, the cartridge case is still in --
 18 it's still in the back of the gun barrel, it's still
 19 in the chamber, so it slides.
 20 The primer is softer metal and it extrudes,
 21 or is pushed back a little bit, into the firing pin
 22 opening. And it's pushed back in there, and then
 23 when the gun barrel drops, some of the pushback is
 24 sheared off.
 25 And so there's a firing pin aperture shear

1 mark, it is called. And there's no subclass
2 influence from the edge of that aperture. And so
3 those marks that are produced can be readily
4 identified and they are very prominent, quite often.

5 Most of the time you have Glock-type
6 pistols. The Smith & Wesson Sigma is another
7 model -- S-I-G-M-A, is the model of the Smith &
8 Wesson pistol -- that can leave very similar marks.
9 And it's the rectangular firing pin impression that I
10 showed on one of the slides.

11 The firing pin itself can have defects that
12 can be identified in the bottom of the firing pin
13 impression.

14 And then you can have a firing pin shear
15 mark, to where the firing pin is still protruding
16 into the impression when the cartridge case drops
17 during unlock, and you have a teardrop-shaped mark
18 occurring at the 12:00 position.

19 So there's a whole host of marks that are
20 possible to be -- have the potential for comparison
21 and identification with a particular tool working
22 surface.

23 **Q. Now, those are all impression marks that you
24 have just described. Is that right?**

25 A. No. Some of them are striated marks.

1 **Q. And can you differentiate those for us?**

2 A. So the firing pin mark that goes straight in,
3 that's an impression mark.

4 **Q. Okay.**

5 A. The firing pin -- the shear, that is the mark
6 that's at 12:00, the teardrop-shaped mark, that's a
7 striated toolmark.

8 The firing pin -- the breech face mark is a
9 stamping mark, so that's an impression.

10 The ejector mark is usually an impression
11 but can be a combination of an impression, and then
12 as the case moves it slides across the ejector. So
13 it is not uncommon to have an ejector mark that's a
14 combination of a compressed mark and a striated mark.
15 In fact, it is fairly common.

16 The firing pin aperture mark, usually it's
17 a striated mark. It's the shear mark. But sometimes
18 there can be small protrusions around the mouth of
19 the -- of the firing pin opening that can actually
20 impress themselves into the priming material, so
21 that's an impressed mark.

22 But in the latest AFTE Journal there's a
23 very good article from those two authors from Israel
24 that I described earlier. And they describe how that
25 when some cartridges are being loaded into the

1 chamber, the edge of the rim on the base rubs against
2 those small protrusions around the edge of the firing
3 pin opening. And actually, you get a very nice
4 striated mark right at the edge on the base.

5 **Q. And I believe you said that these are all marks
6 that you can make an identification on.**

7 A. They have the potential. Sometimes you can
8 have a firing pin impression that is virtually
9 smooth, and it has no potential for positive
10 identification.

11 Sometimes breech faces are so incredibly
12 smooth that they don't leave hardly any identifiable
13 marks.

14 Sometimes breech face -- breech face
15 surfaces are so coarse that if you even work a
16 cartridge through the action, the coarseness marks in
17 an identifiable way on unfired cartridges.

18 So it all depends on the nature of the
19 working surface and the interaction between the
20 object marked, that is the cartridge case, and the
21 particular tool working surface that we're talking
22 about.

23 So one of the things that an examiner does
24 is, he evaluates every fired cartridge case that they
25 want to look at for the potential. Are there marks?

1 Where are they? Which ones?

2 And they make -- usually will make some
3 sort of a record of that in their notes.

4 **Q. And are those all individual?**

5 A. They have the potential to be individual, yes.
6 There can also be some subclass marks that are
7 transferred over.

8 For example, on the firing pins that I
9 showed the example of, should an examiner see those
10 kind of circular marks that I had in one of my
11 slides, that would signify very clearly that there's
12 a potential for subclass influence. And if you hope
13 to identify that firing pin impression, you better
14 find some irregular detail that you think is unique.

15 Breech faces also have the potential to
16 have subclass markings.

17 There's a really good article by Gene
18 Rivera in the AFTE Journal, and it was on Smith &
19 Wesson pistols. And he showed very clearly that
20 there were subclass features. But those marks on
21 those breech faces have the hallmarks of subclass
22 influence. They were marks that started on one side
23 of the breech face and continued in a parallel
24 fashion, virtually unchanged, all the way over to the
25 other side.

1 That's a red flag. The examiner sees that,
2 right away the lightbulb goes on and they think, "I
3 think I may be dealing with subclass features here."

4 **Q. And you've mentioned before that those need to
5 be eliminated before you can make an identification.**

6 A. Correct. You cannot identify a toolmark if
7 there's subclass influence present.

8 **Q. Now, has there been, also, numerous studies on
9 subclass?**

10 A. There have been a lot of papers written that
11 describe various types of subclass influence. And
12 Ron Nichols has authored a list. I think there's in
13 excess of -- about an eight-page list. There's an
14 excess of 90 of those references that he lists there.

15 The article that I just described by Gene
16 Rivera is an example of an article that -- he
17 discovered this subclass influence, he took
18 photographs, he published that.

19 Why did he do that? Not just to gain
20 individual recognition, which he certainly did
21 because he published it, but to let people like me
22 know of the existence of that.

23 So it's -- so it's a warning sign.

24 **Q. And in fact, isn't there a bibliography that
25 was done on all of those studies in terms of**

1 **subclass?**

2 A. Yeah. That is the eight-page list by Nichols
3 that I described.

4 MS. MOTT: And for reference, that is
5 Exhibit 19 in Binder 2.

6 BY MS. MOTT:

7 **Q. Now, I know we've talked a lot about subclass
8 and identification and all of that. And then,
9 obviously, a number of studies on subclass.**

10 **Have there also been studies in regards to,
11 for example, consecutively manufactured firearms, and
12 if there's able to be an identification -- or excuse
13 me -- or if there's able to be discernible individual
14 markings that differentiate consecutively
15 manufactured firearms.**

16 **Are there studies on that?**

17 A. Well, there usually aren't studies on
18 consecutively manufactured firearms. Because the way
19 that manufacturers assemble firearms, they can
20 assemble them from a number of parts.

21 They make parts, they put the parts in
22 bins, and then -- and then factory workers will get
23 extractors and firing pins from these different bins.

24 We focus on the individual tools that are
25 contained inside of the firearm. And we go to a lot

1 of trouble to make sure that we can ensure the
2 integrity of a series of consecutively manufactured
3 tools.

4 Some of the tools are firing pins,
5 extractors, the ejectors, and
6 consecutive-manufactured breech faces.

7 **Q. I was referencing -- there was an article that
8 we've discussed on the identification of bullets
9 fired in 10 consecutively rifled -- which is
10 different, I know -- 9mm Ruger pistol barrels.**

11 A. Yes.

12 **Q. And that involved 507 participants from 20
13 different countries?**

14 A. Yes.

15 **Q. So what was the purpose of doing that, what you
16 were just talking about?**

17 A. That's an example of a -- well, it's an example
18 of two things. It is an empirical study to look at
19 the effects of consecutiveness, consecutive tooling.
20 In this case, it would be consecutive rifling.

21 Those gun barrels were all rifled according
22 to a cut rifling process, where a broach was used in
23 order to cut the rifling.

24 And then what the authors did -- I believe
25 Jim Hamby is one of the authors of that study that

1 you just cited -- he took an initial study by David
2 Brundage, B-R-U-N-D-A-G-E, who did it in connection
3 with his master's thesis. And he sent it out to
4 approximately 30 or 40 examiners, I think, sometime
5 during the '90s.

6 Jim Hamby then borrowed those slides, made
7 about 240 additional sets of unknowns, and then he
8 opened the validity study up to not only people from
9 the United States, but from the international
10 community as well.

11 I believe now he's got over 600 responses
12 from 23 different countries. So he's gone -- he
13 continues to go beyond the boundaries of that -- that
14 that article reports.

15 So initially it was an empirical study to
16 look at consecutively rifled barrels.

17 It then transitioned into a validity study,
18 designed by the author where -- where they made up a
19 number of kits. A number of kits were sent out along
20 with an answer sheet, and I believe there were 15
21 unknowns from -- from those -- I think a total of 10
22 gun barrels.

23 And then the people that are -- so this is
24 an example of a declared test that's blind and that,
25 of course, they don't know what the results are until

1 they notify Hamby what their results were, and then
 2 he lets them know whether they were correct or not.
 3 MS. MOTT: And for reference, that's
 4 Exhibit Number 11 in Binder 2.
 5 BY MS. MOTT:
 6 **Q. And in fact, within here he references a study**
 7 **that you conducted, I believe, in 1981. Is that**
 8 **correct?**
 9 A. Yes.
 10 **Q. And on consecutively button rifled .22-caliber**
 11 **barrels. And was that also an empirical study or...**
 12 A. Yes, it was. I did not make up any test sets
 13 and I didn't send them to anybody. I was exhausted
 14 by the time I did my empirical testing, so I didn't
 15 go any further than that.
 16 **Q. Well, other empirical studies mentioned in that**
 17 **were also from Biassoti.**
 18 A. Yes.
 19 **Q. And I know there was one that he did in 1955.**
 20 **Is that right?**
 21 A. Yes. He -- he wrote his -- that was the date
 22 of his -- his master's thesis at the University of
 23 California at Berkeley, under Dr. Paul Kirk.
 24 And he studied -- I think they were .38
 25 special. He studied lead bullets and he studied

1 copper-jacketed bullets.
 2 And I believe he had 24 guns, most of
 3 them -- about eight of them were new and the rest of
 4 them were used. And he then compared those, and he
 5 did two things.
 6 He either compared them to find a percent
 7 matching in known non-matches and known matches. But
 8 he also looked at runs of consecutive matching
 9 striae. And so his was the earliest work done on the
 10 quantitation of consecutive matching striae.
 11 **Q. So since his major empirical study, obviously**
 12 **there have been numerous empirical studies done since**
 13 **then.**
 14 A. There have been, yes.
 15 **Q. Okay. Now, you also talked in your**
 16 **presentation about error rates.**
 17 A. Yes.
 18 **Q. And how over the years different people have**
 19 **basically crunched the numbers, and you reported**
 20 **several of those articles or studies on the error**
 21 **rates.**
 22 A. Yes.
 23 **Q. And within that, you also discussed CTS, or**
 24 **proficiency tests?**
 25 A. Yes, I did.

1 **Q. And the proficiency tests that are given by**
 2 **CTS, are those blind?**
 3 A. They're blind, in the sense that the -- that
 4 the test taker doesn't know the answer. They are
 5 declared tests. The person knows they're being
 6 tested.
 7 **Q. And do they have limitations other than what**
 8 **you have just discussed?**
 9 A. They have limitations in several ways.
 10 One is that they are easier than a lot of
 11 real cases in the laboratory.
 12 **Q. And why is that?**
 13 A. CTS sends out original samples to anyone that
 14 wants to purchase them.
 15 And since they send out original samples,
 16 most of them are in very good condition. The test
 17 marks are cleanly made, the bullets are fired in a
 18 recovery media where they are not damaged.
 19 We get it -- it's not uncharacteristic to
 20 get objects that are very damaged from crime scenes.
 21 They have either been run over or smashed in some
 22 way, or they've been corroded by environmental
 23 factors.
 24 The CTS samples can't be made to mimic
 25 borderline cases, because how do you make 100

1 borderline cases that are alike? You can't do it.
 2 It's impossible.
 3 So about a year ago, I -- I approached the
 4 AFTE board of directors, and I asked them to request
 5 that CTS use high-quality polymer replicas for
 6 proficiency samples for our field. This method of
 7 producing proficiency samples has been in use in
 8 Europe for a number of years.
 9 They produce extremely high-quality
 10 samples, and they can mimic -- they can take a
 11 borderline case, for example, and they can make 100
 12 copies of that. So -- and they have done this. I
 13 think they did it in '05 and '09. They sent out many
 14 of these and determined the false positive error rate
 15 as a result.
 16 So that would be a way that we could get a
 17 more reliable error rate from the CTS proficiency
 18 tests.
 19 And my understanding is, recently I was
 20 notified that -- that the AFTE board of directors has
 21 approached CTS and has asked for that. And so I'm
 22 hopeful that we will have -- we'll have harder
 23 proficiency tests, there's no question about it. But
 24 we'll have ones that do a better job of mimicking the
 25 real evidence that comes into forensic laboratories.

1 **Q. Are proficiency tests required?**
 2 A. They are required if you are an ASCLD/LAB
 3 accredited laboratory, and most labs in the
 4 United States are. So they do require you to take an
 5 annual proficiency test in whatever forensic
 6 subspecialty you work in.

7 The other problem with CTS tests is that
 8 the answers are all the same for every test. So if
 9 you get four people in a laboratory, they have to be
 10 very careful not to share your answers.

11 When I do my work, for example, I don't
 12 leave my notes out on the desk. I take notes, I take
 13 photographs, I put them in a file folder, and they go
 14 in my desk. I don't want anybody inadvertently just
 15 looking at my notes that might be working on a
 16 similar proficiency test.

17 And we don't discuss it. The results are
 18 completed, they're handed in. And ever since 1998, I
 19 believe, there has probably been a technical peer
 20 review done of proficiency tests, which is the way
 21 the cases go out of the laboratory. So proficiency
 22 tests should be subjected to technical peer review.

23 **Q. But for now, the type of test that you were**
 24 **describing, that's what's available and that is what**
 25 **is administered?**

1 association results in DNA analysis.
 2 It's essential that they use that because
 3 they work with subclass characteristics, so they have
 4 to develop these mathematical estimates of
 5 likelihood, of strength of association.

6 We work with individual characteristics and
 7 maniacally try to eliminate subclass characteristics.
 8 But it is not like my field has been indifferent to
 9 the need to develop random match probabilities.

10 As early as the 1930s, there has been a
 11 call for what is the likelihood that you could find
 12 on two different breech faces the same small array of
 13 irregularities?

14 Or what's the chance of finding another
 15 hammer face, like the one that I illustrated in my
 16 PowerPoint, that made the -- made the mark in the
 17 soft lead?

18 What's the chance of finding another hammer
 19 face with all of the same accidental characteristics,
 20 or even -- even some of them. I illustrated about
 21 five areas that matched.

22 **Q. Right.**

23 A. And random match probability would be a
 24 mathematical estimate of the likelihood of finding
 25 another hammer face that would have that same array

1 A. Yes.

2 **Q. Would that be something comparable to, for**
 3 **example, a standardized test that every student**
 4 **takes?**

5 A. Yes. Yeah, I think so.

6 **Q. So someone with your experience and someone who**
 7 **is just starting are going to take the same test?**

8 A. Yes.

9 **Q. Now in terms of error rate, some of the other**
 10 **criticisms, I guess you could say, that have come up**
 11 **in terms of error rates for the firearm and toolmark**
 12 **field also deal with random match probability?**

13 A. Yes.

14 **Q. And is that possible in terms of this field, to**
 15 **determine random match probabilities?**

16 A. Shall we define what random match probability
 17 is first?

18 **Q. Let's start there. Yes. Thanks.**

19 A. Random match probability, or RMP, is the
 20 quantitative chance that you could find another tool
 21 or another firearm that would leave a mark that could
 22 be misidentified with the evidence that you are
 23 working on.

24 Random match probability is used to a very
 25 refined extent in the mathematical determination of

1 of matching irregular features.

2 The need for that in my field has been
 3 expressed by various authors ever since 1930. That's
 4 been expressed in -- I just wrote a small paper on
 5 that, and there's about 13 references that -- where
 6 people expressed the need for that.

7 We have not been able to develop any type
 8 of RMPs. So our -- the strength of our association
 9 at this point is not mathematically -- is not
 10 mathematically estimated.

11 But I am hopeful, with the advent of the 3D
 12 technology, such as confocal microscopy, that I am
 13 hopeful that in the future we might be able to come
 14 closer to developing RMPs for the kind of toolmark
 15 comparisons that we make.

16 **Q. So for now we have proficiency tests and error**
 17 **rates developed from those. Is that right?**

18 A. That -- although it's admittedly not the best,
 19 that's about all we have to work with. I think that
 20 it's virtually impossible to determine an error rate
 21 on the day-to-day work that comes out of a forensic
 22 laboratory.

23 **Q. A best estimate for the field in general?**

24 A. Yes.

25 **Q. What would the error rate be?**

1 A. Well, I -- I expressed it earlier. In the
2 collaborative paper, the paper that was written by --
3 by about five or six of us in 2003, we did a survey
4 from '79 to 2002 for firearm false IDs, and they were
5 1 percent.

6 And for the non-firearm toolmarks, started
7 in 1981 through 2002, those were 1.4 percent.

8 And those figures appear in the footnote in
9 that paper.

10 **Q. Okay.**

11 A. I applied for and got the original records from
12 CTS, and that's where we got the information from.

13 **Q. Now, was there not a study by Peterson and
14 Markham that had -- if read one way, had a higher
15 error rate?**

16 A. Yes.

17 **Q. And can you explain that, what that study was
18 about, and give us an idea of how that worked out?**

19 A. Well, they analyzed the same CTS proficiency
20 data. And if you include inconclusives, if you say
21 that an inconclusive result is an error, then the
22 results were -- were dramatically higher, something
23 like 12 percent for firearms, and it was into the
24 20s, 24 or 26 percent for non-firearm toolmarks. But
25 we don't -- I personally, and most other people

1 don't, view inconclusives as an error.

2 I think that -- I am particularly
3 interested in the worst kind of error that can be
4 made, and I think that's the error that courts are
5 interested in, and that's a false positive.

6 What's the percentage of time when you
7 identify a firearm that you're wrong, or identify a
8 tool that you're wrong?

9 **Q. So that would be the error rate that you had
10 just discussed previously?**

11 A. Yes.

12 **Q. Let me skip up to -- I know you had talked
13 about the 2009 NES report and the criticisms that
14 came out about that.**

15 **There was also a 2008 report, was there
16 not?**

17 A. There was.

18 **Q. And are you familiar with that?**

19 A. I am.

20 **Q. And if you know, how was that created, and what
21 was the nature and purpose of that report that came
22 out in the committee?**

23 A. The 2008 report is referred -- is referred to
24 by -- by our profession as the NRC report. And it
25 was a report on -- that accessed the feasibility,

1 accuracy and the technical capability of a national
2 ballistic database.

3 They were asked to determine whether or not
4 it would be possible to take all guns made in the
5 United States, and possibly all guns imported,
6 perform test firing to take those -- those specimens,
7 enter them into the automated machinery that existed
8 then and still exists, and maintain a database of the
9 signatures such that at any time anywhere in the
10 United States if a gun is used, we would have this
11 database so we could compare these firings left at a
12 crime scene and we could go back and find the
13 specific gun that was used.

14 They determined -- I'm sorry.

15 **Q. Go ahead.**

16 A. They determined that it was not feasible, that
17 it wouldn't work.

18 And AFTE doesn't have any quarrel with
19 that.

20 During the production of their report,
21 however, they made some statements that were -- that
22 were demeaning of the work that we do, identifying
23 firearms and non-firearm toolmarks.

24 And so in 2008, my committee authored a
25 report that was reviewed and approved by the AFTE

1 board of directors. And it was a response of AFTE to
2 the 2008 NRC report on ballistic imaging.

3 MS. MOTT: And for reference, that is
4 Exhibit 13 in Binder Number 2.
5 BY MS. MOTT:

6 **Q. And could you summarize what the response was
7 about?**

8 A. Well, we took exception to three statements
9 that they made.

10 And then -- well, we actually took
11 exceptions to probably one and a half. We agreed
12 with the -- with the third conclusion, and I'll start
13 with the one that we agreed with.

14 The NRC Conclusion Number 3 was that
15 conclusions drawn in firearms identification should
16 not be made to imply the presence of firm statistical
17 basis when none has been demonstrated.

18 And we agree with that.

19 Even though the AFTE theory of ID clearly
20 states that examination comparisons and IDs are made
21 to the practical -- the -- they're made to a
22 practical impossibility, not absolute.

23 The way that reports are written and the
24 testimony that is given can give the Court or the
25 user, the client, an impression that the comparisons

1 are absolute. And there's no question that examiners
2 used to do that.

3 So we agreed wholeheartedly with their
4 admonition. And a lot of laboratories, including the
5 one I work in, have taken steps to include wording in
6 our reports of toolmark identifications, that these
7 identifications are made to the practical and not the
8 absolute exclusion of other guns or tools.

9 **Q. What about the other two?**

10 A. Well, the other two -- one of their conclusions
11 reads as follows:

12 "Underlying the specific tasks which the
13 committee was charged is the question of whether
14 firearm-related toolmarks are unique. That is,
15 whether a particular set of toolmarks can be shown to
16 come from one weapon to the exclusion of all others.

17 "Very early in its work, the committee
18 found this question cannot now be definitively
19 answered."

20 The second conclusion that they came up
21 with was: "Additional general research on the
22 uniqueness and reproducibility of firearm-related
23 toolmarks would have to be done if the basic premises
24 of firearms identification are to be put on a more
25 solid scientific footing."

1 And we also generally agreed with that.
2 Continued research is very important. The scientific
3 enterprise depends on a continuum of research. Even
4 though you have extensive research and you're able to
5 develop a theory, which is the end result of lot of
6 research that shows the same thing, you shouldn't be
7 content with that.

8 You should continually test those theories
9 to see if they stand up in light of new information.
10 So we agree with that, too.

11 But what we also say is that in our
12 opinion, there has been sufficient research and
13 validation done in our field that supports our
14 ability to come to courts like this and express that
15 certain tools are responsible for making certain
16 types of toolmarks, to the practical exclusion of
17 other tools.

18 **Q. As a followup to your question that you agreed
19 that continued study should be done, isn't that a
20 basic tenant of every scientific method --**

21 A. It is.

22 **Q. -- that science does not stop when a conclusion
23 or a hypothesis has been made?**

24 A. That is correct.

25 **Q. It continues?**

1 A. Yes.

2 **Q. Now as for the committee on that 2008 report,
3 do you know if there were any firearm or toolmark
4 examiners on that committee?**

5 A. There were not. They deliberately excluded
6 firearms and toolmark examiners.

7 **Q. And did the report even make a decision on the
8 reliability and validity of firearm and toolmark
9 identification?**

10 A. On page 18 of their report, in the section
11 entitled 1-812, entitled "Limitations, what the
12 committee does not do," they say in the second
13 paragraph:

14 "First and most significantly, this study
15 is neither a verdict on the uniqueness of
16 firearm-related toolmarks generally, nor an
17 assessment of the validity of firearms identification
18 as a discipline."

19 And then continuing on page 20, at the
20 beginning of the first indented paragraph they say:

21 "Third, the purpose for this study
22 explicitly precluded the committee from accessing --
23 assessing the ability of forensic firearms evidence
24 in court, either generally or in specific regard to
25 testimony on ballistics imaging comparisons."

1 And at the end of that paragraph they say:
2 "However, we do not in any way offer a
3 determination of whether ballistics evidence should
4 or should not be admissible in court proceedings."

5 MS. MOTT: And just for reference, that is
6 Exhibit Number 10 in the first binder, and it is the
7 very last page.

8 BY MS. MOTT:

9 **Q. Now, do you know who the chairperson of that
10 committee was -- is?**

11 A. Yes. It was -- his name is John Rolph, R-O --

12 **Q. And are you aware --**

13 A. I had the spelling, I thought, but I stopped
14 because I am not sure of the spelling, but I can get
15 it.

16 **Q. R-O-L-P-H. Does that sound correct?**

17 A. Yes, it does. Thank you.

18 **Q. And are you aware if he wrote an affidavit
19 regarding the limitations of that committee?**

20 A. He did. He wrote an affidavit on that.

21 MS. MOTT: And for reference, Your Honor,
22 that is Exhibit 8.

23 MR. BURT: Judge, I do have an objection to
24 testimony about these affidavits. There's a number
25 of them in the exhibits. And although I haven't had

1 time to review all of them, I am familiar with this
2 particular one.

3 And it's my understanding this is an
4 attempt to impeach the thrust of the report which was
5 written by this committee.

6 The affidavit that Counsel is going to
7 reference was written in connection with some
8 litigation in the District of Columbia.

9 And unlike the literature that's being
10 offered, which I think the Court should consider, I
11 would ask the Court not to consider affidavits that
12 were prepared in other cases, because it's taking out
13 of context the whole group of information that was
14 relevant in the case being referenced.

15 In other words, this Rolph declaration was
16 rebutted, so there are counter-declarations to this
17 declaration. And I think it gets far afield of what
18 the Court should be focused on, which is what is in
19 the report, not what Dr. Rolph said years later about
20 what he thinks the report says.

21 The report speaks for itself. And this
22 affidavit is an attempt by Dr. Rolph to put a spin on
23 it that is favorable to the position advocated by the
24 government.

25 And it's my understanding that this witness

1 actually may have participated in drafting, or at
2 least compiling, some of these affidavits.

3 So it's a litigation-produced document, and
4 it is misleading unless the Court is going to take
5 into account the counter-affidavits and the testimony
6 that was developed in that other case, which I think
7 is fairly voluminous.

8 So I object to this particular affidavit.

9 THE COURT: All right. Thank you.

10 Ms. Mott, what's your response? And -- and
11 how is Dr. Rolph's affidavit useful in this case or
12 relevant in this case?

13 MS. MOTT: Well, Your Honor, first of all,
14 I'm not sure which witness Mr. Burt was talking
15 about, if he was talking about Mr. Murdock or he was
16 talking about Dr. Rolph.

17 THE COURT: I understood him to be talking
18 about Dr. Rolph's affidavit, Exhibit 8.

19 Is that right?

20 MR. BURT: Yes, Your Honor.

21 MS. MOTT: This affidavit was prepared in
22 the case of United States versus Edwards. And it was
23 as a followup to the actual limitations that were
24 placed within the report.

25 And he expanded on those limitations in his

1 affidavit because of the criticisms that came forward
2 after the 2008 report, that somehow this was a
3 definition of the nonvalidity of firearm and toolmark
4 identification, which was obviously not the case
5 based on the report itself. And --

6 THE COURT: So then how is this affidavit
7 helpful, if it's information that -- or it refers to
8 information or expands on information that was
9 contained in the last page of the report?

10 MS. MOTT: It's helpful, Your Honor, to
11 know, from the chairman of that report, that that was
12 what they meant in that report, was that it was
13 limited, and it was a limited basis, and it did not
14 assess the reliability or the validity of firearm and
15 toolmark identification.

16 And it's for that purpose only, and the
17 Court can take it for whatever weight you would like
18 to give it.

19 THE COURT: And I haven't had a chance to
20 actually review the affidavit. But Mr. Burt's other
21 comment was that it is the result of facts that may
22 have been, maybe, applicable in the District of
23 Columbia case. And to the extent that the facts may
24 have influenced the information that is contained in
25 the affidavit, how does that help us in this case?

1 MS. MOTT: Well, Your Honor, what the
2 government is presuming is that when Ms. Schwartz is
3 presented by the defense, that she is going to hold
4 up this report as an attack on the validity and
5 reliability of the field of firearm and toolmark
6 identification.

7 So the only purpose that this has for the
8 affidavit is that it was responding to the same sort
9 of attack in that case. And therefore, the chairman
10 felt that it was necessary to stress that the
11 limitations that the committee had still held true
12 and that it was not an assessment of the field in
13 total, on the reliability and validity of it.

14 And so therefore, it is offered by the
15 government because that is what we fully expect
16 Ms. Schwartz to say.

17 THE COURT: All right. Anything further?

18 MR. BURT: Judge, just that we offered the
19 entire report to the Court in support of our motion.
20 We didn't characterize it as an attack on
21 anything. We characterized what the report says.

22 The report itself says what the limitations
23 are, so it appears that we're addressing an issue
24 that has already just been addressed in the report
25 itself.

1 And again, it gets us into side issues
2 about how this declaration got drafted and what the
3 counter-declarations were. But I don't think it's
4 going to be helpful because the Court has the report
5 in front of it, the entire report.

6 The report, as this witness just said,
7 states its limitations. So I think that's the
8 important point that's getting across here.

9 And to go beyond that, into what Dr. Rolph
10 said years after this report was published about what
11 his intention was, when he's just one author of many
12 in this report, I think it really gets us
13 sidetracked.

14 And I think it's a 403 issue at this point.

15 MS. MOTT: Considering that the affidavit
16 actually -- you know, if the Court does review it,
17 finds there's no factual basis in that regard -- in
18 regards to the case of United States versus Edwards
19 at all, it deals primarily and focused on the report
20 that was issued as he was chairman.

21 And so for that purpose, Your Honor, it
22 does go and expands on the limitations and reiterates
23 the limitations that the report had, and that it was
24 not an assessment of the reliability and validity of
25 the field in general.

1 And that is what it's offered for. And
2 there's nothing else in there in terms of this was a
3 back and forth or this is specific to this factual
4 basis of this case. It is specific to the 2008
5 report.

6 And we would offer it as -- for whatever
7 weight the Court would like to give it.

8 THE COURT: I'll allow Mr. Murdock to
9 testify about this affidavit and -- and I think the
10 consideration of the objection is more on the weight
11 that the Court should give the affidavit as opposed
12 to whether or not it's admissible. So I will allow
13 Mr. Murdock to testify about the affidavit.

14 MS. MOTT: Thank you, Your Honor.

15 Once again, just a point of reference, it
16 is Exhibit 8 in Binder 1.

17 BY MS. MOTT:

18 **Q. And have you read the affidavit by Dr. Rolph?**

19 A. I have.

20 **Q. And what we were just discussing, does he kind
21 of reiterate what those limitations were for the
22 committee and what they were purporting to do?**

23 A. Yes, he certainly does that. And he also goes
24 beyond that, to indicate what their other conclusions
25 were, one of which was that we are not -- we should

1 not be making absolute -- the absolute associations
2 of toolmarks.

3 So he not only reiterates what the
4 limitations are as they appeared in the exhibit
5 before this that we introduced, but he reiterates
6 their cautionary statements as well.

7 But he does something that I think is very
8 significant, which we haven't talked about, which is
9 Point Number 10.

10 And incidentally, this report is dated not
11 years after the initial report, it's the same year
12 that the initial report was done.

13 MR. BURT: Your Honor, I'm going to object
14 and move to strike that. That answer is responsive
15 to my objections, not to a question being asked.
16 It's a piece of advocacy on the witness' part. It
17 has nothing to do with the question being asked.

18 I'd move to strike that.

19 THE COURT: Mr. Murdock, please try to
20 limit your answers to the question that is put to
21 you.

22 THE WITNESS: Yes, Your Honor.

23 BY MS. MOTT:

24 **Q. So Number 10 expands on that limitation of the
25 committee, does it not? Or I should say further**

1 **explains.**

2 A. Yes.

3 **Q. And in doing so, Dr. Rolph talks about the
4 admissibility of firearm-related toolmark evidence.
5 Is that right?**

6 A. Yes, he does.

7 **Q. And does he say whether or not the report from
8 2008 is a commentary on whether or not that type of
9 evidence should be admissible in court?**

10 A. Well, he says it's not a commentary on the
11 admissibility.

12 But then he goes on to say that:
13 "Statements on toolmark matches, including legal
14 testimony, should be supported by work that was done
15 in the laboratory by the notes and documentation made
16 by examiners and by proficiency testing or
17 established error rates for individual examiners in
18 the field and in that particular laboratory."

19 And we agree wholeheartedly with -- with
20 that. That work -- the conclusions that we express
21 in court should certainly be supported by well --
22 well-documented cases that clearly explain the basis
23 for the conclusions reached.

24 **Q. So particular to the facts of that case, if
25 it's admissible or not is determined by what was done**

1 **in that case?**
 2 A. In any particular case, yes.
 3 **Q. And specifically, that report was on the**
 4 **national database. Is that right?**
 5 A. Yes.
 6 **Q. And not with individual police work.**
 7 A. Yes.
 8 **Q. Now, are you also familiar with the 2009 NAS,**
 9 **which is the National Academy of Science report?**
 10 THE COURT: Well, it sounds like you're
 11 going on to a new subject, so this will probably be a
 12 good time for us to take our lunch break.
 13 I'm -- it looks like I am going to have to
 14 break for the day today at 4:30. So instead of an
 15 hour and a half for lunch, is an hour and 15 minutes
 16 okay with everybody?
 17 MS. MOTT: That's fine, Your Honor.
 18 MR. BURT: That's fine, Your Honor.
 19 THE COURT: We'll return at 1:15.
 20 (A recess was taken from 12:00 noon to 1:20
 21 p.m.)
 22 THE COURT: Please be seated.
 23 We're back on the record in USA versus
 24 McCluskey.
 25 Are we ready to continue?

1 BY MS. MOTT:
 2 **Q. And are you familiar with that report, sir?**
 3 A. Yes.
 4 **Q. And do you know how that report was created?**
 5 A. Well, it was the end of a multiyear
 6 investigation by the committee on virtually all
 7 forensic science specialties. So it was intended to
 8 be an overarching report on the forensic science
 9 enterprise.
 10 **Q. And do you know for what purpose other than,**
 11 **for example, whether it would be submitted to**
 12 **Congress, or what was the purpose of the report?**
 13 A. Well, it is -- as the title indicates, it was
 14 supposed to be a roadmap to strengthening forensic
 15 science. And it was actually forensic science that
 16 asked this -- this committee to be formed in the
 17 first place.
 18 **Q. Do you know who served on that committee, in**
 19 **general?**
 20 A. Well, I know it was a distinguished group of
 21 men and women from -- mostly from science, although
 22 there were a number -- I believe there were a number
 23 of attorneys on there. There were, in addition, four
 24 forensic scientists, none of whom had any experience
 25 in firearms and toolmark work.

1 MS. MOTT: Yes, Your Honor. Thank you. We
 2 should be able to wrap it up here shortly, hopefully.
 3 BY MS. MOTT:
 4 **Q. Just a quick followup question, Mr. Murdock.**
 5 **We were talking about the 2008 NRC report, and then**
 6 **obviously the response by AFTE.**
 7 **And if you recall, who were the authors of**
 8 **that response? I know it was an AFTE response, but**
 9 **were there specific authors of that?**
 10 A. I was the -- I am the chairman of the committee
 11 that wrote this response. The response, however, was
 12 reviewed and approved, and I believe edited some, by
 13 the AFTE board of directors.
 14 **Q. Now, moving on to --**
 15 MS. MOTT: And I'm sorry. For the
 16 reference, that was Exhibit 13, the response to that
 17 report. And that's in Binder 2.
 18 BY MS. MOTT:
 19 **Q. Moving on to the 2009 National Academy of**
 20 **Sciences report, which was entitled "Strengthening**
 21 **Forensic Science in the United States, A Path**
 22 **Forward" --**
 23 MS. MOTT: Which, for reference, is Exhibit
 24 Number 1 in Binder 1.
 25

1 **Q. That was my next question. So thank you.**
 2 **Does that report, then, obviously address**
 3 **the science of firearm and toolmark identification?**
 4 A. It does.
 5 **Q. And I believe there were numerous**
 6 **recommendations made in that report. Is that right?**
 7 A. There were 13 altogether.
 8 **Q. And so for reference, I believe the pages**
 9 **applicable to -- well, let me ask you this.**
 10 **Were there specific recommendations that**
 11 **are applicable to the firearm toolmark identification**
 12 **field?**
 13 A. AFTE felt that there were 6 out of the 13 that
 14 were -- that were related to the firearms and
 15 toolmark field.
 16 **Q. And for reference purposes -- and correct me if**
 17 **I'm wrong, sir -- those are on pages 2, 3, 6 -- or**
 18 **excuse me. The references, the recommendations, let**
 19 **me rephrase that -- are Number 2, 3, 6, 7, 8, and 9?**
 20 A. Yes.
 21 **Q. Now, can you tell us what Recommendation**
 22 **Number 2 is?**
 23 A. Yes. And if I might refer to the response of
 24 AFTE?
 25 **Q. Yes, sir.**

1 A. Recommendation Number 2 was that there should
2 be established terminology to be used in reporting on
3 and testifying about the results of forensic science
4 investigation. Similarly, they add it should
5 establish model laboratory reports for different
6 forensic science discipline and specify the minimum
7 information that should be included.

8 **Q. And does the science of firearm and toolmark
9 identification meet that requirement or
10 recommendation?**

11 A. Well, our response is that in 1980, AFTE
12 established an extensive glossary of terms and
13 definitions which covered all phases of firearm and
14 toolmark work. And that document which is,
15 incidentally, being revised at the moment, has served
16 to standardize terminology and statements that can be
17 rendered as conclusions in the reports.

18 We do not, however, have standard report --
19 reporting wording.

20 **Q. And for reference, you are reading from --
21 referencing from the response to the 2009 report.
22 And that's Exhibit 12 in Binder 2?**

23 A. Yes, I am.

24 **Q. So you had established the glossary on
25 standardized terminology and range of conclusions.**

1 **So what does Recommendation Number 3 deal
2 with?**

3 A. Recommendation 3 deals with -- they say that
4 research is needed to address issues of accuracy,
5 reliability, and validity in the forensic science
6 discipline. And they actually broke it down into
7 four -- into four parts.

8 Part A was establishing the scientific
9 basis demonstrating the validity of forensic methods.

10 B is the development and establishment of
11 quantifiable measures of reliability and accuracy of
12 forensic analyses.

13 C is the development of quantifiable
14 measures of uncertainty in the conclusion of forensic
15 analysis.

16 And, four, automated technologies capable
17 of enhancing forensic technologies.

18 **Q. And so does the science of firearm and toolmark
19 identification meet this recommendation?**

20 A. We meet some of them. There is an extensive
21 body of research going back over 100 years, that
22 we've already talked about, which in our opinion
23 establishes the accuracy, reliability, and validity
24 of the conclusions that we reach in firearm and
25 toolmark identifications.

1 I listed some of that research on the -- on
2 the PowerPoint slides that I showed, and there will
3 be other references that will be introduced in a few
4 moments -- or in a few minutes.

5 **Q. So moving on to Recommendation Number 6, what
6 is that?**

7 A. Recommendation 6 said that the forensic
8 specialty should develop tools for advancing
9 measurement, validation and reliability, information
10 sharing, and proficiency testing in forensic science,
11 and to establish protocols for forensic examinations,
12 methods, and practices.

13 And they went on to say that standards
14 should reflect best practices and serve as
15 accreditation tools for laboratories and guides for
16 the education, training, and certification of
17 professionals.

18 **Q. And I know we've discussed some of that in
19 terms of the standards of AFTE and their
20 certification and training. But as a whole, does the
21 science of firearm and toolmark identification meet
22 this recommendation?**

23 A. Well, in addition to those two things that you
24 have just mentioned, AFTE facilitates the exchange of
25 information annually by holding annual training

1 seminars and the quarterly publication of a
2 peer-reviewed scientific journal.

3 And they have adopted documentation
4 standards. They actually adopted them in 2005, and
5 they were published in the AFTE Journal in 2006.

6 And AFTE actively collaborates with the
7 scientific working group for firearms, and that is
8 SWGGUN, in the development of protocols, but also in
9 the periodic review of established ones.

10 **Q. Moving on to Number 7, what is that
11 recommendation?**

12 A. They say the laboratory accreditation and
13 individual certification of forensic professionals
14 should be mandatory and that certification should
15 include, at a minimum, written examinations,
16 supervised practice, proficiency testing, continued
17 education, recertification procedures, adherence to a
18 code of ethics, and with effective disciplinary
19 procedures.

20 **Q. Once again, we have discussed the AFTE ethics
21 standards and the enforcement procedures. And I
22 believe you've discussed how that has put into place
23 due process --**

24 A. Yes.

25 **Q. -- that goes with ethics violations or**

1 **perceived ethics violations.**
 2 A. Yes.
 3 **Q. Is there anything else that we need to talk**
 4 **about in terms of how the science of firearm and**
 5 **toolmark identification meets this recommendation?**
 6 A. Well, the NAS group wanted the certification to
 7 be mandatory. I think that's a laudable goal, but
 8 AFTE does not currently make it a mandatory
 9 requirement.
 10 **Q. What about Recommendation Number 8?**
 11 A. Recommendation Number 8 is that forensic labs
 12 should establish routine quality assurance and
 13 quality control procedures to ensure the accuracy and
 14 reliability of forensic analyses and the work of
 15 forensic practitioners.
 16 **Q. Now once again, I know we've talked about**
 17 **standard protocols, your training program, a variety**
 18 **of things.**
 19 **What else do we need to know to put before**
 20 **the Court today that shows that the science of**
 21 **firearm and toolmark identification meets this**
 22 **recommendation?**
 23 A. Well, although we've described the quality
 24 assurance measures that AFTE -- that AFTE has
 25 themselves done, what we haven't spoken about is that

1 AFTE endorses the quality assurance and quality
 2 control requirements of accreditation inspections
 3 such as the American Society of Crime Laboratory Lab
 4 Directors laboratory accreditation board. We
 5 heartily endorse those activities.
 6 **Q. Now earlier, you had mentioned ASCLD, and I'm**
 7 **probably not getting that acronym correct.**
 8 **But is that what you're talking about?**
 9 A. Yes. It's ASCLD.
 10 **Q. And Recommendation Number 9?**
 11 A. It's that the forensic science group should
 12 establish a code of ethics. And they actually called
 13 for a national code of ethics for all forensic
 14 science discipline, and encourage individual forensic
 15 societies to incorporate a national code into their
 16 own organizational code.
 17 **Q. Well, we know that AFTE has a code of ethics.**
 18 **In terms of your response, did you address**
 19 **this recommendation?**
 20 A. Not directly. We did say what you just did,
 21 that AFTE has one and a good enforcement procedure.
 22 I, however, found myself on a committee
 23 within the California Association of Criminalists to
 24 design a national ethics code which we did do, and we
 25 submitted it to the forensic science subcommittee.

1 And we were hopeful that they would adopt that as a
 2 national ethics code.
 3 But AFTE -- AFTE did not submit any code in
 4 that capacity.
 5 **Q. Very good. Now in terms of the committee**
 6 **itself, I know that you have discussed already that**
 7 **there was no firearms and toolmark examiners on this**
 8 **committee for the 2009 report, right?**
 9 A. Yes, that's correct.
 10 **Q. Now, was anyone from the field allowed to**
 11 **present before the committee?**
 12 A. Although there was no request of AFTE for a
 13 representative to make a presentation, a firearm and
 14 toolmark examiner did make approximately a one-half
 15 hour -- it was a PowerPoint presentation. I think
 16 there were 32 or 33 slides in his presentation.
 17 **Q. Do you know who that was?**
 18 A. Yes.
 19 **Q. And is he a member of AFTE?**
 20 A. Yes.
 21 **Q. But the request did not come to AFTE, per se?**
 22 A. That's correct.
 23 **Q. Okay. During his presentation, do you know if**
 24 **he had to share his time frame to present?**
 25 A. I believe he was representing the International

1 Association for Identification, and so he shared it
 2 with about a half-hour presentation on fingerprints.
 3 **Q. And that was the only time frame that you are**
 4 **aware of that was allowed to be presented for firearm**
 5 **and toolmark identification?**
 6 A. Yes.
 7 **Q. Okay. Did the committee make any statement**
 8 **regarding the feasibility of it performing a detailed**
 9 **evaluation of the scientific underpinnings of the**
 10 **firearm and toolmark identification?**
 11 A. Yes. They made a statement on page 7 of their
 12 report.
 13 **Q. And what did that say?**
 14 A. And this statement -- it amounts to the first
 15 sentence in the first indented paragraph on page 7.
 16 And it reads as follows:
 17 "The committee decided early in its work
 18 that it would not be feasible to develop a detailed
 19 evaluation of each discipline in terms of its
 20 scientific underpinning, level of development, and
 21 ability to provide evidence to address the major
 22 types of questions raised in criminal prosecutions
 23 and civil litigation."
 24 **Q. So in your opinion, that by approaching their**
 25 **task with a self-limitation in mind, did the**

1 committee subjectively choose to ignore extensive
2 research supporting the scientific underpinnings of
3 firearm and toolmark identification?

4 A. In my opinion, they did.

5 Q. Now also in your opinion, do you believe that
6 had the committee considered this extensive research,
7 do you believe that they would have -- what do you
8 believe that they would have concluded about the
9 propriety of firearm and toolmark identification?

10 A. I believe that they could have considered it to
11 be valuable for proceedings such as this and that a
12 well-established -- a culture of science exists in
13 the field of firearm and toolmark identification.

14 Q. What is your overall opinion of the 2009
15 report, as it applies to the science of firearm and
16 toolmark identification?

17 A. I think it was a well-intended effort, and I
18 think in many respects it's exceeded -- it exceeded
19 most people's expectations. And it served to draw
20 attention to some -- to some areas in forensic
21 science that definitely need attention.

22 And I mean when they -- when they say that
23 additional research and training and adherence to
24 standards and protocols is important, we
25 wholeheartedly endorse that.

1 But by making the broad statements that
2 they did without any indication of having
3 exhaustively looked over the references that we would
4 have submitted as an organization, that in our
5 opinion forms the scientific underpinnings of our
6 field, by adhering to their self-imposed
7 limitation -- and they lived up to that.

8 There was no indication that they -- that
9 they considered our references, even though they were
10 provided with many, many references as early as June
11 2008 by an ex-president of our association who
12 provided them to a member of the NAS committee, who
13 happened to be her laboratory director.

14 Q. So in terms of who this person was, who -- are
15 you willing to say who provided the references?

16 A. It's ex-AFTE President Ann Davis.

17 Q. And did she give the committee, in forming its
18 response, what was provided to the committee?

19 A. Yes.

20 Q. And so do you know, just in general reference,
21 how many pages or how many articles? Can you give us
22 an idea?

23 A. No, I don't know. But there were hundreds of
24 references that were provided, and there's simply no
25 indication that the NAS committee had time or the

1 inclination. By their own statement they say they
2 didn't have time, and they apparently didn't take
3 time.

4 Q. So in the AFTE response -- I know, obviously,
5 it addresses a number of these issues. Does it also
6 address the court admissibility and/or court
7 presentations that have reflected onto the field and
8 science of firearm and toolmark identification?

9 A. Yes. We addressed that rather directly. And
10 we did not hold back, either.

11 Q. And I believe that was on page 206. Is that
12 right, sir?

13 A. That begins on page 206, yes.

14 MS. MOTT: And for reference, the response
15 we have been discussing is Exhibit 12 of Binder
16 Number 2.

17 BY MS. MOTT:

18 Q. And could you just summarize what you're
19 discussing, what we're talking about?

20 A. Yes. On page 206, in the lower right-hand
21 corner -- I, incidentally, wrote this, but it was
22 approved by the board of directors.

23 It says: "Unfortunately some firearm and
24 toolmark examiners performing casework today are
25 clearly outside the mainstream of forensic

1 consciousness and do not conform or adhere to the
2 current protocols and standards recommended by AFTE
3 when conducting such examinations.

4 "These examiners take few case notes or
5 other forms of documentation, like photographs, and
6 are not familiar with the extensive amount of
7 empirical and theoretical research that serves as a
8 scientific basis of firearm and toolmark
9 identification.

10 "Some of these examiners have been
11 responsible for judicial rulings, wherein their
12 testimony has been limited in some way by the Court
13 due to their nonconformity to accepted forensic
14 protocols.

15 "Those of us in the mainstream of our
16 profession are working very hard to overcome the
17 cloud of suspicion that has formed over all of us by
18 the shallow court presentations of a few."

19 Q. And, sir, when you talk about accepted forensic
20 protocols, are you talking about the AFTE theory of
21 identification and the standards and the code of
22 ethics and everything that is involved in the science
23 of firearm and toolmark identification that makes it
24 a science?

25 A. That's certainly part of it. But the

1 examination protocols are another big part of what we
 2 were talking about.
 3 **Q. And in terms of those protocols, were there**
 4 **specific things that you find to be, in terms of the**
 5 **scientific methodology, essential for firearm and**
 6 **toolmark identification?**
 7 A. Yes.
 8 **Q. And what are those, sir?**
 9 A. The documentation of forensic casework requires
 10 notes that can be reviewed by any interested party,
 11 whether it's a technical peer review in the
 12 examiner's laboratory or whether it is a skilled
 13 person hired by the defense to review casework.
 14 The notes should be done so completely and
 15 thoroughly that any interested person should be able
 16 to determine exactly what was done and exactly why
 17 the expressed conclusions appear as they do in the
 18 laboratory report. That's just common sense for
 19 scientific recordkeeping.
 20 Unfortunately, some examiners that didn't
 21 grow up in scientific culture or didn't have it much
 22 in school, and perhaps don't get it because of their
 23 organizational culture, they actually do casework
 24 that, by AFTE standards, is not very good.
 25 **Q. For example, taking photomicrographs?**

1 A. I consider that essential. AFTE's
 2 documentation standard does not require photographs,
 3 but it says that that is the best way to record the
 4 agreement or disagreement, or whatever you see in a
 5 toolmark comparison.
 6 **Q. And are there laboratories that still do not do**
 7 **that?**
 8 A. Yes.
 9 **Q. Let me quickly move on to another response by**
 10 **AFTE. And that is the response to a White House**
 11 **subcommittee, which you briefly mentioned a couple of**
 12 **answers ago.**
 13 **And could you tell us what that White House**
 14 **subcommittee was about?**
 15 A. Yes, I can.
 16 **Q. And just for time, I guess in terms of order,**
 17 **let me put it that way, was this following the 2009**
 18 **report and response by AFTE? Is this more recent?**
 19 A. This committee was formed -- I don't know
 20 exactly when it was formed, but it is formed directly
 21 off of the White House. And it was a subcommittee on
 22 forensic science. Its acronym is SOFS, S-O-F-S.
 23 It was formed as a direct response to the
 24 2009 NAS report. And there were -- there were five
 25 groups, or subcommittees, that were -- that were

1 formed.
 2 **Q. And their purpose was what?**
 3 A. Well, they had a variety of purposes. They
 4 kind of split up all of the forensic activity. Some
 5 looked at training, some looked at ethics codes, some
 6 looked at certain kinds of forensic subspecialties,
 7 some looked at others.
 8 The one that we responded to was called the
 9 Research Development Testing and Evaluation
 10 Interagency Working Group. And it is abbreviated
 11 RDT&E, space, IWG.
 12 **Q. And as the reason for the response, were you**
 13 **sent a list of questions by that group?**
 14 A. Yes. I think there's about 40 or 50 members on
 15 that -- that internal working group called IWG.
 16 I was told by the executive secretary --
 17 MR. BURT: Excuse me. I need to object
 18 here. It's nonresponsive at this point, and it's
 19 about to get into his speculations about what this
 20 committee is charged with, which I don't think
 21 there's any foundation for.
 22 THE COURT: Well, the question was a fairly
 23 broad question. But I'll ask if you could rephrase
 24 your question, and if you could just answer the
 25 question posed.

1 THE WITNESS: Yes, ma'am.
 2 BY MS. MOTT:
 3 **Q. In terms of your response, what types of**
 4 **questions was AFTE sent to respond to? What was the**
 5 **field or the narrow -- if it was narrow -- purpose of**
 6 **those questions?**
 7 A. Well, the questions weren't narrow. They
 8 brainstormed a list of 25 questions.
 9 I was told by their executive secretary
 10 that they felt that if a forensic speciality like
 11 ours could provide meaningful responses in the way
 12 of -- of published articles with abstracts that would
 13 support answers to each of these 25 questions, that
 14 the field should be on firm scientific underpinnings.
 15 **Q. So in terms of -- after your response, is that**
 16 **what you did, was provide them with information that**
 17 **made it clear that the science of firearms and**
 18 **toolmark identification was on a firm scientific**
 19 **basis?**
 20 A. Well, there were two responses crafted to this
 21 request.
 22 The first was accomplished by SWGGUN, and
 23 it was a 47-page response.
 24 Then AFTE took SWGGUN's response, my
 25 committee did, and converted it to a 94-page list of

1 references which, in our opinion, addressed each of
2 the 25 questions asked by this interagency working
3 group.

4 **Q. So for example, the second question, which is**
5 **on page 8 of the exhibit: "Have studies been**
6 **conducted at the manufacturing level addressing**
7 **material uniformity, reproducibility, and the QA/QC**
8 **procedures of the manufacturer?"**

9 **And you responded to that question?**

10 A. Yes, we responded to it.

11 **Q. And approximately how many references did you**
12 **provide them on that subject?**

13 A. We gave them 7 references, but one of the
14 references alone has 47 references attached to it.

15 **Q. Likewise Number 3, which is on page 10: "What**
16 **toolmark reproducibility studies have been**
17 **conducted?"**

18 **And again, references were provided on**
19 **that?**

20 A. Yes.

21 **Q. And approximately how many references did you**
22 **provide?**

23 A. We provided them 10.

24 THE COURT: Which exhibit are you on?

25 MS. MOTT: On 9, Your Honor, in Binder 1.

1 BY MS. MOTT:

2 **Q. So as it went through the 25 questions, again,**
3 **you responded time and time again with numerous**
4 **references to each question?**

5 A. Yes. But it's important to add that some of
6 these references are duplicated in more than one
7 question, because they applied to more than one
8 question, in our opinion.

9 **Q. Very good. A number of the studies it appears,**
10 **for example, from Mr. Hamby, Mr. Biassoti, we have**
11 **discussed during your testimony. Is that correct?**

12 A. Yes.

13 **Q. And have you heard a response on your response?**

14 A. Yes.

15 **Q. And what is that?**

16 A. Four months after we submitted the response --
17 this is in October of last year -- I wrote to John
18 Paul Jones. I wrote in my capacity as chairman of
19 the AFTE committee. I wrote to John Paul in his
20 capacity as executive secretary of the RDT&E IWG.

21 And I asked him. I said, "John Paul,
22 members of our profession go to court occasionally
23 and we are going to be describing this reference
24 document. And a logical question that we should get
25 after we describe it is what, if anything, has the

1 IWG done with it?"

2 And he wrote back to me and he said, "We
3 have plans." He said, "We have plans to hire
4 independent experts to review -- independent
5 scientists to review some of these articles with help
6 from trained firearm and toolmark examiners to help
7 interpret them."

8 But he said, "To do this would require a
9 funding source to recruit these people, to transport
10 them, house them as they're doing this work, and the
11 funding source has not been identified yet."

12 And so here we are almost 14 months after
13 we submitted this in June of 2011.

14 And several days prior to coming here I
15 sent to John Paul a request for an update.

16 I haven't heard back from him yet. But in
17 the one that he gave me in October of last year he
18 said, "John, rest assured that if anything
19 significant happens about our evaluation of your
20 submitted articles we will let you know."

21 I haven't heard anything yet. And I know
22 John Paul to be a personally responsible man. And I
23 think that I can -- I feel confident that if anything
24 significant would have happened that he would have
25 let me know.

1 MS. MOTT: May I have just a moment,
2 Your Honor?

3 Your Honor, I'd move to admit Exhibits 1
4 through 13, 15 through 19, and 48 and 50 at this
5 time.

6 THE COURT: 1 through 13, 15 through 19,
7 48, and 50?

8 MS. MOTT: Yes, ma'am.

9 THE COURT: Is there objection?

10 MR. BURT: Just the objection previously
11 stated to Exhibit 8, which I think the Court
12 overruled.

13 And what were the other ones besides -- no
14 other objections to 1 through 13.

15 THE COURT: 15 through 19.

16 MS. MOTT: And 48 and 50.

17 MR. BURT: 15 through 19, I have no
18 objections to that, Your Honor.

19 MS. MOTT: 48, which is the PowerPoint
20 presentation slides printout, and then 50, which is
21 the review.

22 MR. BURT: No objection.

23 THE COURT: All right. Exhibits 1 through
24 13, 15 through 19, 48, and 50 will be admitted.

25 And your objection previously made to

1 Exhibit 8 is overruled.
 2 MS. MOTT: Thank you, Your Honor.
 3 I pass the witness.
 4 MR. BURT: Your Honor, what I would like to
 5 do is proceed with the cross-examination. And then I
 6 think I will take it up to 4:30, and then I'll have a
 7 chance over the recess to look at the material that
 8 I've got, if that's permissible.
 9 THE COURT: Sure.
 10 MR. BURT: Thank you.
 11 CROSS-EXAMINATION
 12 BY MR. BURT:
 13 **Q. Good afternoon.**
 14 A. Good afternoon, sir.
 15 **Q. I see in your writings that one of the things**
 16 **you write about is instructing forensic scientists on**
 17 **how to testify.**
 18 A. I have done that.
 19 **Q. And I notice in one of your publications you**
 20 **advise that, you know, experts who talk too much can**
 21 **get into trouble.**
 22 **Do you remember that article?**
 23 A. Yes.
 24 **Q. Okay. I'm going to ask you some questions.**
 25 **Hopefully, the answers will be clear and you'll be**

1 **able to respond directly to them. If they're not,**
 2 **please let me know. Okay?**
 3 A. I'll do that. Yes.
 4 **Q. First of all, I have some questions about who**
 5 **you are and what you do.**
 6 **You list on your CV -- this is the**
 7 **Government's Exhibit -- I believe 2 -- that you are a**
 8 **criminalist with the firearm and toolmark forensic**
 9 **science division of the Contra Costa County Sheriff's**
 10 **Coroner's Office. Is that your position?**
 11 A. Yes.
 12 **Q. Are you employed by the Contra Costa County**
 13 **Sheriff's Coroner's Office?**
 14 A. On a contract basis, yes.
 15 **Q. Okay. So you're not a regular employee in the**
 16 **Contra Costa County Sheriff's Coroner's Office?**
 17 A. Well, I'm a regular contract employee.
 18 **Q. And that's -- do they have a crime lab in the**
 19 **county?**
 20 A. Yes, they do.
 21 **Q. Okay. And they have a crime lab director?**
 22 A. Yes.
 23 **Q. You used to be affiliated with that, right?**
 24 A. Yeah. I used to be the crime lab director
 25 there.

1 **Q. And then, as I understand it, you retired and**
 2 **went to work for ATF?**
 3 A. Yes.
 4 **Q. And then after you went to work for ATF, you**
 5 **retired from that. And then you entered into a**
 6 **contract with Contra Costa County?**
 7 A. Yes. Do you want to know the reason?
 8 **Q. No, I don't.**
 9 A. Okay.
 10 **Q. Well, if you'd allow me, they can bring this**
 11 **out on direct examination, on redirect.**
 12 **So you are not currently employed by --**
 13 **other than in a contract capacity -- with the crime**
 14 **laboratory in Contra Costa County?**
 15 A. The Contra Costa County contracts with the --
 16 with the limited liability corporation that I founded
 17 when I retired from ATF.
 18 **Q. Okay. And the contract is a \$340,000 contract**
 19 **under which you're obligated to provide training**
 20 **services and assisting the crime lab, if I understand**
 21 **it correctly?**
 22 A. That's over a three-year period. I do provide
 23 a limited amount of training. But most of my -- most
 24 of my work is -- is on casework.
 25 **Q. So the contract calls you -- bills the county**

1 **\$340,000 to do both training and -- and contract**
 2 **work, correct?**
 3 A. Yes.
 4 **Q. And that work is to assist the crime lab**
 5 **firearm examiners that they already have? In other**
 6 **words, you're not the sole person that does firearms**
 7 **work in that county, are you?**
 8 A. I am not.
 9 **Q. All right. So -- so you assist -- how many**
 10 **other firearms examiners do they have?**
 11 A. We have -- we have one who is actually a
 12 supervisor, so he does fairly little actual casework.
 13 **Q. Uh-huh.**
 14 A. We have two experienced people that do
 15 casework, one experienced person that is currently on
 16 loan to the FBI, and we have two examiners in
 17 training.
 18 **Q. And so your -- one of your roles under that**
 19 **contract is to assist those permanent employees in**
 20 **the county?**
 21 A. To a limited extent, yes.
 22 **Q. And then the other part of your contract is to**
 23 **provide training to the crime lab personnel who are**
 24 **permanently employed, right?**
 25 A. Just in firearms and toolmarks, yes.

1 **Q. But you said you do no training, was what you**
 2 **said on direct examination.**
 3 A. No, I do a little bit of training, not very
 4 much. Most of my work is casework.
 5 **Q. Okay. And does the cont- -- is this -- for**
 6 **340,000 is it a full-time position? In other**
 7 **words --**
 8 A. Yes.
 9 **Q. -- is your obligation to work for them**
 10 **full-time?**
 11 A. Yes.
 12 **Q. And does it allow for independent contracting**
 13 **with --**
 14 A. Yes.
 15 **Q. -- consulting work?**
 16 A. Yes.
 17 **Q. And I assume you get paid, for instance, in**
 18 **this case?**
 19 A. Yes.
 20 **Q. And what's the billing in this case that you --**
 21 A. My rate?
 22 **Q. Yes.**
 23 A. \$175 an hour.
 24 **Q. And where does that -- does that money go back**
 25 **to the county or does that go to you?**

1 A. It goes to me. It goes to my company. I work
 2 at Contra Costa by the hour. If I'm not there I
 3 don't get paid.
 4 **Q. Okay. Thank you for clarifying that.**
 5 **Now, you purport to be an expert in the**
 6 **literature regarding the admissibility of firearms**
 7 **and toolmarks, correct?**
 8 A. Yes.
 9 **Q. And were you asked to review any of the**
 10 **specific casework in this particular case?**
 11 A. I did look over some of the casework.
 12 **Q. And what did you look over?**
 13 A. I looked over the case notes and report that
 14 Katharina Babcock wrote.
 15 **Q. Were you asked to reexamine the -- first of**
 16 **all, what's your understanding of what she did, just**
 17 **very briefly, in terms of did the work in this case**
 18 **involve shell casing matches or bullet matches? Or**
 19 **you talked about bolt cutters, hammers.**
 20 **What did the work in this case involve,**
 21 **according to your understanding?**
 22 MS. MOTT: Your Honor, I'm going to object
 23 at this point. This is completely out of the scope
 24 of direct. This was not discussed whatsoever.
 25 MR. BURT: Well, Your Honor, I think it is

1 relevant to his testimony. I'm trying to focus him
 2 on what was done in this case so I can ask him some
 3 questions that are relevant to this case.
 4 THE COURT: All right.
 5 Now in direct, the testimony was more on
 6 the -- on the science or the discipline --
 7 MR. BURT: Right.
 8 THE COURT: -- as opposed to specifics of
 9 this case.
 10 How is this not outside the scope of the
 11 direct?
 12 MR. BURT: Because he ranged far and wide
 13 about topics that I will educate the Court on have
 14 nothing to do with this case.
 15 In other words, he talked about bolt
 16 cutters, he talked about many areas of -- of
 17 toolmarks that really are not relevant here. So I'm
 18 trying to focus him on what his understanding of what
 19 is at issue here so that I can then ask him about the
 20 literature that's relevant to this case and not
 21 literature having nothing to do with this case. And
 22 that's really the only purpose.
 23 THE COURT: All right. Anything else?
 24 MS. MOTT: My objection remains,
 25 Your Honor. You're absolutely right. He is here for

1 the purpose of discussing the scientific
 2 underpinnings and how it applies as Daubert factors
 3 and whether or not it is admissible under Daubert.
 4 That's what he's here for, that's what he
 5 discussed.
 6 Now, he did discuss a wide range of
 7 literature that is pertinent to the field and the
 8 science of firearm and toolmark identification.
 9 I'm more than willing to allow and not
 10 object in terms of questions about that. But the
 11 actual facts of this case are not at issue with this
 12 witness.
 13 THE COURT: Well, to the extent, Mr. Burt,
 14 that you would like to get into the science
 15 surrounding the issues that are involved here I will
 16 certainly give you that leeway.
 17 But -- but beyond that, I would just
 18 caution you that this is not about cross-examination
 19 as to what was done in this particular case.
 20 MR. BURT: Sure. All right.
 21 BY MR. BURT:
 22 **Q. Just very briefly, then, what is your**
 23 **understanding of what is at issue in this case, in**
 24 **terms of what type of firearm or toolmark or**
 25 **cartridge case or -- or other toolmark examinations**

1 are at issue?
 2 A. I believe it involves the comparison of fired
 3 cartridge cases with some guns.
 4 **Q. Okay. So no bullet comparisons, correct?**
 5 A. I don't recall any, but I didn't do an in-depth
 6 evaluation of the casework.
 7 **Q. And we'll hear from Ms. Babcock, I'm sure, but**
 8 **no -- no other toolmarks outside of cartridge cases.**
 9 **In other words, there were no chisels or anything**
 10 **like that?**
 11 A. I don't believe so.
 12 **Q. Okay. And is it true that your field is -- and**
 13 **strike that.**
 14 **The literature that you discuss addresses a**
 15 **number of different kinds of toolmark analysis,**
 16 **correct?**
 17 A. Yes.
 18 **Q. Some of the literature relates to comparing**
 19 **bullets, correct?**
 20 A. Yes.
 21 **Q. Other literature relates to cartridge case**
 22 **comparisons?**
 23 A. Yes.
 24 **Q. And then there's a large body of literature**
 25 **that addresses specific applications of toolmarks**

1 outside of firearms, correct?
 2 A. Yes.
 3 **Q. So there's articles about comparing chisels and**
 4 **knives and a whole host of physical options, correct?**
 5 A. Yes.
 6 **Q. Okay. And is it true that the -- most of the**
 7 **literature that you're familiar with deals with shell**
 8 **casing and firearm examinations, or are you familiar**
 9 **with a whole range of literature across the entire**
 10 **discipline?**
 11 A. I'm familiar with a range of literature.
 12 **Q. Now, in -- before you testified here today, did**
 13 **you assist the government in compiling this list that**
 14 **was submitted as an exhibit? In other words, all of**
 15 **these articles?**
 16 A. I suggested some of them, yes.
 17 **Q. And did you suggest this would be**
 18 **representative of literature, and so we should bring**
 19 **this to the Court's attention?**
 20 A. Yes.
 21 **Q. Do you think that the literature that is cited**
 22 **on the exhibit list here is a fair representation of**
 23 **the literature?**
 24 A. To a limited degree, yes. There's a lot more
 25 that we could add to that list, but yes.

1 **Q. And did you intentionally try and confine the**
 2 **literature just to things written by practitioners in**
 3 **the field, or did you also include critiques or**
 4 **commentary by scientists outside your field?**
 5 A. There's some articles written by people outside
 6 the field.
 7 **Q. For instance, I think you mentioned Michael**
 8 **Saks as being someone with a keen intellect who you**
 9 **and your co-author attempted to respond to in that**
 10 **1998 article you wrote about Daubert, correct?**
 11 A. No. We responded to his evaluation of the
 12 chapter in "Modern Scientific Evidence."
 13 Oh, I'm sorry.
 14 Yes. He -- we included a reference to
 15 Michael Saks in the 1998 article that Richard
 16 Grzybowski and I wrote.
 17 **Q. Okay. And he, I think you identified, as**
 18 **someone who is not a critic, but I think you referred**
 19 **to it as somebody who's keeping the field on their**
 20 **toes in terms of trying to constructively critique**
 21 **it.**
 22 A. Yes.
 23 **Q. Okay. And he's also your collaborator in this**
 24 **chapter in "Modern Scientific Evidence"?**
 25 A. He has been. I don't know whether he's going

1 to continue in that capacity in future editions or
 2 not.
 3 **Q. And that chapter you mentioned is -- you, I**
 4 **think, identified as something that was written to**
 5 **assist -- in part to assist judges in evaluating**
 6 **Daubert claims?**
 7 A. Yes.
 8 **Q. And the particular chapter that is marked here**
 9 **as an exhibit is, I believe -- is it 16 and 17? I**
 10 **think it is a portion of that same chapter, correct?**
 11 A. Well, I think 16 is the chapter, and the other
 12 one is a portion of it. That's that long footnote
 13 that I've mentioned.
 14 **Q. Okay. All right. And what -- do you try and**
 15 **stay current on the literature?**
 16 A. Yes.
 17 **Q. Is the literature that you helped put together**
 18 **here current?**
 19 A. Yes. Are you meaning chapter? Yes.
 20 **Q. It is?**
 21 A. You are referring to the chapter that...
 22 **Q. Yes. I'm referring specifically to chapter --**
 23 **Exhibit 16, which is Chapter 35.**
 24 A. Yes.
 25 **Q. And what -- that book has gone through various**

1 **editions, correct?**
 2 A. Correct.
 3 **Q. It first got published in 1997?**
 4 A. Yes.
 5 **Q. And then there was a revision in 2002?**
 6 A. Yes.
 7 **Q. Another in 2010?**
 8 A. 2009/10, yes. In fact, I think there was one
 9 in between those two, also.
 10 **Q. Right. And then there's a current one, is**
 11 **there not?**
 12 A. I don't know.
 13 **Q. 2011/2012?**
 14 A. That, I don't know. Usually we're notified and
 15 asked if we want to submit revisions. I haven't
 16 gotten anything like that.
 17 **Q. Well, your name is on this chapter, correct?**
 18 A. Yes.
 19 **Q. What version of the chapter is Exhibit 16?**
 20 A. It's a 2009/2010 edition, Chapter 35. If
 21 there's a newer one, that's news to me.
 22 **Q. Okay. Are you saying if there's a --**
 23 **I've marked as Defendant's A through H -- A**
 24 **through G the exhibits that were submitted to the**
 25 **Court on CD, per the Court's order. And so I have**

1 **additional exhibits, and the first one, then, I would**
 2 **ask -- that I would mark as Exhibit H.**
 3 MS. MOTT: And, Your Honor, for the Court's
 4 knowledge and objection, that we have not been
 5 provided this. We were provided a binder, but it's
 6 none of these exhibits past G.
 7 THE COURT: All right. And what is this
 8 particular exhibit?
 9 MR. BURT: Your Honor, this is the current
 10 version of a chapter than the older version that the
 11 government has submitted.
 12 THE COURT: All right. Thank you.
 13 MS. MOTT: Your Honor, just for the record,
 14 I was handed a CD, not the exhibit.
 15 THE COURT: Do you have an exhibit for the
 16 government?
 17 MR. BURT: Your Honor I have it on -- I
 18 provided it on CD, and I have a hard copy for the
 19 Court, I believe.
 20 Your Honor, because these are voluminous
 21 exhibits, we prepared the second copies on CD. And
 22 so I have one working copy and one original for the
 23 witness at this point.
 24 THE COURT: All right. Well, I think, if
 25 you have something you can show Ms. Mott, just so

1 that she can --
 2 MR. BURT: Sure.
 3 THE COURT: -- at least have some knowledge
 4 of what you're referring to.
 5 MR. BURT: Yeah. And, Your Honor, just --
 6 I actually didn't anticipate this problem, because I
 7 anticipated the government would have this copy. But
 8 the copy they're submitting is not the current
 9 version, so that's the difficulty.
 10 But I will be glad to show it to Counsel.
 11 BY MR. BURT:
 12 **Q. Is that the current version of the chapter?**
 13 A. It appears to be, yes.
 14 **Q. Okay. And you're listed as the author of**
 15 **the -- one of the authors in the chapter, correct?**
 16 A. Yes.
 17 **Q. Now, there appears to be some differences here**
 18 **between what got submitted in Exhibit 16 and --**
 19 A. There shouldn't be any differences in our
 20 portion of the chapter. There may be some in the
 21 first part, because that's written by the attorney
 22 editor.
 23 **Q. Right. And the first part, written by Michael**
 24 **Saks is, again, designed to address sort of the legal**
 25 **framework. And then you're addressing the science**

1 **part?**
 2 A. Yeah. Well, it used to be written by Michael
 3 Saks. It appears now that it may be -- well, I don't
 4 know who is writing it now, because I don't know who
 5 replaced Michael as the editor of our chapter. You
 6 can only think that ours -- our section is identical,
 7 since they didn't contact the authors.
 8 **Q. Okay. And so you don't keep up with the**
 9 **literature to the extent of knowing what gets**
 10 **currently published with your name on it, correct?**
 11 A. Oh, I do the best I can. I can only think that
 12 the reason they didn't get -- notify us is that it
 13 was so close to the 2009/2010 that perhaps they
 14 thought we wouldn't have any -- any suggestions for
 15 modifications.
 16 **Q. Now, do you get to review the entire chapter**
 17 **before it's published, both the legal aspect of it**
 18 **and the science part?**
 19 A. No, just our part.
 20 **Q. And you have read the -- once it's published,**
 21 **of course, you read the entire chapter?**
 22 A. I do.
 23 **Q. And is there a process where, if you have**
 24 **serious concerns about some of the legal aspects as**
 25 **it relates to the science, that you have some input**

1 into that?

2 A. I've never made input. I didn't feel that it
3 was appropriate. I figured that Michael would write
4 what he wanted to write.

5 **Q. All right. But some of it is actually related
6 not so much to the law, but to the science, correct?**

7 A. Some of it, yes.

8 **Q. And that part of it, you would have some sort
9 of input into it?**

10 A. I've never chosen to provide input to that
11 portion.

12 **Q. So your knowledge of the literature -- this is
13 something that is widely circulated, this particular
14 chapter, correct?**

15 A. I think it is.

16 **Q. And you don't keep up with the chapter to the
17 extent of actually reviewing what your co-authors
18 wrote and seeing if it's accurate or not? You've
19 never done that?**

20 A. No, I have never edited Michael's. He never
21 asked me to and I never took it upon myself to do it.

22 **Q. But as an expert in the literature, whether he
23 asked you to do it or not, you were -- you've never
24 actually reviewed his section of it and -- with an
25 eye towards determining whether his statements about**

1 the science are correct; not the legal part of it,
2 but the science?

3 A. It's not something that I considered relevant.

4 MS. MOTT: Your Honor, I believe that has
5 been asked and answered.

6 THE COURT: It has been.

7 BY MR. BURT:

8 **Q. Now, in the -- take a look at, if you would, at
9 page -- do you have the government's exhibit in front
10 of you?**

11 A. Which one do you want me to look at? Chapter
12 35, the one from '09?

13 **Q. Yes, page -- I think it is page 659.**

14 MS. MOTT: And, Your Honor, just a
15 suggestion for Mr. Burt. Maybe it would be helpful
16 to put it on the Elmo what changes he is talking
17 about.

18 MR. BURT: Sure, I can do that.

19 BY MR. BURT:

20 **Q. You said you have read the legal part of this
21 at some point?**

22 A. It's been some time ago. I haven't read it
23 lately.

24 I'm trying to find it. May I take time to
25 find it?

1 **Q. Yes, sure.**

2 MS. MOTT: If I may, Your Honor, I think I
3 might be able to --

4 THE COURT: Retrieve it for him?

5 MS. MOTT: Yes.

6 BY MR. BURT:

7 **Q. Okay. We're at 659. I'll put that up on the
8 Elmo. This is the government's exhibit.**

9 **One of the things that Professor Saks does
10 in this literature is to provide critical analysis of
11 the cases that have decided Daubert issues on firearm
12 and toolmarks, correct?**

13 A. As I recall, yes.

14 **Q. And on this particular page of Exhibit 16, he's
15 discussing the Diaz case out of the Northern District
16 of California, correct?**

17 A. Yes.

18 **Q. And he makes a statement that I've got
19 highlighted there: "The Diaz Court takes the studies
20 and eventually reviews on their face engaging in no
21 critical valuation of them."**

22 **Do you see that?**

23 A. Am I supposed to be able to see something on
24 this one? Okay. You have it on there?

25 **Q. It should be.**

1 A. And I'm sorry. I lost track of the...

2 **Q. Okay. In the version that you identified as
3 Exhibit 16 and the government identified, I've
4 highlighted there the statement: "The Diaz Court
5 takes the studies and eventually reviews on their
6 face engaging in no critical evaluation of them."**

7 A. I see that.

8 **Q. Okay. And what he's referencing there is --
9 the studies being referred to are the scientific
10 studies that you alluded to in your direct
11 examination, correct?**

12 A. I believe so. I'm not certain, because I -- I
13 don't know what studies they're talking about, but
14 I'll take your word for it.

15 **Q. And basically the studies that you reference,
16 sir, publish a lot of them in the AFTE Journal?**

17 A. Yes.

18 **Q. Which you consider to be a peer-reviewed
19 journal?**

20 A. I do.

21 **Q. So that's the 2009/2010 version.**

22 **Now, I want to show you the current version
23 of that same sentence. And now it reads --**

24 A. What page are we on?

25 **Q. This is 655 of the new version.**

1 You see in this page that same sentence is
 2 there. It says: "The Diaz Court takes the studies
 3 and eventually reviews on their face engaging in no
 4 critical evaluation of them."
 5 Do you see that?
 6 A. Yes, I do.
 7 Q. Then he adds another couple of sentences. And
 8 what he says is: "The Diaz Court also fails to
 9 recognize that the AFTE Journal hardly can be said to
 10 meet the basic requirements of a peer-reviewed
 11 journal. Peer reviewers are not blind, come entirely
 12 from the firearms and toolmark profession. And
 13 furthermore, the AFTE Journal itself is
 14 extraordinarily difficult for anyone but AFTE members
 15 to access, as it is not available on the Web, is not
 16 abstracted in any of the major scientific abstracting
 17 entities, and exists in holdings of remarkably few
 18 research libraries across the country."
 19 Do you see that?
 20 A. I do, indeed.
 21 Q. Now, is that the first time you've ever seen
 22 that?
 23 A. In this chapter. And you say that Michael
 24 wrote that. I'm not convinced that Michael wrote
 25 this.

1 Q. Well, I don't --
 2 A. He's not the current editor.
 3 Q. Okay. Who is? You are a co-editor of this
 4 chapter. Can you tell me who -- who wrote that?
 5 A. They didn't tell me who the editor now is of
 6 our chapter.
 7 You'd think out of common courtesy they
 8 would have, but they didn't do it.
 9 Q. Well, in terms of your expertise of someone who
 10 knows the literature, this is one area where you
 11 can't tell me who the author of this particular
 12 pretty relevant piece of information is?
 13 A. I know -- I know the literature as it pertains
 14 to the portion of the chapter that I wrote.
 15 I don't know who wrote this, because one of
 16 the new names on this editor list is -- Jennifer
 17 Mnookin or Erin Murphy probably wrote this, because I
 18 understood that a woman was likely going to take
 19 Michael's place.
 20 So I don't think Michael wrote this at all.
 21 Q. And when you were opining that a woman probably
 22 wrote this --
 23 A. Yes.
 24 Q. -- what is that based on, the fact that your --
 25 A. It's based on what somebody told me. That -- I

1 think it was either David Faigman or David Faigman's
 2 wife, Lisa, who I give lectures for in San Francisco.
 3 She told me that the last time I was over there
 4 giving a lecture.
 5 I said, "Well," I said, "I don't know
 6 whether Michael is going to continue."
 7 And she said, "Well, I have an interest in
 8 being the editor, but I don't know if they would have
 9 me do that, since my husband, David Faigman, is also
 10 an editor." But she said, "I understand that" -- and
 11 she gave me a name. It was a woman's name, and it
 12 was probably one of these two names, but I don't know
 13 which one.
 14 Q. Now, we're going to say this particular volume
 15 of this book has a high reputation for integrity in
 16 the forensic science field, correct?
 17 A. I think it does, yes.
 18 Q. And the authors and the co-authors are
 19 generally people that have a great deal of either
 20 academic background or practical background in the
 21 particular fields that they're writing about?
 22 A. Most of them do.
 23 Q. Would you expect that they would be dragging
 24 into this multivolume set people who are not
 25 qualified to edit this particular chapter?

1 A. I don't know what their qualifications are. I
 2 can't speak to that.
 3 Q. Well, just generally, given --
 4 A. I would hope not. I think that David
 5 Faigman -- David enjoys a good reputation. I know
 6 him personally.
 7 Q. Uh-huh.
 8 A. I would not think that he would have a hand in
 9 recruiting someone who was not qualified.
 10 Q. You say David Figman has a good reputation,
 11 correct?
 12 A. Yes.
 13 Q. And he has testified against you in some of
 14 these more recent Daubert hearings, in opposition to
 15 the position that you have stated here today. Isn't
 16 that true?
 17 A. He testified in one case in Contra Costa
 18 County. I didn't -- witnesses were excluded, so I
 19 wasn't allowed to hear his testimony. I have it, but
 20 I haven't read it yet.
 21 Q. And do you -- so you have no idea what his
 22 views were expressed in that testimony?
 23 A. Well, I have an idea. I was told by some of
 24 the members of my laboratory that he spoke about the
 25 NAS report, but then admitted that he hadn't read the

1 entire NAS report.

2 And he was questioned about some of the
3 other things, the one article that he and Michael
4 Saks wrote that began -- the title began with "Failed
5 Forensics," I believe. He was asked some questions
6 about that.

7 **Q. About the article "Failed Forensics"?**

8 A. Yes. Yes.

9 **Q. Is that an article that you read, "Failed
10 Forensics"?**

11 A. I have.

12 **Q. You said that your article that was written in
13 1998 was meant to address the concerns or the
14 criteria that Professor Saks had raised in terms of
15 how you satisfied Daubert, correct?**

16 A. Well, the article wasn't written because of
17 that. But since I was familiar with what Michael
18 had -- had written, I knew that he said that in order
19 to make the conclusions that we come to in the
20 firearm and toolmark identification, we have to be --
21 the three premises that he listed.

22 So I imported them into the article. I
23 reported in our article how he had said that -- and I
24 think it was in Shepard's Law Review or somewhere,
25 and then I proceeded to describe how, in the opinion

1 good enough reputation to where if he would have felt
2 that this is bogus he wouldn't have allowed the
3 chapter to appear.

4 **Q. And what did he say in this article called
5 "Failed Forensics," since you've read it?**

6 A. Well, it's an extensive article. I don't
7 remember anything specific, other than that we -- one
8 thing I do remember is that he said we didn't grow up
9 within the university system.

10 **Q. Okay. Did he also say that firearms and
11 toolmarks -- that firearms and toolmarks sciences
12 were non-sciences, as he calls it, it's "based on the
13 irrational reliance on unspecified, unsystematic
14 experience, coupled with plausible-sounding arguments
15 as the nearly exclusive basis for their hypotheses."**

16 **Do you remember him writing that?**

17 A. He did. He did write that.

18 **Q. Okay. And do you remember him writing in that
19 article: "The non-science forensics sciences, as the
20 paradoxical phrase suggests, are those fields within
21 the forensic science that have little or no basis in
22 actual science. They neither borrow from established
23 science nor systematically test their hypotheses.**

24 **"Their primary claims for validity rest on
25 the anecdotal experience and proclamations of success**

1 of Richard Grzybowski and myself, our profession
2 meets those premises and satisfies those
3 requirements.

4 **Q. And based on your expertise in the literature,
5 did you convince Professor Saks? Did he subsequently
6 write an article which said, you know, I reviewed the
7 Grzybowski article, and I now am convinced that
8 firearms and toolmarks passes muster under Daubert?**

9 A. I didn't ask him whether he agreed with what we
10 wrote or not.

11 **Q. I didn't ask you that. I said: Based on your
12 expertise in the literature, do you know whether he
13 wrote an article, after you wrote that article in
14 1998, in which he said, you know, now that I have
15 reviewed the Murdock/Grzybowski article, I'm
16 convinced that the firearms toolmark practice is on
17 firm scientific grounds?**

18 A. I never saw him write that.

19 **Q. But you did see him write an article in 2008
20 called "Failed Forensics," correct?**

21 A. Yes. But I also saw him edit the chapter. And
22 he allowed it to see the light of day, where we go on
23 record as saying that we do meet the scientific
24 underpinnings.

25 I don't think -- I think that Michael has a

1 **over time. Hypothesis and supposition are typically
2 considered sufficient; whereas in most scientific
3 fields experience and observation are designed as the
4 first steps of the scientific method, for many
5 forensic fields they constitute the final stage of
6 confirmation.**

7 **"Indeed, in a way, many practitioners of
8 the forensic arts have turned the scientific method
9 on its head. So long as their hypothesis and
10 suppositions have not been tested, they are assumed
11 true. Hypotheses that endure over time rather than
12 actually being tested are deemed proven.**

13 **"This model was once pervasive in applied
14 settings, especially in medicine, and produced such
15 time-honored technologies as blood letting and
16 phrenology. The fields that most" --**

17 MS. MOTT: Your Honor, I have to object. I
18 mean is there a question here or are we testifying?

19 And I am not even sure what exhibit we're
20 referring to, other than I know the article. So...

21 THE COURT: Which exhibit is that?

22 MR. BURT: I haven't marked it, but I
23 certainly will at this point. This will be -- this
24 is Tab 2 of these...

25 THE COURT: Is that from the "Failed

1 Forensics" article?
 2 MR. BURT: Yes. I'll mark this as
 3 Exhibit I, a copy for the Court.
 4 THE COURT: So you were reading from the
 5 article?
 6 MR. BURT: Yes. I'm on page 150. I was at
 7 the bottom of page -- top of page 150, where he says:
 8 "This model was once pervasive in applied
 9 settings, especially in medicine, and produced such
 10 time-honored technologies as blood letting and
 11 phrenology.
 12 "The fields that most resemble those
 13 ancient non-science sciences are the forensic
 14 identification sciences as well as certain other
 15 specialties within forensic science.
 16 "By 'identification sciences' we mean those
 17 subfields that often are referred to as
 18 criminalistics and that involved pattern matching in
 19 an effort to associate a crime scene mark or object
 20 with a source.
 21 "These subfields include the comparison of
 22 fingerprints, handwriting, bite marks, voice prints,
 23 toolmarks, firearms, tire prints, shoe prints, and so
 24 on."
 25

1 BY MR. BURT:
 2 **Q. Would it be fair to say, based on that passage,**
 3 **that you did not convince Professor Saks, that you**
 4 **have described as having a keen intellect, of the**
 5 **rightness of your position?**
 6 A. What page were you on?
 7 **Q. 150.**
 8 A. The article starts on 149. So you are on the
 9 second page?
 10 **Q. Based on that passage, do you think that**
 11 **Professor Saks has been convinced of the rightness of**
 12 **your position?**
 13 A. No, I do not. But I disagree with his
 14 characterization.
 15 **Q. Right. And so what we're trying to get at here**
 16 **is what the relevant scientific literature shows.**
 17 **And you pointed to some literature. This**
 18 **would be other literature that's certainly pertinent**
 19 **to the issue before the Court, wouldn't you agree?**
 20 A. It is certainly other literature. How
 21 pertinent it is I don't know. That's for the Court
 22 to decide.
 23 **Q. Well, you said in your direct testimony that**
 24 **your opinion is the relevant scientific community,**
 25 **for purposes of Daubert, is the AFTE organization.**

1 **Is that true?**
 2 A. Yes.
 3 **Q. Do you think that other scientists who don't**
 4 **belong to AFTE have a role to play in evaluating and**
 5 **critiquing your field?**
 6 A. Certainly any scientific field and the
 7 judiciary has a right to evaluate and critique our
 8 field.
 9 AFTE is a relevant scientific community
 10 when it comes to the methods of evaluation and the
 11 justification for making conclusions. But evaluation
 12 is fair game for anyone.
 13 **Q. Fair game with anyone with the expertise or the**
 14 **knowledge to be able to -- to offer opinions?**
 15 A. Yes.
 16 **Q. You could offer opinions about astrology if you**
 17 **read the literature and were up on it and -- from the**
 18 **scientific viewpoint -- could say, "Even though I'm**
 19 **not an astrologist, I've read the scientific or**
 20 **non-scientific literature on astrology, and I could**
 21 **have an opinion this is an invalid science."**
 22 **Do you see anything wrong with that**
 23 **approach, even though you're not as astrologist?**
 24 A. I don't think I would have enough time in my
 25 lifetime to become familiar enough with astrology to

1 offer any opinion that anybody would value, quite
 2 frankly.
 3 **Q. Sure. And I'm asking this as a hypothetical.**
 4 **In other words, if you had the time and the**
 5 **inclination to review the relevant literature in a**
 6 **particular field, given your background and training,**
 7 **do you think you would be able to do that, even**
 8 **though you're not a practitioner of whatever the**
 9 **field is?**
 10 A. If I had the time and inclination. But I would
 11 also want to consult with practitioners and get a
 12 good handle on what's actually done in practice.
 13 Because you can read the literature and not
 14 really get a very good handle on the specifics of
 15 what is being done or why people make the conclusions
 16 that they do.
 17 **Q. Now on that same page he says that: "The**
 18 **forensic identification science -- sciences have**
 19 **irrational reliance on unspecified, unsystematic**
 20 **experience coupled with plausible-sounding arguments**
 21 **as a nearly exclusive basis for their hypotheses."**
 22 **Do you agree with that statement?**
 23 A. I just found where you're reading from, so let
 24 me look at it briefly.
 25 **Q. Sure.**

1 A. If the field relied on unspecified unsystematic
 2 experience I would agree with it.
 3 **Q. Right. And he's including your field within**
 4 **this commentary. Do you understand that?**
 5 A. I do. And I think he's wrong.
 6 **Q. Okay. That's a legitimate area of**
 7 **disagreement, correct --**
 8 A. Yes.
 9 **Q. -- between the two of you?**
 10 A. Yes.
 11 **Q. You do hold respect for his opinions?**
 12 A. Yes.
 13 **Q. Okay. Now he also says, at the bottom of that**
 14 **same page: "The non-science forensic sciences" --**
 15 **again including your field -- "have failed on several**
 16 **levels. They're scientific failures in the sense**
 17 **that science either in substance or in methodology**
 18 **played little more than a rhetorical part in the**
 19 **development of these fields. The word and the**
 20 **accouterments of science were exploited to sell these**
 21 **fields to the Court and to the public."**
 22 **Do you agree with that sense?**
 23 A. I see what it says, but I don't agree with it.
 24 **Q. Okay. And you were aware of these opinions**
 25 **when you gave your opinions on direct testimony?**

1 A. Yes.
 2 **Q. When you were presenting the Court with an**
 3 **overview of the literature, is there a particular**
 4 **reason why you did not cite contrary literature, like**
 5 **this, to indicate to the Court there's really a**
 6 **controversy here? It's not just AFTE, it's other**
 7 **people weighing in on the other side.**
 8 **Is there some particular reason you didn't**
 9 **do that?**
 10 A. Yes.
 11 **Q. Why?**
 12 A. I advocate the propriety of firearm and
 13 toolmark identification. And so I was asked to come
 14 to court and present what I think is a cogent
 15 argument in support of that position.
 16 If I was asked to come to court and compare
 17 and contrast conflicting views with views that do
 18 advocate that position, I would certainly have
 19 included those.
 20 **Q. So your role, as you see it, was as an advocate**
 21 **to present an argument in support of the person who**
 22 **called you, correct?**
 23 A. Not the person that called me. I'm here to
 24 speak on behalf of the forensic examination of
 25 firearm and toolmarks and the forensic identification

1 of those. I'm --
 2 **Q. Go ahead. I'm sorry.**
 3 A. I'm convinced of the propriety of those
 4 conclusions as they are done in the best laboratories
 5 as the end result of quality casework, casework that
 6 is punctuated by skillfully crafted notes, the use of
 7 photomicrographs, all the things that Chairman Rolph
 8 said were the hallmarks of good science and should be
 9 evaluated on a case-by-case basis.
 10 **Q. Is Chairman Rolph a forensic scientist?**
 11 A. No, he's a statistician.
 12 **Q. Right. He has never been in a crime lab in his**
 13 **life, has he?**
 14 A. I have no idea.
 15 **Q. But he's not a forensic scientist?**
 16 A. No. He's a statistician.
 17 **Q. Right. So you value his opinion, even though**
 18 **it's not of a forensic scientist in this particular**
 19 **field -- in your particular field?**
 20 A. Yes.
 21 **Q. That opinion you value, correct?**
 22 A. I happen to agree with his characterization of
 23 what constitutes quality casework. And anyone could
 24 offer that, and I would agree to it.
 25 **Q. And how much writing has John Rolph done in the**

1 **field of forensic science as compared to, say,**
 2 **Michael Saks, who, as you say, has been tracking**
 3 **these issues for years?**
 4 A. Oh, I don't think John Rolph has written near
 5 as much as Michael Saks.
 6 **Q. Can you name me one publication that he's**
 7 **written in the area that we're talking about here,**
 8 **firearms and toolmarks?**
 9 A. No.
 10 **Q. Has he ever written any article about your**
 11 **field?**
 12 A. I doubt it.
 13 **Q. Other than chaired this committee?**
 14 A. I doubt it.
 15 **Q. Which had some negative things to say, correct?**
 16 A. They did.
 17 **Q. As evidenced by the fact that you felt**
 18 **compelled to respond to the negative things.**
 19 A. Yes.
 20 **Q. Even though that was not their charge to**
 21 **evaluate the field, you admit that there is negative**
 22 **commentary in that 2008 report about the scientific**
 23 **validity of your field?**
 24 A. Yes.
 25 **Q. If there wasn't any negative commentary, you**

1 **wouldn't have written that response?**
 2 A. Probably not.
 3 **Q. Okay. And this particular article we are**
 4 **talking about, the forensic failure -- "Failed**
 5 **Forensics" article, that does comment on your**
 6 **particular field, right? It's not a generalized**
 7 **statement about forensic science in general. It**
 8 **particularly evaluates firearms and toolmarks?**
 9 A. No, it's a general -- it's a general commentary
 10 on the comparative of forensic sciences.
 11 **Q. He says in the article -- you mention training**
 12 **programs. One of your slides had all of those**
 13 **programs listed for firearms and toolmarks?**
 14 A. Yes.
 15 **Q. He says in this article "only a relative**
 16 **handful of schools nationally have forensic science**
 17 **departments, and most of those are devoted to**
 18 **teaching the technologies of the past" --**
 19 MS. MOTT: Your Honor, page number, please?
 20 MR. BURT: 152.
 21 BY MR. BURT:
 22 **Q. -- "most of those are devoted to teaching the**
 23 **technologies of the past rather than testing the**
 24 **limits of these technologies or developing their**
 25 **scientific foundations."**

1 **Do you agree with that statement?**
 2 A. To a limited degree, I do.
 3 **Q. Okay. He says, on page 153: "If forensic**
 4 **individualization science had emerged from normal**
 5 **science" --**
 6 A. What about -- oh, I see where you are. I'm
 7 sorry.
 8 **Q. The second paragraph. Second column, second**
 9 **paragraph.**
 10 A. Thank you.
 11 **Q. "If forensic individualization science had**
 12 **emerged from normal science, its approach and its**
 13 **techniques probably would resemble DNA typing, with**
 14 **its measurement of attributes, sampling the variation**
 15 **of populations, and statistical basis. Error rates,**
 16 **probability levels, confidence intervals and so on**
 17 **would be natural parts of what developed.**
 18 **"The elements of subjectivity and forensic**
 19 **examination would themselves be topics of research,**
 20 **to understand both their operation and how to tame**
 21 **them."**
 22 **Do you agree with that statement?**
 23 A. Only in part, because I've already testified
 24 that DNA makes their associations on the basis of
 25 subclass characteristics. And so the things that

1 he's outlined here are absolutely essential for that
 2 field.
 3 And I do not think that many of them are
 4 applicable to our field, since we deal with
 5 individual characteristics. We don't identify on the
 6 basis of subclass characteristics, but they do.
 7 **Q. So are error rates applicable to your field? I**
 8 **think you testified about error rates --**
 9 A. Yes, they are.
 10 **Q. -- right?**
 11 A. They are.
 12 **Q. And as I understand your testimony on error**
 13 **rates, you said there's problems with using CTS**
 14 **proficiency exams to estimate error rates, but then**
 15 **you went ahead and gave us an error rate estimate,**
 16 **correct?**
 17 A. Yes.
 18 **Q. And so how do you do that scientifically? If**
 19 **there are problems with using CTS as a base for error**
 20 **rates, how do you compute a scientifically defensible**
 21 **error rate?**
 22 A. Because it's the best that we have. It
 23 provides a general indication, but only a general
 24 indication.
 25 **Q. You address error rates in your -- in your**

1 **chapter -- Chapter 55, correct?**
 2 **We're back to Exhibit 16.**
 3 A. Yes, I'm there.
 4 **Q. You are discussing the issue of error rates**
 5 **here, correct?**
 6 A. In part, yes.
 7 **Q. Yeah. And would you read the paragraph which**
 8 **begins: "Based on present data, the field is in a**
 9 **poor position to calculate error rates."**
 10 **Read the rest of that paragraph and the**
 11 **beginning of the next.**
 12 A. "Thornton recently addressed known or potential
 13 error -- rate of error by saying the test results
 14 hinging on judgment calls do not lend themselves to
 15 analysis by conventional statistics.
 16 "No doubt Thornton was not saying that the
 17 products of human judgment cannot be measured
 18 statistically, since most if not all of cognitive
 19 science does precisely that. But rather that
 20 forensic science researchers have not managed to
 21 calculate them for the forensic specialties like
 22 firearm and toolmark comparison, that depends in part
 23 on subjective judgment.
 24 "With modern statistical technology,
 25 forensic science decision-making could be subjected

1 to quantitative analysis, but to date it has not
2 been.

3 "Some have used the results of the
4 proficiency testing program administered by the
5 forensic sciences foundation as a major information
6 about error rates. Admittedly, this is tempting,
7 since they represent virtually the only information
8 collected on a large scale. But it is, at the same
9 time, a flawed approach.

10 "These declared, not blind, proficiency
11 tests were designed to be used by individual crime
12 labs as a quality assurance tool and were never
13 intended to be used as a basis for a nationwide study
14 of forensic error rates.

15 "Some crime labs treat them formally,
16 requiring that they be completed by the due date so
17 that their results will be among the tabulated data
18 sent out following each test.

19 "Other laboratories treat them much less
20 formally, asking only that they be worked on as time
21 permits, and it usually does not, since other labs
22 work harder on the proficiency tests than on their
23 regular caseload, because they are a test.

24 "In addition, some examiners may be more
25 conservative when reporting the results of a declared

1 proficiency test, feeling that they have little to
2 gain but much to lose if they make an error.

3 "It has generally been the case that
4 although proficiency test results have been reviewed
5 by a supervisor before being reported, they were not
6 subjected to peer review.

7 "Peer review is an important process widely
8 used in crime laboratories. This process helps
9 prevent errors in casework from seeing the light of
10 day.

11 "In cases where the supervisor was not a
12 subject matter expert in the proficiency test
13 subject, there would be essentially no peer review.
14 In these circumstances the reported error rate would,
15 therefore, closely approximate an individual
16 examiner's error rate.

17 "The American Society of Crime Lab
18 Directors lab accreditation board approved a program
19 in December '97 to subtly move the proficiency test
20 results into a high-stakes game."

21 **Q. If I could interrupt.**

22 **Do we have enough context there, or do you**
23 **feel the need to read on?**

24 A. Context for what?

25 **Q. Context for your statement that it's a flawed**

1 **approach to use these proficiency tests to estimate**
2 **error rates.**

3 A. No, I think that does a good job of covering
4 it.

5 **Q. Okay.**

6 **One of the things you don't say there is**
7 **that one reason why you can't use proficiency tests**
8 **to estimate error rate is because these tests are too**
9 **easy.**

10 A. Well, I said that you can use them, but I also
11 already underscored the fact that they are a lot of
12 times much easier than normal casework.

13 **Q. You've testified in other Daubert hearings that**
14 **in your experience taking these tests, these things**
15 **are way too easy to use as error rates for actual**
16 **casework.**

17 A. Yes, they are easy.

18 **Q. Very easy?**

19 A. Some of them are very easy.

20 **Q. And I'm talking about your own experience in**
21 **taking them.**

22 A. Some are more challenging than others. But as
23 a group, as a rule, they are easy, straightforward.

24 **Q. So they are not a good measure of error rate in**
25 **terms of, you know, what is an overall error rate for**

1 **the field, for the reasons you stated.**

2 **It would be a flawed approach to adopt your**
3 **view that error rates can be estimated based on**
4 **proficiency tests?**

5 A. Well, they can be estimated. But as I've said
6 here, I don't think it's the best approach.

7 **Q. No, you didn't --**

8 A. I think --

9 **Q. -- say you didn't think it was the best**
10 **approach, you said it was a flawed approach.**

11 A. Yes, for the reasons -- for the reasons that I
12 testified to earlier, and that you had me read here
13 now.

14 **Q. Okay. You mentioned proficiency tests that are**
15 **harder that are given in Europe.**

16 A. Yes.

17 **Q. Right?**

18 **What -- what is the error rate calculated**
19 **from the more realistic tests given in Europe, if you**
20 **know?**

21 A. I don't know exactly. But as I recall, it
22 could have been up around 5 percent. And I think
23 they also had an issue in Europe with confusing
24 subclass characteristics for individual
25 characteristics, because there were some laboratories

1 in -- represented in the European group -- and there
2 are many countries represented in that group.

3 There were some countries that just had not
4 kept up with the literature on subclass evaluation.
5 I mean, I have fairly good knowledge of this, because
6 the guy that I team teach with, Bruce Moran, took the
7 last series of -- of these replica proficiency tests.
8 And he got -- he got them all correct, and he was
9 invited to go to the Hague for their meeting a year
10 or two ago and present his findings.

11 And so I -- I have firsthand knowledge of
12 what went on there.

13 **Q. So in these harder -- these more realistic**
14 **proficiency tests, you cited 5 percent. What's the**
15 **source of that number? Do you know which test that**
16 **was or --**

17 A. It was the most recent. I think it was the --
18 I think they did a round -- a round of these tests in
19 2009, and the earlier in 2005. And I think it was
20 related to the 2009 amount.

21 **Q. Okay. And that -- we're talking about false**
22 **positive error rates, correct?**

23 A. Yes, correct.

24 **Q. I remember back in the days when we used to do**
25 **serology admissibility hearings. George Sansibar**

1 **(phonetic). You know who he is, right?**

2 A. I do, indeed.

3 **Q. He used to testify that a 2 percent error rate**
4 **is an unacceptably high rate.**

5 **Do you have a similar cutoff for**
6 **unacceptably high error rates in your field?**

7 A. No. I mean any -- any error rate is
8 unacceptable. But these cases, I mean, this is a
9 human enterprise where you have human beings doing
10 the work and there's always going to be errors.

11 And as stated in the last part of this
12 chapter, my professor at US Berkeley, Dr. Kirk, his
13 mantra was "Errors in forensic science are not
14 allowed."

15 **Q. Are not acceptable?**

16 A. Are not allowed. Yes.

17 But I go on to say that errors are going to
18 be committed, and that all we can do is try as hard
19 as we can to minimize the occurrence of errors.

20 **Q. And one of the things you didn't talk about in**
21 **your testimony is Government's Exhibit 24, which is a**
22 **designated Detroit Police Department firearm unit**
23 **preliminary audit findings.**

24 **You're familiar with that, right?**

25 A. I am.

1 **Q. And they had a problem in the firearms section**
2 **in the Detroit Police Department?**

3 A. They certainly did.

4 **Q. And they went in and did a systematic audit,**
5 **did they not?**

6 A. Yes.

7 **Q. Determined an error rate for a group of people**
8 **who were working in that particular lab?**

9 A. Yes, they did.

10 **Q. What was the error rate they found, based on**
11 **the actual casework, not on proficiency testing?**

12 A. I think it was around 10 percent.

13 **Q. 10 percent. Okay.**

14 **Now aside from that study, do you know any**
15 **other studies out there that are based on actual**
16 **audits of labs that have that evaluated to see what**
17 **their error rate is?**

18 A. No.

19 **Q. You admit that the final determination of a**
20 **match or a non-match is a subjective process?**

21 A. It is mostly subjective, except when it comes
22 to striation marks that are evaluated by people that
23 use quantitative consecutive matching striae.

24 And that lends an area of objectivity to
25 that comparison. We use that, for example in our

1 laboratory. I use that for every striated comparison
2 that I do.

3 **Q. Okay. And your understanding was that that**
4 **method was not used in this case, correct?**

5 A. I don't believe it was, that's correct.

6 **Q. Was not.**

7 **Would it be fair to say that within your**
8 **field there are two schools of thought in terms of**
9 **methodology, one group being people who subscribe to**
10 **what you just referred to -- I think in your field**
11 **that's called CMS, correct?**

12 A. I'd like to see it referred to as QCMS.

13 Because everybody uses CMS, whether they use pattern
14 matching or whether they consciously tabulate the
15 amount.

16 **Q. Okay. So QCMS refers to qualitative?**

17 A. Quantitative.

18 **Q. Quantitative.**

19 A. Yes, consecutive matching striae.

20 **Q. Okay.**

21 A. Where you make a deliberate conscious count of
22 the runs of matching striae that you see.

23 **Q. And that is a methodology that you have been**
24 **advocating for some time, correct?**

25 A. Yes.

1 **Q. Since at least around the time you were in**
 2 **graduate school?**
 3 A. Well, I started studying it then.
 4 **Q. And one of the reasons you started studying it**
 5 **was because you surveyed the field when you were in**
 6 **graduate school and you found that the field was**
 7 **pretty lacking in scientific rigor, correct?**
 8 A. In that particular area, yes.
 9 **Q. Yeah. You -- you wrote your thesis on toolmark**
 10 **and firearm individualization as affected by**
 11 **manufacturing methods?**
 12 A. I did.
 13 **Q. Okay. And you studied that thesis, which you**
 14 **wrote in '77?**
 15 A. I think so, yes. A long time ago.
 16 **Q. Right. At that time you had reviewed all the**
 17 **literature?**
 18 A. I reviewed a lot of it, yes.
 19 **Q. And you said: "However, this striation**
 20 **analysis commented to toolmark and fired bullet**
 21 **comparisons represent areas of forensic interest**
 22 **which are considered by most to be more of an art**
 23 **than a science.**
 24 **"The opinions or value judgments given**
 25 **about these comparisons are based upon subjective**

1 **estimates of probability and not upon statistically**
 2 **sound scientific principles.**
 3 **"This is true, even though the so-called**
 4 **scientific method may be used in the examination**
 5 **conducted."**
 6 **That's what you wrote in '77?**
 7 A. I did.
 8 **Q. All right. And that was based on a fairly**
 9 **clear review of the literature up to that point in**
 10 **time?**
 11 A. Yes.
 12 **Q. And it was based on that view that you and one**
 13 **of your colleagues at the university began to explore**
 14 **the possibility of using a more objective approach to**
 15 **do these assessments?**
 16 A. Yes.
 17 **Q. And that's eventually evolved into this QCMS**
 18 **methodology?**
 19 A. Yes.
 20 **Q. And you wrote about that later with your**
 21 **colleague Professor Biassoti, right?**
 22 A. Al Biassoti, yes.
 23 **Q. Al Biassoti.**
 24 **In 1984 you wrote an article again**
 25 **reviewing the state of the literature at that point,**

1 **correct?**
 2 A. We did.
 3 **Q. That's not included in the government's**
 4 **exhibits, is it?**
 5 A. No.
 6 **Q. Is there a reason for that?**
 7 A. No.
 8 **Q. What was the title of that article? It's**
 9 **listed in your CV. I think it's October of 1984.**
 10 A. What page is it on?
 11 **Q. I'll give you a copy. Is this a copy of the**
 12 **article that you wrote in 1984?**
 13 A. This is a portion of the article. It's missing
 14 some things, but it is a good part of it.
 15 **Q. Well, it's the text portion. But I think you**
 16 **had some photographs attached to it?**
 17 A. Yes. We had a proposal for the FBI research
 18 and training unit, as well as some photographs.
 19 But this does have the text and it has the
 20 list of references.
 21 **Q. Okay. And the pages on this from your journal,**
 22 **unfortunately, are not numbered. So if you can take**
 23 **a look at page 4. There's a section that's entitled**
 24 **"Quantitative Analysis of Identification."**
 25 **Do you see that?**

1 A. Yes, entitled "Quantitative Elements of
 2 Identification." I see it. I see it on the screen.
 3 **Q. And you are, here, commenting on, again, your**
 4 **review of the literature up to this point, correct?**
 5 A. Yes.
 6 **Q. And read from -- I guess beginning with the**
 7 **sentence "Traditionally."**
 8 A. "Traditionally, most toolmark researchers have
 9 attempted to satisfy both the qualitative and
 10 quantitative requirements of toolmark identifications
 11 by comparing toolmarks made by consecutively
 12 manufactured tools."
 13 And then it says "refer to references on
 14 gun barrels 9 through 15, and non-firearm tools 15
 15 through 24."
 16 **Q. Uh-huh.**
 17 A. "Such studies are subjective evaluations based
 18 on criteria of identification which cannot readily be
 19 articulated or communicated to other examiners
 20 through photography."
 21 **Q. Okay.**
 22 A. "Except through photography." I'm sorry.
 23 "The information gained from such studies
 24 is, therefore, only of value to the examiner who
 25 conducted the study or to the examiners trained or

1 supervised by that examiner.
2 "Although such subjective evaluations can
3 be valid, especially when such work is
4 well-documented photographically, a search for more
5 objective approaches to individualization should
6 continue for the reasons:

7 "Number 1, the basis for forming a pattern
8 recognition conclusion cannot be explained to anyone
9 else.

10 "2, one person's work cannot be evaluated
11 by another person without repeating it.

12 "And, 3, a quantitative or mathematical
13 probability estimate cannot be given."

14 That was true then and it's true now.

15 **Q. Okay. And so you're critiquing here those very
16 same type of consecutive barrel matching studies that
17 you have on your PowerPoint, right?**

18 A. Yes. I commented -- well, I wasn't critiquing
19 them. I was just making reference to the fact
20 that -- and I described this during my direct
21 testimony, how that those empirical studies helped
22 form the basis for the AFTE theory of ID, but they do
23 not assist an individual examiner in honing their
24 personal criteria for identification.

25 **Q. Right.**

1 of ID. But as far as helping form the basis of a
2 personal criteria for identification, they fall short
3 of that because it's not a quantitative estimate that
4 you can communicate to somebody else very handily.

5 **Q. And that's still a limitation of those studies,
6 correct?**

7 A. Yes.

8 **Q. Of all of those studies you cited in your
9 PowerPoint, that same criticism applies to those
10 studies, does it not?**

11 A. It is a limitation, as far as providing a
12 resource for honing your personal criteria for
13 identification.

14 They do -- they are helpful in forming the
15 backdrop of -- of empirical studies that helps
16 support the AFTE theory of ID.

17 THE COURT: We'll take our afternoon break
18 at this time.

19 We'll be in recess for 15 minutes.

20 (A recess was taken from 2:57 p.m. to 3:20
21 p.m.)

22 THE COURT: Please be seated.

23 We're back on the record.

24 Mr. Burt, you may continue.

25 MR. BURT: Thank you, Your Honor.

1 A. Because we can't -- we don't -- we don't have a
2 quantitative measure of what they did, or we don't
3 have -- in most of these cases, we didn't have
4 photographic exhibits.

5 And then I mentioned the Dowling
6 experiment, where he fired about 5,000 bullets and
7 was able to identify, I think, all of those. And he
8 said that he used quantitative consecutive matching
9 striae for that comparison.

10 Well, immediately, I know what his
11 identification criteria was. That has much more
12 meaning to me than somebody who just reports on, "I
13 used pattern matching to identify these, and they
14 were all fired from the same gun."

15 I don't know what that person's individual
16 criteria is. That's what this means.

17 **Q. Well -- and you also say: "The information
18 gained from such studies is, therefore, only of value
19 to the examiner who conducted the study or to the
20 examiners trained or supervised by that examiner."**

21 **Is that still your view?**

22 A. From the basis of positive -- of a personal
23 identification criteria.

24 These studies certainly have basis for
25 forming -- helping form the basis for the AFTE theory

1 BY MR. BURT:

2 **Q. I just want to return one minute to the issue
3 of error rates. And we talked about this 10 percent
4 error rate that was based on that audit.**

5 **In addition to those kind of systematic
6 studies, you have firsthand experience of errors
7 committed in your field, correct?**

8 A. Somewhat, yes.

9 **Q. You were involved in a case some years ago, the
10 Ricky Ross case, I think you've testified about in a
11 number of proceedings.**

12 A. I was. I was involved in that.

13 **Q. And that was a homicide case where an
14 examiner -- a firearms examiner made a false positive
15 match that, fortunately, was discovered by you and
16 others, correct?**

17 A. Yes.

18 **Q. And you have had other experiences like that
19 besides the Ross case, where there's -- you've come
20 in and seen false positive matches by other
21 examiners?**

22 A. Yes. And there's some from years ago that was
23 really the cause of the -- AFTE forming the criteria
24 for an identification committee. It was not only my
25 experience that there were differences of opinion

1 that seemed to go beyond just normal differences of
 2 opinion, but sometimes work that was substandard.
 3 And that is why they formed the criteria for the ID
 4 committee.
 5 **Q. They started talking about doing that in about**
 6 **1985, right?**
 7 A. Right about then, yes.
 8 **Q. Was that when the Ross case was happening?**
 9 A. I think that happened a little later.
 10 **Q. To get that going, you -- you wrote some sort**
 11 **of memo to the AFTE board suggesting that there were**
 12 **problems in the field that needed to be addressed,**
 13 **correct?**
 14 A. I did.
 15 **Q. You said in that memo: "The standard AFTE**
 16 **glossary primary concern of firearm identification is**
 17 **to determine if a bullet, cartridge case, or other**
 18 **ammunition component was fired by a particular**
 19 **firearm."**
 20 **And you said: "This is accomplished now by**
 21 **applying subjective," and you underline subjective,**
 22 **"criteria to the comparison of questioned/known**
 23 **striae. This criteria is difficult if not impossible**
 24 **to convey to Courts and to other examiners."**
 25 A. Yes.

1 **Q. That was a concern, then, that prompted this**
 2 **criteria definition?**
 3 A. Yes.
 4 **Q. And it's still a concern today, correct?**
 5 A. Yes.
 6 **Q. In a sense that that was one of the main**
 7 **critiques of the NAS 2009 report, was that the AFTE**
 8 **theory of identification was too subjective, in their**
 9 **view?**
 10 A. That was one of the criticisms, yes.
 11 **Q. Okay. So that's a concern that you yourself**
 12 **had back in 1985?**
 13 A. Yes.
 14 **Q. You don't disagree with the 2009 report on that**
 15 **particular issue, that the criteria for**
 16 **identification is -- is subjective?**
 17 A. It is subjective. And it states in there,
 18 right at the end, that it is based on the subjective
 19 evaluation by examiners based upon their training and
 20 experience.
 21 **Q. You wrote in that -- in that same memo: "We**
 22 **are putting the Courts in an increasingly difficult**
 23 **position. We ask that they believe us when we**
 24 **testify about individualizations. They ask us to**
 25 **tell them why they -- they ask us to tell them why**

1 **they should. We respond with the usual subjective**
 2 **and art-formed answers. They reject them. Is there**
 3 **a way that we can provide answers more acceptable to**
 4 **both our members and the Courts?"**
 5 **That's a concern you expressed in '85?**
 6 A. It is, indeed.
 7 **Q. The same concern the NAS expressed in 2009?**
 8 A. Yes.
 9 **Q. Okay. So you agree about that particular**
 10 **aspect of the 2009 report, correct?**
 11 A. Yes.
 12 **Q. And if in fact, as you state here, the criteria**
 13 **for identification is subjective, how do you**
 14 **determine what the ultimate truth is in a -- when**
 15 **you're determining error rate?**
 16 **In other words, one examiner says it's a**
 17 **match. Like in the Ross case the guy said it's a**
 18 **match, you and others came in and said it's not a**
 19 **match.**
 20 **How do we know, in truth, if there is such**
 21 **a thing, who's right and who's wrong when you're**
 22 **using this subjective criteria?**
 23 **If it's an art form, and -- what makes your**
 24 **art better than his, I guess is my question.**
 25 A. Well, one of the best safeguards that we have

1 these days, and it's much more widespread than it was
 2 then, is skillful technical peer review.
 3 **And that, as I have written and probably**
 4 **said here, is one of the main things that helps**
 5 **prevent errors, when they occur, from getting out the**
 6 **door, is that other people with equal or more**
 7 **experience, even, look at identifications and make an**
 8 **independent evaluation.**
 9 **It's not just a rubber stamp, look-through-**
 10 **the-microscope confirmation. A good verification**
 11 **process is really just an evaluation process.**
 12 **"Would you come over here and evaluate what**
 13 **I have under the microscope?"**
 14 **In the best kinds of evaluation, the**
 15 **technical peer review in the laboratory, other**
 16 **skilled examiners will be called over to look at**
 17 **things that are not just identifications. They will**
 18 **be called over to look at things that the first**
 19 **examiner, me, for example, doesn't think is an**
 20 **identification.**
 21 **Q. Right. But how do you determine where ground**
 22 **truth is? In other words, in the Ross case, there**
 23 **was peer review in the sense that you reviewed this**
 24 **guy's work, Chuck Norton reviewed the guy's work, and**
 25 **you two determined he was wrong. He, on the other**

1 **hand, said, "No, I believe this is a match," right?**
 2 A. Well, Al Biassoti also reviewed the work.
 3 **Q. So it was three to one. Do you count and say,**
 4 **well, it's the majority rule; and, therefore, we're**
 5 **right and he is wrong?**
 6 **How do we know he wasn't right?**
 7 A. Well, the first error was discovered at the
 8 intercomparison of cartridge cases. And the fact
 9 that he made an error was incontrovertible. In other
 10 words, when I sat down and looked at the -- and I'll
 11 remember it until the day I'm not here anymore. I
 12 looked through the microscope and I -- I looked and
 13 saw what was on the left and right side of the
 14 comparison microscope.
 15 And it looked to me like they had one of
 16 the cartridge cases 180 degrees out of face. It
 17 should have been the other way around.
 18 So I placed a little ink mark on the side
 19 of the rim of each of the cartridge cases. And the
 20 person that had done the -- looked through the
 21 microscope and verified the first examiner's results,
 22 I said, "Please look through the microscope and see
 23 that I have put these black ink dots in corresponding
 24 positions on both of these cartridge cases. Just
 25 look through and verify that I did that."

1 He looked through and he said, "Yes, I see
 2 that you have done that."
 3 We then took -- lifted them off of the
 4 comparison microscope, took them over and put them
 5 under the stereo binocular microscope, and I said,
 6 "Okay. Now, let's evaluate by the other markings,"
 7 much like the schematic that I showed during the
 8 PowerPoint that showed all the toolmarks at various
 9 positions. You can tell in the guns that they had,
 10 the extractor was at 3:00. You could see a defined
 11 extractor mark at 3:00.
 12 **Q. To you, you could see that?**
 13 A. And he could, too.
 14 **Q. And my question is: How does peer review --**
 15 **you said, well, peer review is a guard against error.**
 16 **If they are both using a subjective process, which**
 17 **you and the committee in 2009 said was subjective,**
 18 **how do we know who's got it right?**
 19 A. May I continue --
 20 **Q. If there's no --**
 21 A. -- with my explanation of the Ross thing? It
 22 will only take another 15 seconds. You asked the
 23 question.
 24 **Q. Go ahead.**
 25 A. So when -- so under the stereo microscope,

1 here's the black ink dots. One of them was at 3:00
 2 and one of them was at 9:00. So the examiner knew in
 3 an instant that they had misplaced these cartridge
 4 cases on the comparison microscope, and they couldn't
 5 possibly match in that orientation.
 6 **Q. Right. But in other cases --**
 7 A. So there was no question that there was an
 8 error in that particular case.
 9 **Q. Right. But in other cases, you have situations**
 10 **where two examiners, equally qualified, come in. And**
 11 **based on the -- and I am not talking about the point**
 12 **counting qualitative analysis, I'm talking about the**
 13 **traditional pattern matching subjective approach.**
 14 A. Yes.
 15 **Q. You've seen situations in your practice where**
 16 **one examiner has said it is a match, the other has**
 17 **said it's not, correct?**
 18 A. That's actually unusual when -- when it's a
 19 true match. It's very unusual to have one -- to have
 20 equally skilled examiners, one say there's not enough
 21 there, and the other one says there is enough there.
 22 That doesn't happen very often.
 23 **Q. How do you know it's unusual?**
 24 A. Well, one of the ways you could tell is by
 25 that -- the examination that Hamby did, by mailing

1 those validation studies around to over 600 people
 2 from 23 countries, I think, now.
 3 Nobody in that intercomparison of 15
 4 unknowns fired from 10 different consecutively rifled
 5 gun barrels has made an incorrect -- they have not --
 6 there's been no one that's made a false ID.
 7 **Q. These are not --**
 8 A. Out of all of those comparisons, you would
 9 think that somewhere along the line with that many
 10 people looking at that many specimens that somebody
 11 would make a mis-ID.
 12 **Q. And those specimens are subject to the same**
 13 **critique that you made in your article back in '84,**
 14 **right?**
 15 A. Yes. This is -- most of those people are doing
 16 it strictly by -- by pattern matching.
 17 **Q. Pattern matching. And they're not case-related**
 18 **materials. These are pristine bullets?**
 19 A. They are.
 20 **Q. They aren't shot-through bullets. They're**
 21 **nothing like the kinds of cartridge that is relevant**
 22 **in this case, correct?**
 23 A. Correct.
 24 **Q. Okay. So do you know of any studies that have**
 25 **been done on case-based work, not pristine shells or**

1 **bullets, that you can point to as validating your**
 2 **field?**
 3 A. The only thing that comes close to that is the
 4 European proficiency tests.
 5 **Q. That's what we talked about before?**
 6 A. Yes, where they circulated borderline cases.
 7 They choose them particularly because they were not
 8 easy. And that generated about -- I think about a
 9 5 percent error rate, I think. I'm not certain, but
 10 I think that that was about right.
 11 Which the converse side of that is that
 12 there's 95 percent of the responses that were handed
 13 in that were correct, even in borderline cases.
 14 So that suggests to me that the vast
 15 majority of determinations based on pattern matching
 16 of the striated -- I think they were almost all -- I
 17 think there might have been some compression marks,
 18 but most of them were striated -- they got the right
 19 answer. They got the answer that 95 percent of the
 20 people agreed with.
 21 **Q. With a 5 percent error rate. With 5 percent of**
 22 **the people getting it wrong?**
 23 A. Yes.
 24 **Q. False positive?**
 25 A. Yes.

1 **Q. Okay. Now, one of your points on direct**
 2 **examination was that there was a lot of literature**
 3 **out there, that somehow the committee that -- the**
 4 **2009 NAS committee missed in their analysis, correct?**
 5 A. Yes.
 6 **Q. Okay. And you base that on what? Were you**
 7 **present at the committee meetings? Did you -- do you**
 8 **know -- well, first of all, you were not a member of**
 9 **the committee?**
 10 A. Correct.
 11 **Q. Were you present at any of their internal**
 12 **deliberations?**
 13 A. No.
 14 **Q. Were you informed in any documentation as to**
 15 **what material they considered in any of the fields**
 16 **that they looked at?**
 17 A. Yes.
 18 **Q. And that is in relation to an oral**
 19 **communication you received?**
 20 A. No. The references that are in the publication
 21 that you have, the hardbound -- the NAS report. They
 22 list four or five references in my field that they
 23 looked at.
 24 One was Ron Nichols, one was one that your
 25 expert, Adina Schwartz has -- rather, wrote -- and

1 then there were three others. I don't remember
 2 exactly what they were.
 3 **Q. So your assumption is that because they cited**
 4 **certain studies, they didn't consider the others?**
 5 **That's the basis for your opinion that they didn't**
 6 **look at all the --**
 7 A. Yes. I've never gotten any indication that
 8 they considered anything other than that.
 9 **Q. Well, they say in their report --**
 10 A. And I know that the person that made the
 11 presentation that we described only briefly, the
 12 30-minute oral presentation, presented no reference
 13 material, because he told me that.
 14 **Q. Okay. Let me -- let me get this straight.**
 15 **The NAS was tasked specifically by the**
 16 **forensic science community into looking into the**
 17 **validity of particular fields, right?**
 18 A. It was the forensic science community that
 19 requested a study like this.
 20 **Q. And that study came after the 2008 report had**
 21 **already critiqued, said some very -- in your phrasing**
 22 **on direct examination -- "demeaning things" about**
 23 **your profession.**
 24 A. Yeah.
 25 **Q. Right?**

1 A. The 2009 report, although their inquiry started
 2 about three years earlier --
 3 **Q. Uh-huh.**
 4 A. -- they started prior to the 2008 NRC report.
 5 Their report, the NAS report, came out in '09, a year
 6 or so after the '08 NRC report.
 7 **Q. So that report, the 2008 report, was out while**
 8 **the proceedings for NAS 2009 were going on, correct?**
 9 A. Yes.
 10 **Q. And you reviewed -- before 2009 came out, you**
 11 **were aware of the 2008 report, you and people on your**
 12 **committee.**
 13 A. Yes.
 14 **Q. Right?**
 15 A. Yes.
 16 **Q. And you read in there that they actually**
 17 **reviewed the literature, didn't they? Don't they**
 18 **cite chapter and verse all these same studies you've**
 19 **got in your PowerPoint?**
 20 A. Not to my knowledge.
 21 **Q. Do you have it there in front of you?**
 22 A. I don't, no.
 23 **Q. We'll take a look at -- I think you have**
 24 **Exhibit 10. Do you have it there in front of you?**
 25 A. I don't have Exhibit 10, no.

1 Wait a minute. I do have it here. Well, I
 2 do have the report.
 3 **Q. Do you have it in hard copy?**
 4 A. I have my own copy.
 5 **Q. Okay. Great. We're talking about 2008.**
 6 A. Oh. I have that, too.
 7 **Q. Good. This is Government's Exhibit Number 10,**
 8 **I believe. Turn to page 70 of the report.**
 9 A. (Witness complies.)
 10 **Q. Do you have it?**
 11 A. Yes.
 12 **Q. It says: "In recent years, several review**
 13 **articles have summarized the findings of individual**
 14 **studies on the basic principles of firearms and**
 15 **toolmarks. The uniqueness, reproducibility, and**
 16 **permanence of individual characteristics, as seen by**
 17 **trained examiners. Most of these studies are limited**
 18 **in scale and have been conducted by firearm examiners**
 19 **and examiners in training in state and local law**
 20 **enforcement laboratories, as adjunct to their regular**
 21 **casework.**
 22 **"The review articles attempt to piece**
 23 **together major themes from decades of such studies.**
 24 **Most have been published in the AFTE Journal, but**
 25 **also in other forensic science publications.**

1 **"Nichols contributed a two-part narrative**
 2 **with a goal of characterizing the state of the field.**
 3 **Bohn, Fontoni and DeKinder (phonetic) review a broad**
 4 **array of experimental studies on the influence of**
 5 **manufacturing techniques.**
 6 **"We draw from these review papers in this**
 7 **section, and excerpt additional detail from the**
 8 **individual studies as appropriate."**
 9 **Do you see that?**
 10 A. I do.
 11 **Q. And then they go on for pages reviewing the**
 12 **very studies that you cited in your PowerPoint,**
 13 **right?**
 14 A. They review some of them, yeah.
 15 **Q. Well, from page 70 all the way through 81,**
 16 **correct?**
 17 A. Yes. They reviewed them from the standpoint,
 18 for the most part, of longevity, which they should
 19 have done, because they were interested in ballistic
 20 imaging.
 21 **Q. And then at page 81 they say: "Our review in**
 22 **this chapter is not, and is not meant to be, a full**
 23 **weighing of evidence for or against the assumptions,**
 24 **but it is ample enough to suggest that they're not**
 25 **fully settled mechanically or empirically."**

1 **Another point follows directly:**
 2 **"Additional general research on the uniqueness and**
 3 **reproducibility of firearms-related toolmarks would**
 4 **have to be done if the basic premise of firearms**
 5 **identification are to be put on a more solid**
 6 **scientific footing."**
 7 **Do you see that.**
 8 A. Well, you started reading right after 3E,
 9 "Commentary"? You didn't tell me where you started,
 10 so I --
 11 **Q. The bottom of page 81.**
 12 A. -- was flailing around here trying to find it.
 13 THE COURT: Now, are you reading from
 14 something that's an exhibit?
 15 MR. BURT: Yes, Your Honor. I am sorry,
 16 Exhibit Number -- it's Government's Exhibit 10.
 17 MS. MOTT: Actually, Your Honor,
 18 Government's Exhibit 10 does not include the full
 19 text of the 2008 report, as Mr. Burt had pointed out
 20 earlier. So I am not sure if they're offering that
 21 as an exhibit or not.
 22 MR. BURT: Well, I am. And it is
 23 reproduced in full as Defendant's Exhibit F.
 24 And I believe the Court has that volume.
 25 81 -- if I could approach, Your Honor?

1 THE COURT: Yes.
 2 BY MR. BURT:
 3 **Q. 81 is a copy of the report, right?**
 4 A. Right. Here's the page.
 5 **Q. At the bottom of page 81, we're at the last,**
 6 **"Our review."**
 7 A. Okay.
 8 **Q. And the point I was trying to make is, they**
 9 **carefully review all of these studies that you cite,**
 10 **including the Nichols articles, the review articles**
 11 **which referenced one of the other articles.**
 12 **And even though they review those in**
 13 **detail, they end up saying it is not: "It is ample**
 14 **enough to suggest they are not fully settled**
 15 **mechanically or empirically, and additional general**
 16 **research on the uniqueness and reproducibility of**
 17 **firearms-related toolmarks would have to be done if**
 18 **the basic premise of firearm identification were to**
 19 **be put on a more solid scientific footing."**
 20 A. I think the key word here is "on a more solid
 21 scientific footing," and I would agree with that.
 22 And that's why we are working every day,
 23 and that's why we want research moneys directed into
 24 institutions. We talked about the 3D analysis.
 25 So I think it is important.

1 What they are not saying is that it is not
2 solid enough to prevent people like me to come into
3 court and to testify about the intercomparison of --
4 the forensic comparison of firearm and toolmark
5 evidence.

6 Could it be put on a more solid footing?
7 We're trying to do that. We're trying to develop
8 random match probabilities. I think all of that is
9 good, and I endorse all of those efforts.

10 **Q. All right. Let me return to the point I was**
11 **trying make, which you were slipping off of. Which**
12 **is you had represented that they didn't review**
13 **literature.**

14 **Do you remember that?**
15 **Did they review it or not?**

16 A. I said that the NAS report didn't review the
17 literature.

18 **Q. So did the 2008 NAS --**

19 A. They reviewed -- they reviewed a lot more of
20 the literature than the NAS committee did.

21 They reviewed it, however, from the
22 standpoint of ballistic imaging. Most of their work
23 is done on longevity studies.

24 **Q. But they are citing it here for the proposition**
25 **that your field needs to be on more solid scientific**

1 **footing, correct?**

2 A. I agree that it could be on a more solid
3 scientific footing.

4 **Q. They're citing the literature that they review**
5 **in detail for that proposition, right?**

6 A. And I would agree that it should be on more
7 solid scientific footing.

8 **Q. And the 2009 report references back to the 2008**
9 **report where the literature is reviewed in detail?**

10 A. It's not clear to me that they did that at all.

11 **Q. It's not clear to you that what?**

12 A. That they used any analysis of -- of data.

13 **Q. Now, you said --**

14 A. I would have thought that the NAS committee
15 would have taken it upon themselves to review that
16 literature. They say on page 7 that they didn't have
17 it within themselves. It wasn't something that they
18 had to do, and they didn't do it.

19 **Q. Where do they say on page 7 that they didn't**
20 **have -- you said on direct that they said on page 7**
21 **that they didn't have the time to do it.**

22 **And you just said that they didn't have it**
23 **within themselves to do it.**

24 **Where does it say that on page 7, those**
25 **specific points?**

1 A. It's the first indented paragraph on page 7.

2 Would you like me to read it?

3 **Q. Yes. Well, I would like you to read the part**
4 **where it says they didn't have it within themselves**
5 **to do it and they didn't have the time to do it.**

6 A. Would you like to bring up your copy as I read
7 this?

8 **Q. No, I've got my copy. Thank you.**

9 A. At page 7?

10 **Q. Yes.**

11 A. Okay. "The committee decided early in its work
12 that it would not be feasible to develop a detailed
13 evaluation of each discipline in terms of its
14 scientific underpinning, level of development, and
15 ability to provide evidence to address the major
16 types of questions raised in criminal prosecutions
17 and -- and in civil litigation.

18 "However, the committee solicited testimony
19 on a broad range of forensic science disciplines and
20 sought to identify issues relevant across definable
21 classes of disciplines.

22 "As a result of listening to this testimony
23 and reviewing related written material, the committee
24 found substantial evidence indicating that the level
25 of scientific development and evaluation varied

1 substantially among the forensic science
2 disciplines."

3 If I was the NAS committee listening to the
4 presentation that was made on firearms and toolmarks,
5 I would have reached the same conclusion.

6 **Q. Well, except you forgot that in your 2009**
7 **critique you stated that you provided them with**
8 **hundreds of articles.**

9 **Didn't you say that in that report?**

10 A. We did.

11 **Q. So they had hundreds of articles, and you**
12 **surmise that they didn't review them, correct?**

13 A. Yes.

14 **Q. Who was the chairman of the committee of the**
15 **2- -- of the 2009 report?**

16 A. Harry T. Edwards was the co-chair, and
17 Constantine Gatsonis, G-A-T-S-O-N-I-S, was the
18 co-chair.

19 **Q. Now, you referred this morning to what**
20 **Dr. Rolph said, after the report was published, to**
21 **reflect back on the meaning of the report.**

22 **Do you recall that testimony?**

23 A. Yes.

24 **Q. And that has been marked as K, next in order.**
25 **Are you familiar with Exhibit K?**

Page 222

1 A. Yes, I am.
2 **Q. You've seen that before, right?**
3 A. I have, yes.
4 **Q. And this is a statement by the Honorable Harry**
5 **Edwards, who is Senior Circuit Judge and Chief Judge**
6 **Emeritus of the US District Court for the DC Circuit**
7 **Court, correct?**
8 A. Yes.
9 **Q. You've read this before?**
10 A. I have.
11 **Q. And that's not one of the government exhibits,**
12 **is it?**
13 A. It is not.
14 **Q. Okay. Turn to page 2.**
15 **I'm sorry. Turn to page -- page 1, and**
16 **read for us the second paragraph.**
17 A. Page 1, right?
18 **Q. Yes.**
19 A. "The committee's project involved an
20 extraordinary amount of time because of the extensive
21 research and countless interviews that we undertook.
22 "In addition, there were many hours of
23 committee meetings which involved deliberations
24 between forensic analysts and practitioners, experts
25 in the physical and live sciences, a former federal

Page 223

1 prosecutor, a defense attorney, a crime lab director,
2 a medical examiner, an engineer, statisticians,
3 educators, and a judge.
4 "Our interactions were challenging and
5 fruitful. And in the end, despite our differing
6 professional perspectives, the committee was
7 unanimous in its findings and recommendations."
8 **Q. And then read on page 2, the second paragraph.**
9 A. "The committee spent an enormous amount of time
10 listening to testimony from and reviewing materials
11 published by numerous experts including forensic
12 practitioners, heads of public and private
13 laboratories, directors of medical examiner and
14 coroners' offices, scientists, scholars, educators,
15 government officials, members of the legal
16 profession, and law enforcement officials.
17 "Not only did we examine how the forensic
18 disciplines operate, we also carefully considered any
19 peer-reviewed scientific research reporting to
20 support the validity and reliability of existing
21 forensic disciplines.
22 "Additionally, we invited experts in each
23 discipline to refer us to any pertinent research.
24 Committee members and staff spent countless hours
25 reviewing these materials.

Page 224

1 "And before the report was released it was
2 peer reviewed by outside experts in the fields of
3 science, law, and forensic science."
4 **Q. And had you read that exhibit before you came**
5 **to court here to testify?**
6 A. Yes.
7 **Q. And despite that report by the head of the**
8 **committee, your -- you adhere to the view that there**
9 **was no indication that the committee read the**
10 **material, the hundreds of articles that you submitted**
11 **to them?**
12 A. Right.
13 **Q. Okay. Do you have any reason to question the**
14 **credibility of Judge Edwards?**
15 **I know you have expressed in your writings**
16 **that you don't think judges pursue -- judges and**
17 **lawyers pursue truth in the courtroom.**
18 **But do you have any particular reason why**
19 **you think that Judge Edwards is not credible in**
20 **making the statements that he makes in this?**
21 A. Could you please show me where I said that they
22 don't pursue truth?
23 **Q. Sure. I will be glad to.**
24 **Do you have any reason to disbelieve Judge**
25 **Edwards when he states here that he and his committee**

Page 225

1 **carefully -- specifically says: "Not only did we**
2 **examine how the forensic disciplines operated, we**
3 **also carefully considered any peer-reviewed**
4 **scientific research purporting to support the**
5 **validity and reliability of existing forensic**
6 **disciplines."**
7 **Do you have any reason to doubt the**
8 **credibility of that statement?**
9 A. I have two reasons.
10 **Q. Okay.**
11 A. The first is that I know that the firearm
12 toolmark examiner that presented the oral -- made the
13 oral presentation in front of the NAS committee gave
14 them virtually no references to support the
15 scientific underpinnings, even though he was asked in
16 kind of an indirect way, but there's no question that
17 he was asked to include that in his presentation, and
18 he didn't do it.
19 The second reason is that they cite four or
20 five articles that we've already talked about, and
21 that's all that they cite.
22 **Q. But would you have expected the committee to**
23 **have gone through each and every one of those**
24 **articles that you provided?**
25 A. I would have certainly hoped that they would.

1 **Q. Would you expect them to, in a report of any**
 2 **reasonable length, to go through each and every**
 3 **article?**
 4 A. I thought they would. But after reading what
 5 they said on page 7, the self-imposed limitation, I'm
 6 not surprised that they didn't.
 7 **Q. So one of the reasons why you think that Judge**
 8 **Edwards' statement is not credible is because the**
 9 **presenter did not present any material during his**
 10 **oral presentation?**
 11 **Am I getting that correct?**
 12 A. No. He presented material, but he presented a
 13 general overview of firearm and toolmark examination,
 14 the kind of a presentation that you might give to a
 15 service club.
 16 **Q. Kind of like the PowerPoint you gave here? And**
 17 **I don't mean to be derogatory, but it was general in**
 18 **nature?**
 19 A. Mine was more specific than his was.
 20 **Q. Yours is better than his?**
 21 A. No, it was more specific.
 22 **Q. Okay.**
 23 A. For the -- for the purpose intended.
 24 **Q. Okay. And -- and your -- as I understand it,**
 25 **and as your article responding to the committee**

1 **states, in addition to the oral presentation,**
 2 **somebody from your organization gave the committee**
 3 **hundreds of articles, according to your written**
 4 **response, right?**
 5 **Isn't that right in your response?**
 6 A. I don't know how many articles exactly. There
 7 were numerous articles. It does not say hundreds in
 8 the response, but there were -- I don't think it
 9 does -- but that they were given many, as early as
 10 June in 2008.
 11 **Q. Let's see. Take a look at Exhibit 12. In the**
 12 **abstract it says --**
 13 A. It says "many of these studies."
 14 **Q. It says many of -- my copy of it says: "NAS**
 15 **did not look critically at the scientific**
 16 **underpinning of the firearm and toolmark**
 17 **identification, despite having been provided with**
 18 **hundreds of relevant references."**
 19 **Do I have a different copy than you?**
 20 MS. MOTT: Could we have the page, please?
 21 MR. BURT: It's right in the first
 22 paragraph, "Abstract."
 23 BY MR. BURT:
 24 **Q. Do you see that in the abstract portion of the**
 25 **paper?**

1 THE COURT: And you're at Exhibit 12?
 2 MR. BURT: Exhibit 12, in the --
 3 A. Yes, it does say that in the abstract. You're
 4 right.
 5 BY MR. BURT:
 6 **Q. Right.**
 7 A. In the text it says "referencing many of these
 8 studies."
 9 **Q. Right. But in the abstract, at least according**
 10 **to your peer-reviewed AFTE Journal, you provided the**
 11 **committee with hundreds of relevant references?**
 12 A. Yes. I didn't personally, but I know they were
 13 provided.
 14 **Q. And that's separate and apart from the oral**
 15 **presentation, correct?**
 16 A. Yes.
 17 **Q. So how -- given the fact that hundreds of**
 18 **articles were submitted, how does that cast doubt on**
 19 **the credibility of Judge Edwards, that he reviewed**
 20 **those articles?**
 21 A. It doesn't cast doubt. It simply says that
 22 they were submitted. They weren't submitted in an
 23 official way, unfortunately. They were submitted in
 24 an informal way by ex-AFTE President Ann Davis,
 25 through her boss, who happened to be a member of the

1 NAS committee.
 2 **Q. And how does the fact that it was submitted**
 3 **relate to your reasoning that Judge Edwards is not**
 4 **credible? I'm not following you.**
 5 A. It doesn't.
 6 **Q. It doesn't, does it?**
 7 A. No. You're confusing the two.
 8 I gave you the two reasons. One reason was
 9 because the firearm toolmark examiner provided no
 10 scientific underpinning references or articles when
 11 he made his oral presentation. He gave a PowerPoint
 12 presentation that was very general.
 13 And the second reason is because they cite
 14 only four or five articles, and I would have thought
 15 that -- I mean, there were a lot of people that are
 16 scientists on this committee, and they're used to
 17 citing references.
 18 I would have thought that if they would
 19 have looked at them and evaluated them, like Judge
 20 Edwards said in his statement, they would have listed
 21 them. And they didn't.
 22 **Q. You had asked me to locate your critique of**
 23 **judges and lawyers for not telling the truth or not**
 24 **being interested in the truth.**
 25 **Do you remember your article of "Conflicts**

1 **Between Managerial Responsibility and the Ethics of**
2 **Forensic Science"?**

3 A. I believe so. That's a presentation I gave in
4 Adelaide, South Australia, I believe.

5 **Q. And you remember stating there, "the forensic**
6 **scientist forms the interface between science and**
7 **the" --**

8 MS. MOTT: Your Honor, we also don't have
9 that exhibit and page reference.

10 If it's on the CD, Your Honor, we don't
11 have a copy of it.

12 THE COURT: Wait. Hold on.

13 So what you are reading from is not an
14 exhibit. Is that correct?

15 MR. BURT: No, but I'm going to make it
16 such in one second here.

17 THE COURT: All right. Well, why don't you
18 show it to Ms. Mott.

19 MS. MOTT: And likewise, Your Honor, I
20 would like to have a chance to review that, since I
21 have never seen it before.

22 MR. BURT: While she's doing that...

23 THE COURT: What did you mark that?

24 MR. BURT: L, Your Honor.

25 MS. MOTT: I'm going to object, Your Honor,

1 being truthful, and that that could impact his view
2 that his testimony, and not Judge Edwards' statement,
3 is truthful. That's the relevance of it.

4 MS. MOTT: Your Honor, I'm still going to
5 object. It's just not relevant.

6 Plus, he is mischaracterizing what the
7 witness said. In fact, he said he was not
8 questioning the credibility of the judge; he just
9 didn't believe that they had reviewed everything that
10 Ann Davis had presented in a separate section.

11 We know from the testimony, and from what
12 the person who actually presented to the committee,
13 did. He gave a PowerPoint, he gave no references.
14 It's been gone over ad nauseam, in terms of how many
15 references there were to what articles related to
16 firearms and toolmark identification.

17 There's no reference within the NAS report
18 that they reviewed any other documents. We do know
19 from the NAS report that they reviewed a variety of
20 forensic sciences, which leads to purport to what
21 Judge Edwards says, that they spent hours reviewing
22 literature.

23 Well, they reviewed a number, a great
24 number, of different forensic sciences.

25 Does that mean that the witness somehow is

1 to relevance. What it appears it be, for whatever
2 reason Mr. Burt is trying to imply that Mr. Murdock
3 is against judges for some reason by randomly seeking
4 out one sentence out of a whole presentation that was
5 based on how this is forensic science. The criminal
6 justice system, lawyers included, are not forensic
7 scientists. So I would object on relevance.

8 THE COURT: Well, I know that this -- you
9 pulled this out because the witness asked where he
10 had written that judges and lawyers didn't pursue the
11 truth. But -- so I understand why you wanted to show
12 it to the witness.

13 But why is that particular phrase relevant
14 here?

15 MR. BURT: Well, I think it's relevant,
16 Your Honor, because there is a credibility issue
17 before the Court. This witness has testified that
18 this NAS committee did not review the materials that
19 were submitted to them.

20 He's also testified that he questions the
21 credibility of a federal judge in stating otherwise.

22 And I think, in light of that statement,
23 questioning the credibility of Judge Edwards, the
24 Court is entitled to know that he has a particular
25 slant on judges not being truthful and lawyers not

1 questioning credibility?

2 No, that's not what he said. That is a
3 mischaracterization. So this point is not relevant
4 whatsoever.

5 THE COURT: Well, what is Exhibit L,
6 anyway?

7 MR. BURT: Your Honor, the witness asked me
8 to produce where he had said -- and I'm not -- the
9 only relevant portion is -- or the proffer of
10 proof -- is his statement, "the forensic scientist
11 forms the interface between science and the criminal
12 justice system. He's called upon to provide law
13 enforcement officers, attorneys, judges, and juries
14 with analytical results and conclusions which help
15 them in the pursuit of their roles; however, they do
16 not have the same degree of interest in finding the
17 whole truth."

18 And that's what I was referencing when he
19 said, "Well, show me where I've said that."

20 So that's the only portion that I wanted to
21 just show him, that I wasn't just making that up.

22 THE COURT: Okay. But tell me what
23 Exhibit L is. What is that?

24 MR. BURT: Oh, I'm sorry. It's an article
25 written by Mr. Murdock, entitled "Conflicts Between

1 Managerial Responsibilities and Ethics of Forensic
2 Science." And it is a plenary lecture that he gave,
3 I believe, in 1991.

4 THE COURT: Well, wouldn't it be just as
5 useful to -- just to show it to him and ask him about
6 that? I mean it's already been discussed. So...

7 MR. BURT: That's all I was going to do
8 with it. He wanted to see it, and I wanted to show
9 it to him.

10 THE COURT: Well, go ahead.

11 BY MR. BURT:

12 **Q. And the only question I have is, that's what I**
13 **was referring to, and let me move on.**

14 **The PowerPoint, in addition to the --**

15 A. Before you move on, may I explain why I made
16 the comment I did, since you brought it up?

17 **Q. Well, you can do it on redirect, certainly.**

18 MS. MOTT: Your Honor, you know, to kind of
19 throw that out there and leave it there and then not
20 allow the witness to respond, I'm going to object to
21 that, Your Honor.

22 THE COURT: Well, let's just try to get
23 things moving in a positive direction here.

24 For whatever reason the issue came up. He
25 wanted to see where he said that. Now you have shown

1 that to him. I think it's reasonable that he explain
2 it now, and we just move on so we can get on to the
3 rest of the material that needs to be addressed here
4 and move off of this side issue.

5 So go ahead and explain it, and then we can
6 move on.

7 THE WITNESS: What I meant when I gave this
8 speech, without going back and reading that, is that
9 lawyers and -- and judges pursue justice. People in
10 the crime laboratories pursue truth. They try to
11 distill truth, so there is examination of physical
12 evidence. And it's been my experience that justice
13 is often dispensed, sometimes, by essentially
14 disregarding the truth.

15 I bore witness to a case where a person was
16 found in possession of heroin, and the Court found
17 him in possession of marijuana because the sentence
18 for possession of heroin would have taken this person
19 off the street, who was trying to make his life
20 better trying to support his family.

21 And so they simply took judicial notice of
22 the fact that this guy had marijuana on him, and he
23 was given a very light sentence, where if they would
24 have strictly gone by the truth, he would have gotten
25 a much harsher sentence. That's why I said what I

1 did.

2 BY MR. BURT:

3 **Q. And does that view color your opinion that**
4 **there is a credibility issue with regard to Judge**
5 **Edwards?**

6 A. No, I wasn't commenting on his credibility.

7 **Q. Oh, you weren't?**

8 A. No.

9 **Q. So you are revising your testimony in light of**
10 **what the government said?**

11 A. No. I simply said that there were --

12 MS. MOTT: Objection, Your Honor. This has
13 been gone over and been asked and answered.

14 THE COURT: Well, it's --

15 MR. BURT: I'll move on.

16 THE COURT: I get the point.

17 MR. BURT: All right.

18 BY MR. BURT:

19 **Q. You reference -- besides the hundreds of**
20 **articles that got submitted to the committee, you**
21 **reference the PowerPoint that was given.**

22 **Have you ever seen that PowerPoint?**

23 A. I sure have.

24 **Q. Okay.**

25 MR. BURT: If I could approach, again.

1 BY MR. BURT:

2 **Q. And Exhibit M, just so we have a record of what**
3 **we're talking about, is that the -- sort of the**
4 **committee agenda for the days that the toolmark**
5 **identification got presented?**

6 **And attached to it, the PowerPoint**
7 **presentation that was given to the committee?**

8 A. Without counting the pages, this looks like the
9 entire presentation.

10 **Q. Okay. And it's your testimony that, although**
11 **the -- your organization, this AFTE organization, was**
12 **aware that this NAS panel was meeting, your**
13 **particular group did not make any effort to**
14 **communicate to them other than the -- sending them**
15 **hundreds of articles?**

16 A. AFTE didn't send them hundreds of articles.

17 I --

18 **Q. Oh, they didn't?**

19 A. No. It was just one individual who happened to
20 be an ex-president of AFTE, Ann Davis, who happened
21 to be working in the same laboratory where an NAS
22 committee member was. It was her boss.

23 So she knew he was going to these meetings.
24 She knew the importance of the NAS committee. So she
25 offered these and said, "Please consider them."

1 **Q. Was your committee -- I think this committee**
 2 **that you're -- you kept saying that you're the**
 3 **chairman of that committee, is an AFTE committee?**
 4 A. Yes.
 5 **Q. AFTE is a group of law enforce- -- primarily**
 6 **law enforcement people who earn their living at**
 7 **firearm and toolmark examinations, correct?**
 8 A. I don't think they are primarily law
 9 enforcement people. They're not primarily sworn
 10 officers. Most of them happen to work in association
 11 with law enforcement organizations, however.
 12 **Q. You have to be somebody who earns your**
 13 **living -- primarily earns their living doing toolmark**
 14 **and firearm examination comparisons to be a member.**
 15 **Isn't that true?**
 16 A. I believe that's a requirement.
 17 **Q. Okay. So the members of this group, this AFTE**
 18 **group, are people who primarily make their living**
 19 **doing the types of comparisons we're talking about,**
 20 **correct?**
 21 A. Yes.
 22 **Q. And the committee that you kept saying you're**
 23 **the chairperson of, that committee was -- had some**
 24 **title like the committee for the advancement of**
 25 **science, or something like that?**

1 A. The science of firearm and toolmark
 2 identification. That's correct.
 3 **Q. Who came up with the name?**
 4 A. I don't know.
 5 **Q. Well, you were the -- you kept telling us**
 6 **you're the chairperson.**
 7 A. I am the chairperson, and I don't know who came
 8 up with the name.
 9 **Q. When -- when was that committee formed?**
 10 A. It was formed about four years ago.
 11 **Q. So was it formed after the 2008 report but**
 12 **prior the 2009 report?**
 13 A. It was formed right in that time period. I
 14 don't know exactly.
 15 **Q. Was it formed because of the 2008 report?**
 16 A. I don't know. I don't know why it was formed.
 17 I was just asked if I would -- if I would chair it.
 18 It actually started out as an ad hoc
 19 committee, and then it was converted into a standing
 20 committee a year or so ago.
 21 **Q. And the purpose of the committee was to**
 22 **actively advocate the advancement of your -- of your**
 23 **field, correct?**
 24 A. Yes.
 25 **Q. It was an advocacy role, right?**

1 A. It was to respond to inquiries, things of that
 2 nature.
 3 **Q. Well, the formal definition, according to your**
 4 **AFTE website, is: "The committee will have the**
 5 **following goals: To actively advocate the**
 6 **advancement of the discipline and stand prepared to**
 7 **respond to requests from the media, research**
 8 **entities, and other appropriate bodies regarding**
 9 **requests for information on the science of firearm**
 10 **and toolmark identification."**
 11 A. That's correct.
 12 **Q. And in your role as advocate, was that how this**
 13 **report in response to the 2008 NAS report got**
 14 **published?**
 15 A. In our role as responding to criticisms,
 16 inquiries, that's why we responded. We were asked --
 17 my committee was asked to -- to write a response.
 18 **Q. And that response came out before the 2009**
 19 **report came out?**
 20 A. I believe so, yes.
 21 **Q. And was there any discussion within your**
 22 **committee that, "Hey, you know, the NAS is sitting**
 23 **again at another committee that's looking at the**
 24 **scientific validity of our field, and we ought to**
 25 **kind of organize and get together and present to them**

1 **our view of this, because we've already got one NAS**
 2 **report out there that's critical of our field, and**
 3 **it's -- it's important to us to get our views**
 4 **across."**
 5 **Was there any kind of discussion about**
 6 **that?**
 7 A. In retrospect, there certainly should have
 8 been.
 9 **Q. Well, was there?**
 10 A. But there wasn't.
 11 **Q. So this committee for the advancement of the**
 12 **science, you -- you personally knew this other NAS**
 13 **report was convening?**
 14 A. I think I did. But like has already been
 15 pointed out, AFTE is a volunteer organization, and
 16 most of us have full-time jobs. And so I don't spend
 17 a great deal of my time outside of that. I -- my
 18 committee responds to a request from the board of
 19 directors. Sometimes we generate our own -- our own
 20 requests to the board of directors, but it's the
 21 board that decides everything.
 22 And the board asked us to respond, and we
 23 crafted a response. They reviewed it, made editorial
 24 changes, and then it was sent in.
 25 The same thing with our committee response

1 to the NAS report. It's the board of directors that
 2 the response comes from. We just drafted the report
 3 and then they edited it.
 4 **Q. And the -- you also testified about another**
 5 **document that you submitted to this committee --**
 6 **presidential committee, right?**
 7 A. Well, the subcommittee on forensic science, the
 8 SOFS committee, yes.
 9 **Q. Right. And that -- was that just a recycling**
 10 **of the hundreds of articles you submitted to the**
 11 **2009?**
 12 A. What does "a recycling" mean?
 13 **Q. I mean resubmitting the same literature. In**
 14 **other words, it was submitted to NAS. You say it**
 15 **didn't get considered. Now, are you giving the same**
 16 **literature to this --**
 17 A. I'm sure that there were some of the same
 18 articles, but I've never gone back and actually made
 19 a list, any kind of an official list of the materials
 20 that Ann Davis gave to the NAS committee member.
 21 **Q. You don't --**
 22 A. I haven't done that. So I don't know which
 23 articles were referenced on the materials Ann gave to
 24 the NAS committee member. I don't know. I'm sure
 25 that there were some that are duplicative of the ones

1 that we put and submitted in our 94-page list to the
 2 SOFS committee in June of last year.
 3 **Q. Okay. And how many of those articles on that**
 4 **list post-date the 2009 report?**
 5 A. There are a number of them, but I don't know
 6 how many.
 7 **Q. How many?**
 8 A. I have no idea. I haven't counted them.
 9 **Q. But a lot of them duplicate the same stuff you**
 10 **already had submitted to the NAS 2009 committee,**
 11 **right?**
 12 A. Well, like I said, I didn't -- I never compared
 13 the list.
 14 **Q. Now, what is your understanding of the type of**
 15 **weapon that is involved in this case?**
 16 A. I think there's semiautomatic pistols involved,
 17 and there might even be some sort of assault rifle.
 18 **Q. But on the cartridge case identifications that**
 19 **were made, what particular type of weapon?**
 20 A. Well, I think perhaps both of those kinds. I
 21 don't know exactly. I didn't do the work.
 22 **Q. And you have no idea of the particular brand**
 23 **and model of the weapon that -- or weapons that are**
 24 **at issue in this case?**
 25 A. I don't remember.

1 **Q. Did you at some point have that information and**
 2 **you just forgot it or...**
 3 A. Yes.
 4 **Q. I see.**
 5 **Now, a lot of the literature in your field**
 6 **is weapon specific, correct?**
 7 A. Correct.
 8 **Q. In the sense that examiners analyze weapons or**
 9 **toolmarks and they find something unusual about them,**
 10 **and then they write into the AFTE Journal and say,**
 11 **"Look what I found."**
 12 **I'm simplifying it. But that's...**
 13 A. Yeah. That's fine. That's right.
 14 **Q. Okay. All right. And -- and that's important,**
 15 **is it not, in particular comparisons? In other**
 16 **words, if you have a particular gun -- and in this**
 17 **case we are going to hear testimony that the gun at**
 18 **issue is a .40-caliber Smith & Wesson, what's called**
 19 **a VE. Are you familiar with that gun?**
 20 A. I'm -- just generally, but not specifically.
 21 **Q. Do you know what VE stands for?**
 22 A. No, not right offhand.
 23 **Q. I'll tell you what the company told me, and you**
 24 **tell me if it is wrong. Value enhanced.**
 25 A. I don't know whether that's right or wrong.

1 **Q. How many .40-caliber Smith & Wesson value**
 2 **enhanced pistols have you examined?**
 3 A. Very few. I don't know how many, but not many.
 4 **Q. And I think you testified this morning that**
 5 **looking at the particular tool that makes the mark is**
 6 **very important, correct?**
 7 A. It is important. But also looking at the mark
 8 that is made can give a person as much insight into
 9 whether there's -- on the presence or absence of
 10 subclass characteristics.
 11 **Q. And also looking at the literature on that**
 12 **particular tool, if such literature exists?**
 13 A. Yes.
 14 **Q. Now, do you know what the shell casing match is**
 15 **based on in this case?**
 16 A. No. I think it's -- I take it it might be
 17 based on -- on the breech face marks.
 18 **Q. Right.**
 19 A. And there might be some -- some of the firing
 20 pin aperture shear marks that I -- that I discussed
 21 earlier. I actually showed a couple of examples of
 22 those.
 23 **But I don't remember exactly what it's**
 24 **based on.**
 25 **Q. Sure. Let me ask you to assume, for purposes**

1 of my questioning, that there's going to be testimony
2 here that the alleged murder weapon here was a Smith
3 & Wesson .40-caliber VE, and that the cartridge case
4 identification for that weapon is based on breech
5 face identification.

6 A. Okay.

7 **Q. Okay?**

8 **Now, since you didn't look at the**
9 **evidence -- or you are not offering opinions about**
10 **the evidence -- just in general, given that scenario**
11 **it would be important, would it not, to know what**
12 **literature there is out there about what kind of**
13 **marks the particular type of weapon make on the**
14 **breech face end of the cartridge?**

15 A. Yes.

16 **Q. That's what the idea is based on?**

17 A. Yes.

18 **Q. You would want to look at the actual weapon and**
19 **the surface that -- as in your PowerPoint -- that**
20 **flat surface that the cartridge jams up against to**
21 **imprint the mark?**

22 A. You either can look at the weapon or you can
23 look at the -- at the primer, which is in the center
24 of the base of the cartridge case.

25 The primer is soft metal. And because of

1 **Q. In particular, you'd want to know -- one of the**
2 **issues that you have mentioned are subclass**
3 **characteristics?**

4 A. Yes.

5 **Q. You want to know if anybody has found subclass**
6 **characteristics for the particular kind of comparison**
7 **that you are making?**

8 A. Yes, for that particular gun. And then
9 evaluate the one that you have in your particular
10 case, to see if the same kind of manufacturing
11 toolmarks are present. They may be or they may not
12 be.

13 **Q. Okay. And you mentioned this morning this**
14 **Rivera study. Do you recall that testimony?**

15 A. I do.

16 **Q. And when was that study published?**

17 A. I don't know when Gene Rivera -- when he --
18 that was a number of years ago, I know that. Maybe
19 five or six years ago.

20 **Q. And what was the thrust of the study, if you**
21 **recall?**

22 A. They were using IBIS, as I believe, and they
23 happened across two -- two test firings from
24 different guns that showed remarkably similar scores.
25 And when they looked at those, they found that the

1 the tremendous pressure involved there will often be
2 great contact between the primer and the toolmarks on
3 the breech face. So good, in fact, that it's like
4 casting the breech face with silicone rubber.

5 And casting is -- is an acceptable way to
6 evaluate a tool working surface for subclass
7 influence. For example on extractors, it's very --
8 most of the time we don't want to take the extractor
9 out of the gun. It's hard to get out, and you just
10 don't have to do it, so we cast that surface.

11 The casting material flows under the edge
12 of the extractor, and we pull out the cast. We look
13 at the cast under the stereomicroscope and we
14 evaluate the toolmark, the working surface of the
15 extractor, by looking at the cast of the toolmarks.

16 Well, by the same token you can look at the
17 primer of a fired cartridge. And if the contact has
18 been good, if there has been a faithful reproduction
19 of the breech face -- of the toolmarks, you can
20 indirectly evaluate the tool working surface by
21 looking at the kind of toolmarks that are stamped
22 into the primer.

23 **Q. And you would also want to look at the**
24 **literature about the particular weapon at issue?**

25 A. Yes.

1 same type of subclass characteristics, very prominent
2 striated marks that started on one side and continued
3 virtually unchanged over to the other side, were
4 present on both guns, and those toolmarks matched.

5 They matched so well that if no subclass
6 would have been there, they would have been
7 identified as being -- being the -- being created by
8 the same tool working surface. But they were, in
9 fact, two different tool working surfaces.

10 The marks looked very similar to the marks
11 that I showed on one side of the bolt cutters in my
12 PowerPoint. They were fairly uniformly spaced. They
13 were -- but the main thing is that they were
14 continuous. So the thought is if they're continuous
15 across this entire surface, the breech face on one
16 gun, you might very well expect them to be continuous
17 in a similar way on another breech face.

18 **Q. Right. And they had a particular pattern to**
19 **them?**

20 A. Yes.

21 **Q. And the concern, as I understand it -- and you**
22 **correct me if I'm wrong -- is that these subclass**
23 **characteristics are going to be confused by the**
24 **examiner with the individual characteristics?**

25 A. They could be.

1 **Q. When in fact, if it's really a subclass**
 2 **characteristic, it's not an appropriate basis to make**
 3 **an identification.**
 4 A. Yes. They could be confused, but it's not
 5 axiomatic that they will be confused.
 6 **Q. Right. But the point of the article is, "I**
 7 **found remarkable similarity in two different guns.**
 8 **They're known non-matches," in your lingo, right?**
 9 A. Exactly, right.
 10 **Q. So what he's reporting on is, "I've got two**
 11 **guns here. And when I'm looking at the shell casing**
 12 **imprints made by these two guns, I'm seeing something**
 13 **remarkably similar."**
 14 A. Yes. That's the take-home message.
 15 **Q. Okay. And so just so I have this right,**
 16 **he's -- the concern that he's writing about is, "We'd**
 17 **better be aware of this because people could make an**
 18 **erroneous identification if they confused what are**
 19 **really subclass characteristics with individual**
 20 **characteristics."**
 21 A. Absolutely right.
 22 **Q. And so one of the things that an experienced**
 23 **examiner would have to do is know what patterns he**
 24 **found through his photographs and sort of compare**
 25 **that, if you get a -- whatever weapon was he was**

1 **dealing with.**
 2 A. Yes.
 3 **Q. Right?**
 4 A. Yes.
 5 **Q. And that applies to all these subclass**
 6 **characteristic issues. In other words --**
 7 A. Correct.
 8 **Q. -- you would have a bibliography which talks**
 9 **about this problem, and the problem is a potential**
 10 **confusion between subclass and individual**
 11 **characteristics?**
 12 A. Yes.
 13 **Q. So for the examiner in the crime lab, that**
 14 **person has to be aware of that literature in order**
 15 **to -- not to get fooled into making the**
 16 **identification which really is an incorrect**
 17 **identification?**
 18 A. Correct.
 19 **Q. Okay.**
 20 MR. BURT: Now, let me mark this next
 21 exhibit as N.
 22 BY MR. BURT:
 23 **Q. Is this the paperwork on it?**
 24 A. Yes, it is.
 25 **Q. And the particular weapon that he was examining**

1 **was the Smith & Wesson SW40VE Sigma pistol, right?**
 2 A. Yes.
 3 **Q. Which I'll represent to you in good faith is**
 4 **the exact same weapon that's going to be testified**
 5 **about in this case.**
 6 A. Okay.
 7 **Q. Okay? So it would be your testimony that this**
 8 **article would relate directly to a comparison,**
 9 **assuming it took place in this case, between shell**
 10 **casings fired from a Smith & Wesson 40VE?**
 11 A. Yes.
 12 **Q. And it would especially apply because the kind**
 13 **of subclass characteristic he found is a breech face**
 14 **subclass characteristic?**
 15 A. It is, yes.
 16 **Q. Correct?**
 17 A. Yes.
 18 **Q. I think your PowerPoint demonstrated there can**
 19 **be different subclasses at different points on the**
 20 **cartridge.**
 21 A. Yes.
 22 **Q. But he's talking about a subclass**
 23 **characteristic that was found on the breech face.**
 24 A. Yes.
 25 **Q. And is there -- can you demonstrate where, in**

1 **his photos, and kind of walk the Court through how**
 2 **these are subclass characteristics and how it looks**
 3 **similar and how an examiner might be fooled into**
 4 **making an identification here?**
 5 A. Well, one of the best examples is on page 251
 6 in Photograph Number 5.
 7 On the left, you have an illustration of
 8 the breech face impression from the pistol serial
 9 numbered PBV7152.
 10 **Q. Uh-huh.**
 11 A. And on the right you have a test firing from
 12 pistol serial numbered -- it's -- it's also the P --
 13 PBV7164. So there -- these two cartridge cases were
 14 fired from different firearms.
 15 And then we -- what you see is a comparison
 16 of breech face marks on the primer. And these, of
 17 course, were created by that stamping operation I
 18 have already described.
 19 And this amount of agreement, if they were
 20 in fact -- if it wasn't subclass characteristics --
 21 would be enough to identify this breech -- these two
 22 cartridge cases as being fired in the same gun.
 23 But the interesting thing is, if you look
 24 at the photograph right below it, there were other
 25 parts of the -- of the firearm that left individual

1 marks. So you could use other toolmarks to
 2 identify -- to show that these guns mark differently.
 3 So these are -- these are firing pin
 4 aperture shear marks that we have talked about, and
 5 those are different.
 6 So each of these guns leaves a different
 7 firing pin aperture shear mark, even though the
 8 subclass stamped toolmarks are remarkably similar.
 9 **Q. So the take-home message for the careful**
 10 **examiner would be better -- if you're going to make**
 11 **an identification of a cartridge case from a Smith &**
 12 **Wesson 40VE, better to rely on the shear mark and not**
 13 **on the breech face?**
 14 A. That take-home message would be true if the
 15 particular gun that you were examining exhibited the
 16 kind of continuous toolmarks that we see in
 17 Photograph 5.
 18 **Q. Uh-huh.**
 19 A. If they do not, if the marks that are exhibited
 20 in the gun that you say is the gun in question in
 21 this case --
 22 **Q. Right.**
 23 A. -- if those marks are more random in nature,
 24 they start and stop, they move off in an angular
 25 position without -- without any indication of this

1 **looked at?**
 2 A. No.
 3 THE COURT: You will have plenty of time to
 4 look for that, because --
 5 MR. BURT: Oh, I'm sorry.
 6 THE COURT: -- it's time for us to break
 7 for the day. So we'll reconvene tomorrow morning at
 8 9:00.
 9 Court will be in recess.
 10 Oh, one question. Is this Exhibit N?
 11 MR. BURT: N, as in Nancy.
 12 THE COURT: All right. We'll be in recess.
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

1 very smooth continuous movement across the breech
 2 face, then in that case, even though it's the same
 3 make and model of pistol, the examiner could
 4 conclude, based on the appearance of the breech face
 5 stamped marks in test firings, that they -- he or she
 6 was dealing with a unique tool working surface.
 7 **Q. And did you look at the photomicrographs in**
 8 **this case to see if the breech face comparison showed**
 9 **the kind of similar subclass characteristics that**
 10 **were present in these photomicrographs?**
 11 A. I did look at them.
 12 **Q. And what did you think?**
 13 A. They didn't look like the ones that are in this
 14 article.
 15 **Q. And why do you think that?**
 16 A. Because of the appearance of them. They were
 17 angular, they started and stopped. This -- it was a
 18 different kind of tooling operation than was -- than
 19 was used on these.
 20 Once again, I just looked at the
 21 photographs. I didn't look at the actual exhibits.
 22 But the photographs were -- were good. They were
 23 high-quality digital photomicrographs, and I could
 24 see the breech face stampings clearly.
 25 **Q. But the -- do you have that photograph that you**

1 CERTIFICATION
 2
 3 I certify that the foregoing is a correct transcript
 4 from the record of proceedings in the above-entitled
 5 matter. I further certify that the transcript fees
 6 and format comply with those prescribed by the Court
 7 and the Judicial Conference of the United States.
 8
 9 Date: August 22, 2012
 10
 11
 12
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

PAUL BACA, RPR, CCR
 Certified Court Reporter #112
 License Expires: 12-31-12

I N D E X

GOVERNMENT'S EVIDENCE

WITNESSES:

JOHN MURDOCK:

Direct Examination by Ms. Mott9
Cross-Examination by Mr. Burt146

Certificate of Court Reporter257

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

GOVERNMENT'S EXHIBITS

NO.	DESCRIPTION	ADMITTED
1		
2		
3	1	145
4	2	145
5	3	145
6	4	145
7	5	145
8	6	145
9	7	145
10	8	145
11	9	145
12	10	145
13	11	145
14	12	145
15	13	145
16	15	145
17	16	145
18	17	145
19	18	145
20	19	145
21	48	145
22	50	145
23		
24		
25		

<p>A abbreviated 27:24 140:10 ability 71:11 73:3 111:14 112:23 133:21 220:15 able 7:4 44:8 54:6,8 72:17,17 95:12,13 105:7,13 111:4 123:2 138:15 147:1 164:3 164:23 176:14 177:7 199:7 about 12:10 14:10,15 15:3 16:8,19 17:19 18:7 22:20 23:5,11 24:6 25:13,18 26:16 26:20 31:7 32:21,23 51:8 53:10 59:24 64:15 65:18 66:6,16 69:19 70:10 76:12,25 79:8,9 84:19 86:14 86:22 87:11 92:22 94:13 95:7 96:16 97:7 99:3,16 101:3 101:23 104:20 105:5 105:19 106:3,18 107:13,14 109:7 110:9 113:24 114:19 115:15,15,16,18 118:2,10 119:9,13 120:8 121:3 123:5 126:3 127:22 130:4 130:10,16,25 131:8 133:2 134:8 136:19 137:19,20 138:2 139:14 140:14,19,19 144:19 146:16 147:4 151:19 152:13,15,16 152:19 153:10,18 155:3 156:10 161:24 162:25 163:17 165:13 168:21 169:24 170:2,6,7 176:16 181:7,10,22 182:4,7 183:6 184:8 186:6 188:20 190:21 191:20 194:25 195:20 199:6 201:3 201:10 202:5,5,7 203:24 204:9 208:11 208:12 210:5,8,8,10 212:22 213:2 214:5 217:24 218:3 225:20 234:5 237:3 238:19 239:10 241:5 242:4 244:9 246:9,12 247:24 250:16 251:9 252:5,22 254:4 above-entitled 257:4</p>	<p>absence 245:9 absolute 18:7 33:6 53:3 59:18 109:22 110:1,8 120:1,1 absolutely 20:13 30:13 152:25 184:1 250:21 abstract 227:12,22,24 228:3,9 abstracted 166:16 abstracting 166:16 abstracts 141:12 abuse 39:3 43:18 44:3 44:12 academic 57:23 70:22 168:20 Academy 59:3 60:8 122:9 123:19 acceptable 19:24 191:15 204:3 247:5 acceptance 57:4 accepted 53:12 57:16 58:16 64:5 137:13,19 access 166:15 accessed 107:25 accessing 112:22 accidental 104:19 accomplished 141:22 202:20 according 56:25 64:16 68:18 75:16 96:21 151:21 227:3 228:9 240:3 account 115:5 accouterments 178:20 accreditation 12:18 21:21 128:15 129:12 131:2,4 187:18 accredited 12:16,16 63:18 102:3 accuracy 108:1 127:4 127:11,23 130:13 accurate 162:18 accused 27:5 acquired 11:10 acronym 24:24 131:7 139:22 across 91:12 118:8 155:9 166:18 220:20 241:4 248:23 249:15 255:1 action 87:23 92:16 actively 129:6 239:22 240:5 activities 26:13,13 131:5 activity 140:4 actual 84:18 115:23 149:12 153:11 172:22 188:15</p>	<p>192:11,15 246:18 255:21 actually 9:18 10:5 18:12 19:10 24:18 26:21,21 27:9 36:19 36:24 37:24 38:6 54:14 57:25 58:4,21 66:8 72:4 91:19 92:3 109:10 115:1 116:20 118:16 124:15 127:6 129:4 131:12 138:23 149:11 160:6 162:5 162:17,24 173:12 177:12 208:18 213:16 216:17 232:12 239:18 242:18 245:21 ad 232:14 239:18 add 126:4 143:5 155:25 added 30:22 addition 17:12 22:21 57:6 85:12 124:23 128:23 186:24 201:5 222:22 227:1 234:14 additional 11:9 73:15 97:7 110:21 134:23 159:1 215:7 216:2 217:15 Additionally 223:22 address 5:22 6:18 7:22 125:2 127:4 131:18 133:21 136:6 160:24 170:13 184:25 220:15 addressed 8:1 117:24 136:9 142:1 185:12 202:12 235:3 addresses 136:5 154:14 154:25 addressing 70:1 117:23 142:6 160:25 adds 166:7 Adelaide 230:4 adequate 5:8 71:24 adhere 137:1 224:8 adhered 78:20 adherence 129:17 134:23 adhering 77:10 135:6 Adina 76:20 211:25 adjacent 35:10 40:25 adjunct 214:20 administer 62:9 administered 102:25 186:4 administering 62:18 administrative 21:9 admissibility 121:4,11 136:6 151:6 190:25</p>	<p>admissible 113:4 119:12 121:9,25 153:3 admit 145:3 181:21 192:19 admitted 76:11 145:24 169:25 259:2 admittedly 105:18 186:6 admonition 110:4 adopt 27:4 132:1 189:2 adopted 26:21 28:1 51:25 56:19 129:3,4 advanced 13:10 advancement 25:13,16 238:24 239:22 240:6 241:11 advances 17:1 advancing 128:8 advantage 13:6 advent 105:11 advise 146:20 advocacy 120:16 239:25 advocate 179:12,18,20 239:22 240:5,12 advocated 114:23 advocating 193:24 affected 194:10 affidavit 5:6 113:18,20 114:6,22 115:8,11,18 115:21 116:1,6,20,25 117:8 118:15 119:9 119:11,13,18 affidavits 113:24 114:11 115:2 affiliated 147:23 afford 27:5 Affray 54:2 afield 114:17 Africa 16:2 AFTE 6:22 17:3 24:23 25:11,15,19 26:20 27:3,8,13,14 51:24 53:2 54:3 56:17,19 57:2,7 58:4,8 59:24 60:5 63:23 65:19,20 65:21 67:1,18,21 68:7,17 69:6 70:17 75:10 77:3,3,9 78:6 80:23 84:16 85:12,13 91:22 93:18 101:4,20 108:18,25 109:1,19 123:6,8,13 125:13,24 126:11 128:19,24 129:5,6,20 130:8,24 130:24 131:1,17,21 132:3,3,12,19,21 136:4 137:2,20</p>	<p>138:24 139:10,18 141:4,24 143:19 165:16 166:9,13,14 175:25 176:4,9 179:6 198:22 199:25 200:16 201:23 202:11,15 203:7 214:24 228:10 237:11,16,20 238:3,5 238:17 240:4 241:15 244:10 after 10:25 11:24 13:2 13:7 42:2 46:4 55:17 64:15 76:8 89:13 116:2 118:10 120:11 141:15 143:16,25 144:12 148:4 171:13 212:20 213:6 216:8 221:20 226:4 239:11 afternoon 146:13,14 200:17 afterward 29:6 AFTE's 67:14 139:1 again 32:6 49:7 55:14 83:16 118:1 119:15 129:20 130:16 142:18 143:2,3 160:24 178:15 195:24 197:3 236:25 240:23 255:20 against 17:9 36:1 54:23 88:18 92:1 169:13 207:15 215:23 231:3 246:20 agency 63:18 agenda 237:4 Agent 3:10 ago 17:4 21:11 36:25 101:3 139:12 163:22 190:10 194:15 201:9 201:22 239:10,20 248:18,19 agree 49:4,7,11 50:3 82:23 109:18 111:10 121:19 175:19 177:22 178:2,22,23 180:22,24 183:1,22 204:9 217:21 219:2,6 agreed 109:11,13 110:3 111:1,18 171:9 210:20 agreement 15:14 38:12 46:3 47:16,21,23 48:3,8,9,9,11,15,16 48:18 49:2,4,8,17,23 50:5 51:15,18,20 52:2,6 73:3,11,14 139:4 253:19 ahead 27:19 29:1</p>
---	--	--	--	---

<p>108:15 180:2 184:15 207:24 234:10 235:5 ahold 36:15,16 Air 13:3 AI 23:17 38:5 195:22 195:23 206:2 Albuquerque 2:4,12 Alcohol 11:3 alike 101:1 alleged 246:2 allow 5:1 29:13 119:8 119:12 148:10 150:12 153:9 234:20 allowed 20:15 132:10 133:4 169:19 171:22 172:2 191:14,16 allows 52:21 66:3,4 81:22 alluded 165:10 almost 24:8 144:12 210:16 alone 142:14 along 44:20 74:2 97:19 209:9 already 11:10,16 26:7 37:6 56:8 63:19,19 63:24 80:6 117:24 127:22 132:6 149:5 183:23 188:11 212:21 225:20 234:6 241:1,14 243:10 253:18 alternative 4:25 although 11:15 23:22 24:4 77:17 105:18 113:25 124:21 130:23 132:12 187:4 198:2 213:1 237:10 altogether 125:7 always 40:20 48:8 191:10 AMERICA 1:4 American 12:17 57:18 60:8 131:3 187:17 ammunition 202:18 among 39:25 43:3 186:17 221:1 amount 62:20 73:11 137:6 148:23 190:20 193:15 222:20 223:9 253:19 amounts 24:8 133:14 ample 215:24 217:13 analyses 127:12 130:14 analysis 18:11,22 33:3 104:1 127:15 154:15 164:10 185:15 186:1 194:20 196:24 208:12 211:4 217:24</p>	<p>219:12 analysts 222:24 analytical 63:15 233:14 analyze 244:8 analyzed 106:19 ancient 174:13 and/or 23:13 65:20 136:6 anecdotal 172:25 angle 41:6 angles 39:15 angular 254:24 255:17 Ann 135:16 228:24 232:10 237:20 242:20,23 annual 102:5 128:25 annually 128:25 another 7:23 16:25 32:4 33:9 35:15 42:11 45:9 62:24 73:19 75:20 78:23 90:6 103:20,21 104:14,18,25 138:1 139:9 158:7 166:7 198:11 207:22 216:1 240:23 242:4 249:17 answer 97:20 100:4 120:14 140:24 210:19,19 answered 110:19 163:5 236:13 answers 62:16,18 102:8,10 120:20 139:12 141:13 146:25 204:2,3 anticipate 160:6 anticipated 160:7 anybody 98:13 102:14 177:1 248:5 anymore 206:11 anyone 8:5 78:4 100:13 132:10 166:14 176:12,13 180:23 198:8 anything 9:10 31:21 67:25 68:3 117:17,21 130:3 143:25 144:18 144:21,23 152:23 154:9 158:16 172:7 176:22 212:8 anyway 233:6 anywhere 15:21 108:9 apart 228:14 aperture 36:7,8 37:6 48:19 50:17,20 89:10 89:11,25 90:2 91:16 245:20 254:4,7 apologize 75:13 apparently 136:2</p>	<p>appear 17:6,8 37:1 68:7 106:8 138:17 172:3 appearance 255:4,16 appearances 3:6 appeared 85:14 120:4 appears 19:21 48:21 80:9 117:23 143:9 160:13,17 161:3 231:1 Appendix 80:5,9 applicable 116:22 125:9,11 184:4,7 application 32:17 41:6 51:24 78:25 applications 154:25 applied 106:11 143:7 173:13 174:8 applies 81:9 134:15 153:2 200:9 251:5 apply 26:1 252:12 applying 202:21 appreciate 9:9 approach 82:14 176:23 183:12 186:9 188:1 189:2,6,10,10 195:14 208:13 216:25 236:25 approached 101:3,21 approaches 198:5 approaching 133:24 appropriate 162:3 215:8 240:8 250:2 appropriately 60:7 approval 57:4 approved 108:25 123:12 136:22 187:18 approximate 187:15 approximately 15:11 15:24 28:10 54:4 97:4 132:14 142:11 142:21 arduous 73:7 area 22:2,14 44:18 57:13 69:3 167:10 178:6 181:7 192:24 194:8 areas 25:20 26:2 42:20 58:14 74:16 104:21 134:20 152:16 194:21 argument 179:15,21 arguments 172:14 177:20 Army 57:19 around 61:4 85:10 89:8 91:18 92:2 189:22 192:12 194:1 206:17</p>	<p>209:1 216:12 array 104:12,25 215:4 arrived 79:24 arrow 41:18 art 79:3,10 194:22 204:23,24 article 17:3,5 24:9 70:8 72:2,6 73:19,25 74:2 75:9,21,25 76:5,7,13 76:17 77:12 78:23 79:8 80:5,9 86:16,21 91:23 93:17 94:15,16 96:7 97:14 146:22 156:10,15 170:3,7,9 170:12,16,22,23 171:6,7,13,13,15,19 172:4,6,19 173:20 174:1,5 175:8 181:10 182:3,5,11,15 195:24 196:8,12,13 209:13 226:3,25 229:25 233:24 250:6 252:8 255:14 articles 16:12,16,17 59:21 60:4,13 67:24 77:19,25 78:2,5 99:20 135:21 141:12 144:5,20 155:3,15 217:10,10,11 221:8 221:11 224:10 225:20,24 227:3,6,7 228:18,20 229:10,14 232:15 236:20 237:15,16 242:10,18 242:23 243:3 articulated 197:19 arts 173:8 art-formed 204:2 ASCLD 131:6,9 ASCLD/LAB 21:21 63:19 102:2 aside 9:17 192:14 asked 21:15,17 26:16 69:14 84:23 101:4,21 108:3 120:15,17 124:16 142:2 143:21 151:9,15 158:15 162:21,23 163:5 170:5 179:13,16 207:22 225:15,17 229:22 231:9 233:7 236:13 239:17 240:16,17 241:22 asking 177:3 186:20 aspect 53:18 62:22,24 161:17 204:10 aspects 161:24 assault 243:17</p>	<p>assemble 95:19,20 assess 116:14 assessing 112:23 assessment 112:17 117:12 118:24 assessments 195:15 assigned 21:23 assist 30:19 149:4,9,19 155:13 157:5,5 198:23 Assistant 2:3 assisting 148:20 associate 15:18 43:13 68:15 174:19 associated 15:6 67:10 associating 21:16 association 18:21 24:16 24:25 26:23,24 58:18 60:10,11 76:15 104:1 104:5 105:8 131:23 133:1 135:11 238:10 associations 24:13,14 52:25 120:1 183:24 assume 30:6 41:16 150:17 245:25 assumed 173:10 assuming 252:9 assumption 212:3 assumptions 215:23 assurance 63:16 130:12,24 131:1 186:12 assured 144:18 astrologist 176:19,23 astrology 176:16,20,25 ATF 11:8,14,16,18 12:13 28:9 59:1 148:2,4,17 atomic 14:8 attached 142:14 196:16 237:6 attachments 5:24 attack 117:4,9,20 attempt 114:4,22 214:22 attempted 156:9 197:9 attention 29:23 35:18 70:7 75:21 134:20,21 155:19 attorney 160:21 223:1 attorneys 2:3 124:23 233:13 Attorney's 21:24 attributes 183:14 audit 191:23 192:4 201:4 audits 192:16 August 1:12 4:8 30:23 257:9</p>
---	---	--	--	---

<p>Australia 230:4 author 54:16 55:14 61:21 79:21 80:11 97:18 118:11 160:14 167:11 authored 94:12 108:24 authoritative 57:5,8 authors 55:20 60:3 91:23 96:24,25 105:3 123:7,9 160:15 161:7 168:18 author's 79:13 automated 108:7 127:16 available 6:16 9:5,6 102:24 166:15 awarded 13:16 26:10 57:19 aware 113:12,18 133:4 178:24 213:11 237:12 250:17 251:14 away 94:2 axiomatic 250:5 A-F-T-E 24:24 a.m 64:18,19</p> <hr/> <p style="text-align: center;">B</p> <p>B 47:5 49:7 50:2 127:10 Babcock 4:2 5:25 7:21 19:22 151:14 154:7 BACA 257:11 bachelor 13:9 back 5:25 32:3 33:15 34:1 36:2 46:25 56:4 56:14 64:21 71:25 76:24 77:18 78:4 81:22 83:11 86:3 87:3 88:2 89:5,14,18 89:21,22 108:12 119:3 122:23 127:21 136:10 144:2,16 150:24 185:2 190:24 200:23 203:12 209:13 219:8 221:21 235:8 242:18 backdrop 56:17 57:1 200:15 background 62:11 168:20,20 177:6 bad 25:8 badly 68:3 ballistic 108:2 109:2 215:19 218:22 ballistics 112:25 113:3 bar 50:24 barrel 33:25 34:4,13 37:17,24 38:18 45:20</p>	<p>45:25 56:11 81:14 89:15,16,18,23 198:16 barrels 54:12,14 96:10 96:21 97:16,22 98:11 197:14 209:5 base 17:7 88:9,13,17 92:1,4 184:19 211:6 246:24 based 4:19 32:16 38:9 52:5,24 72:3,7,8,10 116:5 167:24,25 171:4,11 172:12 175:2,10 185:8 189:3 192:10,15 194:25 195:8,12 197:17 201:4 203:18,19 208:11 210:15 231:5 245:15,17,24 246:4 246:16 255:4 basic 6:20 31:1 110:23 111:20 166:10 214:14 216:4 217:18 basically 31:19 33:7 65:2 99:19 165:15 basis 30:19 47:18 51:7 78:12 109:17 116:13 118:17 119:4 121:22 127:9 137:8 141:19 147:14 172:15,21 177:21 180:9 183:15 183:24 184:6 186:13 198:7,22 199:22,24 199:25 200:1 212:5 250:2 bear 50:25 became 12:25 become 176:25 before 1:13 6:14,18 9:10 26:4,22 29:20 66:22 75:9 94:4,5 120:5 130:19 132:11 155:12 161:17 175:19 187:5 210:5 213:10 222:2,9 224:1 224:4 230:21 231:17 234:15 240:18 began 85:2 170:4,4 195:13 begin 3:17,18 8:13 9:11 32:5,5 59:5 beginning 112:20 185:11 197:6 begins 136:13 185:8 behalf 3:8 179:24 behind 77:5 being 7:4 11:10 33:9 43:24 54:15,22 62:2 62:6 75:1,13 84:18</p>	<p>91:25 100:5 114:9,14 120:15,17 126:15 156:8 165:9 168:8 173:12 177:15 187:5 193:9 229:24 231:25 232:1 249:7,7,7 253:22 beings 191:9 Belgium 58:11 believe 3:19 20:4 22:17 22:25 27:12 70:1 73:19,22 76:20,22 79:2 86:17 92:5 96:24 97:11,20 98:7 99:2 102:19 123:12 124:22 125:5,8 129:22 132:25 134:5 134:7,8,10 136:11 147:7 154:2,11 157:9 159:19 163:4 165:12 170:5 193:5 203:23 206:1 214:8 216:24 230:3,4 232:9 234:3 238:16 240:20 248:22 belong 24:12,16 26:25 176:4 below 68:16 253:24 bench 17:18 85:4 Berkeley 13:9,17,22 22:22,23 98:23 191:12 besides 145:13 201:19 236:19 best 15:13 22:1 48:9,16 51:17 59:22 64:7,8 81:19 105:18,23 128:14 139:3 161:11 180:4 184:22 189:6,9 204:25 205:14 253:5 better 29:5 65:9 93:13 101:24 204:24 226:20 235:20 250:17 254:10,12 between 12:4 29:11 48:22 49:24 51:18 52:7 62:20 88:17 92:19 158:9 160:18 178:9 222:24 230:1,6 233:11,25 247:2 251:10 252:9 beyond 82:6,11 97:13 118:9 119:24 153:17 202:1 bias 81:24,25 Biassoti 23:17 38:5 71:5 98:17 143:10 195:21,22,23 206:2 bibliography 94:24</p>	<p>251:8 big 138:1 billing 150:20 bills 148:25 binder 16:15 20:14 23:21 30:14 70:6 74:6 75:12 76:3 79:6 86:16 95:5 98:4 109:4 113:6 119:16 123:17,24 126:22 136:15 142:25 159:5 binders 6:12 16:13 binocular 207:5 bins 95:22,23 biochemist 13:25 bit 14:15 65:2 70:10 75:14 76:25 81:23 89:21 150:3 bite 174:22 bits 55:22 black 206:23 208:1 blade 43:22 blades 38:19 56:12 blind 62:4,15 97:24 100:2,3 166:11 186:10 blinded 62:17 blindness 62:3,23 63:8 blood 173:15 174:10 board 12:18 22:8,8 25:11 83:11 101:4,20 109:1 123:13 131:4 136:22 187:18 202:11 241:18,20,21 241:22 242:1 bodies 240:8 body 57:5,8 127:21 154:24 bogus 172:2 Bohn 215:3 bolded 47:17 bolt 40:12 44:4,6,7,9 55:16,22 151:19 152:15 249:11 bomb 14:9 book 14:2 23:3 79:10 80:12 157:25 168:15 books 14:2 borderline 100:25 101:1,11 210:6,13 bore 235:15 bores 38:18 56:11 borrow 172:22 borrowed 97:6 boss 228:25 237:22 both 5:25 12:13 28:23 50:15 88:25 149:1 161:17 183:20 197:9 204:4 206:24 207:16</p>	<p>243:20 249:4 bottom 42:9 43:23 46:18 90:12 174:7 178:13 216:11 217:5 boundaries 18:17 97:13 Box 2:4 Boyd 2:10 brainstormed 141:8 brand 243:22 Brannan 2:7 break 85:8 122:12,14 200:17 256:6 breech 17:9 34:5 35:3 35:16,19,24,25,25 36:21 37:8 38:18 55:15 56:11 88:16,18 91:8 92:11,14,14 93:15,21,23 96:6 104:12 245:17 246:4 246:14 247:3,4,19 249:15,17 252:13,23 253:8,16,21 254:13 255:1,4,8,24 brief 20:10 21:6 24:14 briefly 26:16 56:8 70:11 84:9 139:11 151:17 153:22 177:24 212:11 bright 77:24 bring 9:8 29:22 148:10 155:18 220:6 bring-us-your-huddl... 68:2 broach 96:22 broad 135:1 140:23 215:3 220:19 broke 127:6 broken-away 33:14 brought 20:22 234:16 Brownsville 54:2 Bruce 15:19 74:7 80:4 190:6 Brundage 97:2 build 73:8 bulk 13:15 bullet 35:8 38:1 39:16 45:19 50:12 81:14,14 82:18,21 83:1,2,5,7,9 83:13,14,21,24 84:2 84:4 151:18 154:4 194:20 202:17 bullets 34:13 37:20 38:3 45:24 55:2 83:20,25 96:8 98:25 99:1 100:17 154:19 199:6 209:18,20 210:1 bureau 11:3,14 22:9</p>
--	--	---	---	--

59:1 Burt 2:6,7 3:12,13,24 3:25 6:8,19 8:22,23 9:9,12 19:14,25 28:21 29:18,24 69:11 113:23 115:14,20 117:18 120:13 122:18 140:17 145:10,17,22 146:4 146:10,12 151:25 152:7,12 153:13,20 153:21 159:9,17 160:2,5,11 163:7,15 163:18,19 164:6 173:22 174:2,6 175:1 182:20,21 200:24,25 201:1 216:15,19,22 217:2 227:21,23 228:2,5 230:15,22,24 231:2,15 233:7,24 234:7,11 236:2,15,17 236:18,25 237:1 251:20,22 256:5,11 258:5 Burt's 116:20 button 54:23 98:10 B-R-U-N-D-A-G-E 97:2	cancer 52:13 68:24 candidacy 13:11 capability 108:1 capable 127:16 capacity 10:14 25:17 59:5 132:4 143:18,20 148:13 157:1 capital 3:10 carbide 54:23 care 68:3 career 12:3 14:14 careful 102:10 254:9 carefully 217:9 223:18 225:1,3 cartilage 36:1 cartridge 12:8 17:7,9 31:22 34:12 35:1 36:12 37:2,11 42:8 50:16,18 54:5,9 87:20 88:1,3,7,9,10 88:10,17,22,23 89:4 89:5,6,17 90:16 92:16,20,24 153:25 154:3,8,21 202:17 206:8,16,19,24 208:3 209:21 243:18 246:3 246:14,20,24 247:17 252:20 253:13,22 254:11 cartridges 36:18 37:3 87:22 88:8 91:25 92:17 case 3:10 6:22 7:3 9:1 12:5 31:22 34:13 35:1 36:13 37:2 42:9 50:18 80:21 82:14,17 87:20 88:10,17,22 89:4,5,6,17 90:16 91:12 92:20,24 96:20 101:11 114:14 115:6 115:11,12,22 116:4 116:23,25 117:9 118:18 119:4 121:24 122:1,2 137:4 150:18 150:20 151:10,13,17 151:20 152:2,3,9,14 152:20,21 153:11,19 153:23,25 154:21 164:15 169:17 187:3 193:4 201:9,10,13,19 202:8,17 204:17 205:22 208:8 209:22 235:15 243:15,18,24 244:17 245:15 246:3 246:24 248:10 252:5 252:9 254:11,21 255:2,8 caseload 186:23 cases 4:9 6:3 8:6,9 12:5	12:8 17:7 21:8,9,25 22:4,4,6 34:12 36:20 37:11 50:16 54:5,9 62:12 88:8,11 100:11 100:25 101:1 102:21 114:12 121:22 154:3 154:8 164:11 187:11 191:8 199:3 206:8,16 206:19,24 208:4,6,9 210:6,13 253:13,22 casework 17:15 22:9 66:5 136:24 138:9,13 138:23 148:24 149:12,15 150:4 151:10,11 154:6 180:5,5,23 187:9 188:12,16 192:11 214:21 caseworker 17:15 case-based 209:25 case-by-case 180:9 case-related 209:17 casing 151:18 155:8 245:14 250:11 casings 252:10 cast 228:18,21 247:10 247:12,13,15 casting 247:4,5,11 category 46:19,21 cause 36:9,20 44:12 201:23 caused 37:3 38:21 42:21 causes 89:6 caution 153:18 cautionary 120:6 CCI 21:16 CCR 257:11 CD 158:25 159:14,18 159:21 230:10 cells 52:13 center 33:18 35:2,9 36:5 37:7 58:13,19 88:15 246:23 certain 24:10 25:24 26:11 68:23 111:15 111:15 140:6 165:12 174:14 210:9 212:4 certainly 7:16 49:24 50:4 66:11 67:11 74:24 77:12 94:20 119:23 121:21 137:25 153:16 173:23 175:18,20 176:6 179:18 192:3 199:24 225:25 234:17 241:7 certainty 53:2,3 certificate 26:10 258:6	certification 24:20 25:1 25:6 26:1,10,15 58:8 65:25 128:16,20 129:13,14 130:6 257:1 certified 25:8 26:7 65:20,21 66:5 257:12 certifies 25:19 certify 25:2,23 257:3,5 cetera 56:12 chair 22:11 47:1 239:17 chaired 25:22 78:8 85:13 181:13 chairman 22:7 24:22 25:15 116:11 117:9 118:20 123:10 143:18 180:7,10 221:14 238:3 chairperson 113:9 238:23 239:6,7 challenge 70:3,21 challenges 71:11 75:24 challenging 188:22 223:4 chamber 35:17 36:18 37:4 89:19 92:1 chambered 83:4 88:24 chambering 88:25 chance 48:7 103:20 104:14,18 116:19 146:7 230:20 change 39:2 changes 163:16 241:24 changing 38:17 56:10 chapter 23:1,25 70:24 71:5 156:12,24 157:3 157:8,10,11,19,21,22 157:23 158:17,19,20 159:10 160:12,15,20 161:5,16,21 162:14 162:16 163:11 166:23 167:4,6,14 168:25 171:21 172:3 185:1,1 191:12 213:18 215:22 chapters 14:3 23:15 79:13 characteristic 39:18,20 43:8 50:23 250:2 251:6 252:13,14,23 characteristics 24:7,10 39:5,7,12,23 40:3,11 40:14,19 41:2 43:4,7 43:21 44:2 45:16,25 47:19,20 49:3,4,7,11 50:3,10,10 82:23 83:15,17,20,23 104:3 104:6,7,19 183:25	184:5,6 189:24,25 214:16 245:10 248:3 248:6 249:1,23,24 250:19,20 251:11 253:2,20 255:9 characterization 175:14 180:22 characterize 117:20 characterized 117:21 characterizing 215:2 charge 13:21 181:20 charged 110:13 140:20 CHARLES 1:7 chart 80:10 chattering 42:22 Chief 222:5 chip 38:25 chipping 44:20 chips 38:22 chisels 55:21,25 154:9 155:3 choice 47:3 choose 134:1 210:7 chosen 65:24 162:10 chronology 67:18 Chuck 205:24 circle 33:21 circles 37:21 42:13 Circuit 222:5,6 circular 42:4,9,16 93:10 circulated 162:13 210:6 circumferential 41:21 42:13 circumstances 187:14 citation 78:5 cite 77:15 179:4 213:18 217:9 225:19,21 229:13 cited 97:1 155:21 190:14 200:8 212:3 215:12 cities 16:3 citing 218:24 219:4 229:17 civic 14:19 civil 133:23 220:17 claims 157:6 172:24 clarifying 151:4 class 15:10,21 16:8 39:6,11,18,20 40:3,3 45:16,25 47:20 49:3 49:7,11 50:3,9,23 72:16 82:23 83:14,17 83:19,23 classes 11:15 15:17 220:21 claw 88:21
C C 2:1 49:11 127:13 calculate 185:9,21 calculated 189:18 caliber 82:20 83:1,5,8 83:13 calibers 12:8 37:21 82:22 California 2:8 10:8 11:5 13:4,8 15:7 21:11 26:22,24 59:9 59:10 76:15 98:23 131:23 164:16 call 4:7 9:16 104:11 called 21:7 23:7 73:4 89:10 90:1 131:12 140:8,15 171:20 172:4 179:22,23 193:11 205:16,18 233:12 244:18 calling 4:3 calls 148:25 172:12 185:14 came 58:2 79:8 84:18 107:14,21 110:20 116:1 204:18 212:20 213:5,10 224:4 234:24 239:3,7 240:18,19 Canadian 58:12				

<p>clay 68:5 cleanly 100:17 clear 141:17 146:25 195:9 219:10,11 clearer 30:22 clearly 53:7 93:11,19 109:19 121:22 136:25 255:24 client 109:25 clock 64:16 close 28:6 41:13 54:4 57:23 161:13 210:3 closely 18:9 27:22 187:15 closer 41:12 65:6 105:14 cloud 137:17 club 226:15 CMS 193:11,13 coarse 42:12 92:15 coarseness 92:16 coauthor 22:25 coauthored 23:16 cocked 33:15 code 26:20 27:2 129:18 131:12,13,15,16,17 131:24 132:2,3 137:21 codes 140:5 coexist 43:3 cogent 179:14 cognitive 52:19 185:18 collaborates 129:6 collaborative 61:2 106:2 collaborator 156:23 colleague 195:21 colleagues 60:1 195:13 colleague's 59:17 collected 186:8 collecting 15:5 collection 33:10 college 13:5,7,14 15:1 57:19 colleges 57:10 Collins 60:1 color 236:3 Columbia 114:8 116:23 column 80:13,20,22,24 183:8 combination 47:16 91:11,14 come 5:17 12:9 18:15 18:24 33:8 75:21 103:10 105:13 110:16 111:14 132:21 166:11 170:19 179:13,16</p>	<p>201:19 205:12 208:10 218:2 comes 36:2,4 68:24 101:25 105:21 176:10 192:21 210:3 242:2 coming 144:14 comment 29:16 116:21 182:5 234:16 commentary 121:8,10 156:4 178:4 181:22 181:25 182:9 216:9 commentator 71:3 commented 194:20 198:18 commenting 197:3 236:6 committed 191:18 201:7 committee 24:20,22 25:14,17 26:17,18 47:1 78:7 85:12 107:22 108:24 110:13,17 112:2,4,12 112:22 113:10,19 114:5 117:11 119:22 120:25 123:10 124:6 124:16,18 131:22 132:5,8,11 133:7,17 134:1,6 135:12,17,18 135:25 139:19 140:20 141:25 143:19 181:13 201:24 202:4 207:17 211:3,4,7,9 213:12 218:20 219:14 220:11,18,23 221:3 221:14 222:23 223:6 223:9,24 224:8,9,25 225:13,22 226:25 227:2 228:11 229:1 229:16 231:18 232:12 236:20 237:4 237:7,22,24 238:1,1 238:3,3,22,23,24 239:9,19,20,21 240:4 240:17,22,23 241:11 241:18,25 242:5,6,8 242:20,24 243:2,10 committee's 222:19 common 37:20,23 38:20 51:13 91:15 138:18 167:7 commonly 87:13 communicate 200:4 237:14 communicated 197:19 communication 62:20 211:19</p>	<p>community 57:7,15 97:10 175:24 176:9 212:16,18 company 151:1 244:23 comparable 103:2 comparative 33:2 71:1 82:9 182:10 compare 15:12 48:6 82:21 108:11 179:16 250:24 compared 47:5 99:4,6 181:1 243:12 comparing 19:2 83:19 154:18 155:3 197:11 comparison 18:9 33:2 37:13 45:3 46:2,8,10 47:22 48:4,24 51:13 52:17 59:5 73:2 90:20 139:5 154:2 174:21 185:22 192:25 193:1 199:9 202:22 206:14 207:4 208:4 218:4 248:6 252:8 253:15 255:8 comparisons 46:17 61:24 73:7 105:15 109:20,25 112:25 154:4,22 194:21,25 209:8 238:14,19 244:15 compelled 181:18 competing 69:4 compiled 4:21 compiling 115:2 155:13 complete 27:14 completed 13:11 26:9 66:3 102:18 186:16 completely 138:14 151:23 completing 13:7 complies 214:9 comply 257:6 component 202:18 components 55:7 compressed 91:14 compression 210:17 compressive 34:24 compute 184:20 computerization 68:11 computer-aided 1:19 concern 202:16 203:1,4 203:11 204:5,7 249:21 250:16 concerned 34:10 concerns 161:24 170:13 conclude 38:13 255:4 concluded 134:8</p>	<p>conclusion 84:3 109:12 109:14 110:20 111:22 127:14 198:8 221:5 conclusions 32:24 46:16,23 47:2 51:10 52:5,25 83:6 84:19 84:20 85:11,14,18 109:15 110:10 119:24 121:20,23 126:17,25 127:24 138:17 170:19 176:11 177:15 180:4 233:14 conclusive 46:24 84:12 condition 100:16 conduct 21:13 conducted 98:7 142:6 142:17 195:5 197:25 199:19 214:18 conducting 63:15 137:3 conference 17:23 257:7 conferences 14:24 confidence 183:16 confident 78:13 144:23 confine 156:1 confirmation 173:6 205:10 conflicting 179:17 Conflicts 229:25 233:25 confocal 105:12 conform 137:1 confused 249:23 250:4 250:5,18 confusing 189:23 229:7 confusion 251:10 Congress 124:12 connection 97:2 114:7 conscious 193:21 consciously 193:14 consciousness 137:1 consecutive 54:11 55:6 55:8,11,12 96:19,20 99:8,10 192:23 193:19 198:16 199:8 consecutively 53:24 95:11,14,18 96:2,9 97:16 98:10 197:11 209:4 consecutiveness 96:19 consecutive-manufac... 96:6 conservative 186:25 consider 17:2 114:10 114:11 139:1 165:18 212:4 237:25 considerable 49:23</p>	<p>consideration 81:2 119:10 considered 134:6,10 135:9 163:3 173:2 194:22 211:15 212:8 223:18 225:3 242:15 Considering 118:15 consistent 39:25 47:23 51:20 Constantine 221:17 constitute 173:5 constitutes 180:23 constructive 71:5 constructively 156:20 consult 21:7 177:11 consulted 20:3 consulting 150:15 cont 150:5 contact 6:4 33:8 34:16 88:16 161:7 247:2,17 contacts 34:23 contained 95:25 116:9 116:24 content 111:7 context 27:13 29:5 82:7 114:13 187:22,24,25 contextual 81:23,25 continually 111:8 continue 11:19,21,24 39:2 122:25 157:1 168:6 198:6 200:24 207:19 continued 11:18 58:24 93:23 111:2,19 129:16 249:2 continues 97:13 111:25 continuing 112:19 continuous 40:14 249:14,14,16 254:16 255:1 continuum 85:7 111:3 contours 51:14 Contra 10:6,9 11:2,10 11:12,12 12:13 13:14 17:16 21:24 22:3 147:9,12,16 148:6,14 148:15 151:2 169:17 contract 10:4 147:14 147:17 148:6,13,18 148:18,25 149:1,19 149:22 contracting 150:12 contracts 148:15 contrary 179:4 contrast 179:17 contributed 215:1 control 53:16 130:13 131:2 controls 63:13,16</p>
---	---	--	--	---

<p>64:10 controversy 179:6 convening 241:13 conventional 185:15 conversant 17:13 converse 210:11 convert 18:23 converted 141:25 239:19 convey 202:24 convince 171:5 175:3 convinced 166:24 171:7,16 175:11 180:3 copies 101:12 159:21 copper-jacketed 99:1 copy 9:2,19 20:19 21:2 30:6 159:18,22 160:7 160:8 174:3 196:11 196:11 214:3,4 217:3 220:6,8 227:14,19 230:11 corner 35:8 136:21 coroners 223:14 Coroner's 147:10,13 147:16 corporation 148:16 correct 17:25 60:4,17 75:18 94:6 98:2,8 111:24 113:16 125:16 131:7 132:9 132:22 143:11 149:2 151:7 154:4,16,19 155:1,4 156:10 157:10 158:1,2,17 160:15 161:10 162:6 162:14 163:1 164:12 164:16 165:11 168:16 169:11 170:15 171:20 178:7 179:22 180:21 181:15 184:16 185:1 185:5 190:8,22,23 193:4,5,11,24 194:7 196:1 197:4 200:6 201:7,16 202:13 203:4 204:10 208:17 209:22,23 210:13 211:4,10 213:8 215:16 219:1 221:12 222:7 226:11 228:15 230:14 238:7,20 239:2,23 240:11 244:6,7 245:6 249:22 251:7,18 252:16 257:3 correctly 73:21 148:21 corresponding 45:4 206:23</p>	<p>correspondingly 71:21 corroded 100:22 corrosion 39:2 43:18 Costa 10:6,10 11:2,10 11:12,13 12:13 13:14 17:16 21:24 22:3 147:9,12,16 148:6,14 148:15 151:2 169:17 couching 63:2 Council 57:18 Counsel 8:24 19:14 114:6 160:10 count 20:5 193:21 206:3 counted 243:8 counter-affidavits 115:5 counter-declarations 114:16 118:3 counting 208:12 237:8 countless 222:21 223:24 countries 96:13 97:12 190:2,3 209:2 country 166:18 county 10:7 17:16 21:24 22:3 147:9,12 147:16,19 148:6,14 148:15,25 149:7,20 150:25 169:18 couple 21:9 69:17 139:11 166:7 245:21 coupled 172:14 177:20 course 12:3 14:14 27:22 49:4 58:22 59:2 97:25 161:21 253:17 courses 57:11 59:12 court 1:1 3:1,4,15,21 3:24 4:1,24 5:14,19 5:21 6:11,18 7:6,10 7:18 8:12,22 9:6,10 9:13,21 19:19,24 20:10,17,21 21:2,6 24:13 28:4,12,22 29:2,16,20,22,25 57:16 64:14,20 65:5 65:8,10 69:13 76:21 109:24 112:24 113:4 114:10,11,18 115:4,9 115:17 116:6,17,19 117:17,19 118:4,16 119:7,8,11 120:19 121:9,21 122:10,19 122:22 130:20 136:6 136:6 137:12,18 140:22 142:24 143:22 145:6,9,11,15 145:23 146:9 152:4,8</p>	<p>152:13,23 153:13 158:25 159:7,12,15 159:19,24 160:3 163:6 164:4,19 165:4 166:2,8 173:21,25 174:3,4 175:19,21 178:21 179:2,5,14,16 200:17,22 216:13,24 217:1 218:3 222:6,7 224:5 228:1 230:12 230:17,23 231:8,17 231:24 233:5,22 234:4,10,22 235:16 236:14,16 253:1 256:3,6,9,12 257:6 257:12 258:6 courtesy 167:7 courtroom 19:22 224:17 courts 75:21 76:11 107:4 111:14 202:24 203:22 204:4 Court's 155:19 158:25 159:3 cover 16:16 29:3 31:2 covered 126:13 covering 188:3 co-author 70:15 156:9 co-authors 74:11 162:17 168:18 co-authorship 72:2 co-chair 24:20 221:16 221:18 co-chaired 26:25 co-editor 167:3 CR 1:6 crafted 141:20 180:6 241:23 create 41:20 created 107:20 124:4 249:7 253:17 creation 77:8 credibility 224:14 225:8 228:19 231:16 231:21,23 232:8 233:1 236:4,6 credible 224:19 226:8 229:4 credit 57:19 Creek 11:4 crime 10:7,17,24 11:4 12:7,17 14:1 15:2 21:12,19 57:20 62:10 62:10 100:20 108:12 131:3 147:18,21,24 148:13,20 149:4,23 174:19 180:12 186:11,15 187:8,17 223:1 235:10 251:13</p>	<p>criminal 133:22 220:16 231:5 233:11 criminalist 10:5,16 15:18 147:8 criminalistics 10:22 15:7 59:9 174:18 criminalists 22:10 26:23,25 76:16 131:23 criteria 15:8,24 16:10 18:6 31:6 69:9 80:25 81:1 170:14 197:18 198:24 199:11,16,23 200:2,12 201:23 202:3,22,23 203:2,15 204:12,22 critic 156:18 critical 48:5 53:18 69:5 69:7 71:3 164:10,21 165:6 166:4 241:2 critically 227:15 criticism 200:9 criticisms 76:9,14,20 103:10 107:13 116:1 203:10 240:15 critique 156:20 176:7 209:13 221:7 229:22 critiqued 212:21 critiques 156:3 203:7 critiquing 176:5 198:15,18 cross-examination 8:2 8:8,17 146:5,11 153:18 258:5 cross-section 38:1 crunched 99:19 CR-10-2734 3:5 CTS 61:2,11 64:8 99:23 100:2,13,24 101:5,17,21 102:7 106:12,19 184:13,19 culture 134:12 138:21 138:23 cure 68:24 current 25:15 137:2 157:15,18 158:10 159:9 160:8,12 165:22 167:2 currently 10:4 130:8 148:12 149:15 161:10 curriculum 12:21 57:21 59:7 cut 44:7,8 54:13,15,22 96:22,23 cutaway 33:24 37:18 cutoff 191:5 cuts 41:24 cutter 44:9</p>	<p>cutters 40:12 44:5,6 55:22 151:19 152:16 249:11 cutthroat 68:22 CV 4:8,9 5:5,12 14:12 20:3,15 147:6 196:9 CVs 5:24</p> <hr/> <p style="text-align: center;">D</p> <hr/> <p>D 258:1 damage 42:21,21 43:1 43:18 44:9 damaged 51:3 100:18 100:20 danger 42:10 data 18:23 19:1,3 106:20 185:8 186:17 219:12 database 108:2,8,11 122:4 date 98:21 186:1,16 257:9 dated 120:10 Daubert 1:11 3:17 5:7 7:4 23:10,13 31:6 53:9 59:15 63:14 64:4 69:8,9,20 70:1,3 70:21 71:11 153:2,3 156:10 157:6 164:11 169:14 170:15 171:8 175:25 188:13 David 97:1 168:1,1,9 169:4,5,10 Davis 135:16 228:24 232:10 237:20 242:20 day 122:14 171:22 187:10 206:11 217:22 256:7 days 47:4 144:14 190:24 205:1 237:4 day-to-day 105:21 DC 222:6 deal 60:3 67:4 103:12 127:1 168:19 184:4 241:17 dealing 94:3 251:1 255:6 deals 118:19 127:3 155:7 dealt 28:7 debates 31:2 decades 214:23 December 187:19 decide 62:12 88:1 175:22 decided 133:17 164:11 220:11 decides 241:21</p>
--	---	---	---	--

<p>decision 64:4 112:7 decision-making 185:25 declaration 114:15,17 118:2 declared 61:25 62:14 63:7 97:24 100:5 186:10,25 deemed 173:12 defects 45:4,5 90:11 Defendant 1:8 2:6 defendants 9:20 Defendant's 158:23 216:23 defense 4:2 6:13 117:3 138:13 223:1 defensible 184:20 definable 220:20 define 32:20 103:16 defined 39:7 207:10 defining 81:10 definitely 7:19 134:21 definition 116:3 203:2 240:3 definitions 126:13 definitively 110:18 DeFrance 55:3 degree 13:10,11,16 22:22 155:24 183:2 233:16 degrees 62:3 63:7 206:16 DeKinder 215:3 deliberate 193:21 deliberately 112:5 deliberations 211:12 222:23 demands 47:10 demeaning 108:22 212:22 demonstrate 252:25 demonstrated 47:23 51:18 109:17 252:18 demonstrating 19:10 127:9 Denio 67:17 Department 21:12 59:10 191:22 192:2 departments 182:17 depend 62:11 71:12 depending 23:14 67:13 depends 92:18 111:3 185:22 depth 7:17 18:13 derogatory 226:17 describe 31:11 77:16 80:18 91:24 94:11 143:25 170:25 described 43:16 56:8</p>	<p>63:20 71:10 77:17 80:6 84:21,25 85:9 90:24 91:24 94:15 95:3 130:23 175:4 198:20 212:11 253:18 describes 79:14 80:13 describing 30:19 102:24 143:23 description 78:11 79:17 80:3,20 84:10 87:10 259:2 deserve 74:11 design 39:13 131:24 designated 191:22 designed 7:2 27:5 39:9 69:19 85:16 97:18 160:24 173:3 186:11 desk 102:12,14 despite 223:5 224:7 227:17 detail 44:17 45:13 49:18 51:23 70:12 71:10 93:14 215:7 217:13 219:5,9 detailed 63:21 133:8,18 220:12 detectives 82:5 determination 52:1 103:25 113:3 192:19 determinations 210:15 determine 33:3 54:8 72:17 80:18 103:15 105:20 108:3 138:16 202:17 204:14 205:21 determined 39:10 40:1 72:23 101:14 108:14 108:16 121:25 192:7 205:25 determining 72:21 80:14 162:25 204:15 Detroit 191:22 192:2 develop 25:9 31:12,24 52:19 59:7 104:4,9 105:7 111:5 128:8 133:18 218:7 220:12 developed 25:1,5 27:20 57:25 105:17 115:6 183:17 developing 105:14 182:24 development 14:8 16:10 18:5,10 58:9 127:10,13 129:8 133:20 140:9 178:19 220:14,25 develops 52:21 deviates 60:17</p>	<p>devoted 182:17,22 diagnoses 52:12 diagram 36:24 38:5 diameters 45:23 Diaz 164:15,19 165:4 166:2,8 difference 49:20 differences 160:17,19 201:25 202:1 different 6:23 16:3 17:21 38:10 39:14,14 39:15 45:23 46:1 47:22 48:4,7,10,20 48:21 49:14,14 50:9 50:16,21 51:19 54:25 62:20 83:1,15,23 84:11 88:6,6 95:23 96:10,13 97:12 99:18 104:12 126:5 154:15 209:4 227:19 232:24 248:24 249:9 250:7 252:19,19 253:14 254:5,6 255:18 differentiate 52:22 91:1 95:14 differently 254:2 differing 223:5 difficult 62:9 166:14 202:23 203:22 difficulty 160:9 digital 255:23 direct 5:1 7:11 8:15 9:23 42:3 139:23 148:11 150:2 151:24 152:5,11 165:10 175:23 178:25 198:20 211:1 212:22 219:20 258:5 directed 217:23 direction 41:17 234:23 directly 16:22 20:6 131:20 136:9 139:20 147:1 216:1 252:8 director 10:24 14:18 135:13 147:21,24 223:1 directors 101:4,20 109:1 123:13 131:4 136:22 187:18 223:13 241:19,20 242:1 disagree 175:13 203:14 disagreement 49:9,12 50:5 139:4 178:7 disbelieve 224:24 discernible 47:20 95:13 disciplinary 129:18 discipline 112:18 126:6 127:6 131:14 133:19</p>	<p>152:6 155:10 220:13 223:23 240:6 disciplines 220:19,21 221:2 223:18,21 225:2,6 discovered 94:17 201:15 206:7 discuss 14:12 16:17 29:6 31:5 86:21 102:17 153:6 154:14 discussed 29:4 51:23 66:21,22,22 67:1 75:15 77:1,2 84:9 87:4 96:8 99:23 100:8 107:10 128:18 129:20,22 132:6 143:11 151:24 153:5 234:6 245:20 discusses 70:8 discussing 24:11 75:2 119:20 136:15,19 153:1 164:15 185:4 discussion 7:16 16:9 80:24 240:21 241:5 dispensed 235:13 display 38:12 disregarding 235:14 distance 58:19 89:14 distill 235:11 distinct 19:3 distinguished 124:20 District 1:1,2,13 21:23 114:8 116:22 164:15 222:6 division 147:9 DNA 104:1 183:13,24 doctor 52:12 doctorate 13:11 document 3:19 79:20 115:3 126:14 143:24 242:5 documentation 27:16 28:1 80:1 121:15 129:3 137:5 138:9 139:2 211:14 documents 63:17 68:10 232:18 doing 19:6 25:25 68:23 69:4 78:9 87:14 96:15 121:3 144:10 191:9 202:5 209:15 230:22 238:13,19 Dominic 67:17 done 12:3,11,19 14:25 32:10 33:2 56:24 68:20,25 73:2 74:20 74:20 75:7 77:9,21 84:16 85:23 94:25 99:9,12 101:12</p>	<p>102:20 110:23 111:13,19 120:12 121:14,25 130:25 138:14,16 144:1 146:18 152:2 153:19 162:19 177:12,15 180:4,25 206:20 207:2 209:25 215:19 216:4 217:17 218:23 242:22 door 205:6 dots 206:23 208:1 double 62:4 double-sided 16:13,15 doubt 82:6,11 181:12 181:14 185:16 225:7 228:18,21 Doug 61:6 Dowling 199:5 down 9:8 68:16 85:8 89:16 127:6 206:10 Dr 13:23 14:5 19:15 98:23 114:19,22 115:11,16,18 118:9 119:18 121:3 191:12 221:20 drafted 118:2 242:2 drafting 115:1 drag 37:8 dragging 168:23 dramatically 106:22 draw 70:7 134:19 215:6 drawing 83:11 drawn 54:14,24 109:15 drew 33:22 drill 55:22 drops 89:16,23 90:16 drug 62:5 due 27:6 41:6 42:21,22 49:20 129:23 137:13 186:16 Duncan 2:9,11 3:13 duplicate 243:9 duplicated 143:6 duplicating 32:9 duplicative 242:25 during 12:3 13:18 14:6 14:7,14 39:24 41:16 42:21 71:2 88:17,25 89:1 90:17 97:5 108:20 132:23 143:11 198:20 207:7 226:9 duties 10:12 D-E-N-I-O 67:17</p> <hr/> <p style="text-align: center;">E</p> <hr/> <p>E 2:1,1 258:1</p>
--	---	---	--	--

<p>each 7:17 34:10 71:17 133:19 141:13 142:1 143:4 186:18 206:19 220:13 223:22 225:23 226:2 254:6</p> <p>earlier 42:23 50:11 51:23 58:8 91:24 106:1 131:6 189:12 190:19 213:2 216:20 245:21</p> <p>earliest 99:9</p> <p>early 14:2 16:1 60:6 85:15 104:10 110:17 133:17 135:10 220:11 227:9</p> <p>earn 238:6</p> <p>earns 238:12,13</p> <p>easier 100:10 188:12</p> <p>east 22:2</p> <p>easy 188:9,15,17,18,19 188:23 210:8</p> <p>edge 35:4 41:3,6 43:21 43:22 44:21 49:25 89:10,11 90:2 92:1,2 92:4 247:11</p> <p>edit 68:18 168:25 171:21</p> <p>edited 69:4 123:12 162:20 242:3</p> <p>editing 68:20,22 71:5</p> <p>edition 23:23 158:20</p> <p>editions 157:1 158:1</p> <p>editor 68:15 69:6 70:24 160:22 161:5 167:2,5 167:16 168:8,10</p> <p>editorial 241:23</p> <p>editors 68:14,15</p> <p>educate 152:13</p> <p>education 7:4 12:23,25 57:18 128:16 129:17</p> <p>educators 223:3,14</p> <p>Edwards 115:22 118:18 221:16 222:5 224:14,19,25 226:8 228:19 229:3,20 231:23 232:2,21 236:5</p> <p>effect 55:12 72:15</p> <p>effective 129:18</p> <p>effects 96:19</p> <p>effort 22:11 27:1 134:17 174:19 237:13</p> <p>efforts 218:9</p> <p>eight 16:2 99:3</p> <p>eight-page 94:13 95:2</p> <p>either 37:25 51:3 54:22 56:24 62:18 77:9 99:6 100:21 112:24</p>	<p>136:10 168:1,19 178:17 246:22</p> <p>eject 88:3</p> <p>ejected 89:4</p> <p>ejection 37:4</p> <p>ejector 33:20,20 34:5 36:11 37:5,5 89:2,2,6 91:10,12,13</p> <p>ejectors 96:5</p> <p>elaborate 68:13</p> <p>elected 77:15</p> <p>electrical 79:18</p> <p>electrochemical 55:3</p> <p>element 59:15</p> <p>elements 62:15 183:18 197:1</p> <p>eliminate 47:11 50:13 104:7</p> <p>eliminated 46:6 94:5</p> <p>elimination 45:17 46:21 50:8,15,23 51:1 60:23 84:12</p> <p>Elmo 163:16 164:8</p> <p>emerged 183:4,12</p> <p>Emeritus 222:6</p> <p>empirical 33:1 52:17 53:23 56:6 75:5 96:18 97:15 98:11,14 98:16 99:11,12 137:7 198:21 200:15</p> <p>empirically 55:1 215:25 217:15</p> <p>employed 10:3,4,9,25 71:23 147:12 148:12 149:24</p> <p>employee 147:15,17</p> <p>employees 149:19</p> <p>employing 52:16</p> <p>employment 11:14</p> <p>enables 51:13</p> <p>encompass 75:3</p> <p>encounter 17:14</p> <p>encountered 13:4</p> <p>encourage 78:3 131:14</p> <p>encourages 77:18</p> <p>end 5:25 24:23 31:17 31:18 32:7,10 37:25 42:3,3 50:24 59:4 78:9 84:7 111:5 113:1 124:5 180:5 203:18 217:13 223:5 246:14</p> <p>endorse 131:5 134:25 218:9</p> <p>endorses 131:1</p> <p>endure 173:11</p> <p>enforce 238:5</p> <p>enforcement 27:1,4,8 129:21 131:21</p>	<p>214:20 223:16 233:13 238:6,9,11</p> <p>enforcing 27:9</p> <p>engage 26:14</p> <p>engaging 164:20 165:6 166:3</p> <p>engineer 223:2</p> <p>enhanced 244:24 245:2</p> <p>enhancing 127:17</p> <p>enjoys 169:5</p> <p>enlarged 43:22</p> <p>enormous 223:9</p> <p>enough 36:19 44:10 49:5,13,17 172:1 176:24,25 187:22 208:20,21 215:24 217:14 218:2 253:21</p> <p>ensure 59:19 71:8 96:1 130:13</p> <p>enter 108:7</p> <p>entered 148:5</p> <p>enterprise 81:5 111:3 124:9 191:9</p> <p>entire 117:19 118:5 155:9 161:16,21 170:1 237:9 249:15</p> <p>entirely 166:11</p> <p>entities 71:19 166:17 240:8</p> <p>entitled 70:2 79:10 112:11,11 123:20 196:23 197:1 231:24 233:25</p> <p>environmental 100:22</p> <p>equal 205:6</p> <p>equally 208:10,20</p> <p>Eric 60:1,2</p> <p>Erin 167:17</p> <p>erroneous 250:18</p> <p>error 53:15 60:16,19 60:20 61:13,17,20 63:5,9 64:6,7 99:16 99:20 101:14,17 103:9,11 105:16,20 105:25 106:15,21 107:1,3,4,9 121:17 183:15 184:7,8,12,14 184:15,19,21,25 185:4,9,13,13 186:6 186:14 187:2,14,16 188:2,8,15,24,25 189:3,18 190:22 191:3,6,7 192:7,10 192:17 201:3,4 204:15 206:7,9 207:15 208:8 210:9 210:21</p> <p>errors 60:18 187:9 191:10,13,17,19</p>	<p>201:6 205:5</p> <p>especially 12:6 69:8 82:3 173:14 174:9 198:3 252:12</p> <p>essential 104:2 138:5 139:1 184:1</p> <p>essentially 24:8 187:13 235:13</p> <p>establish 126:5 128:11 130:12 131:12</p> <p>established 121:17 126:2,12,24 129:9 172:22</p> <p>establishes 127:23</p> <p>establishing 127:8</p> <p>establishment 127:10</p> <p>estimate 12:2 18:25 104:24 105:23 184:14,15 188:1,8 198:13 200:3</p> <p>estimated 105:10 189:3 189:5</p> <p>estimates 18:20 104:4 195:1</p> <p>et 56:12</p> <p>ethics 25:11 26:16,18 26:20 27:2,8 129:18 129:20,25 130:1 131:12,13,17,24 132:2 137:22 140:5 230:1 234:1</p> <p>Europe 101:8 189:15 189:19,23</p> <p>European 190:1 210:4</p> <p>evaluate 37:11 46:2 58:23 61:24 176:7 181:21 205:12 207:6 247:6,14,20 248:9</p> <p>evaluated 26:8 180:9 192:16,22 198:10 229:19</p> <p>evaluates 92:24 182:8</p> <p>evaluating 79:15 157:5 176:4</p> <p>evaluation 40:7 52:6,8 53:19 59:16 133:9,19 140:9 144:19 154:6 156:11 165:6 166:4 176:10,11 190:4 203:19 205:8,11,14 220:13,25</p> <p>evaluations 51:9 197:17 198:2</p> <p>even 11:21,23,24 18:17 50:2 62:8 63:6 92:15 104:20,20 109:19 111:3 112:7 135:9 173:19 176:18,23 177:7 180:17 181:20</p>	<p>195:3 205:7 210:13 217:12 225:15 243:17 254:7 255:2</p> <p>evenly 40:14</p> <p>events 32:11</p> <p>eventually 164:20 165:5 166:3 195:17</p> <p>ever 28:14 38:12 58:24 61:11 70:25 102:18 105:3 166:21 181:10 236:22</p> <p>every 16:16 40:18 52:9 52:10 63:18 92:24 102:8 103:3 111:20 193:1 217:22 225:23 226:2</p> <p>everybody 77:18 80:15 122:16 193:13</p> <p>everyone 80:15</p> <p>everything 137:22 232:9 241:21</p> <p>evidence 15:2,3 22:1,6 23:7,19 53:22 70:24 71:1 87:16 101:25 103:22 112:23 113:3 121:4,9 133:21 156:12,24 215:23 218:5 220:15,24 235:12 246:9,10 258:2</p> <p>evidenced 181:17</p> <p>Evidentiary 73:24 80:8</p> <p>evolution 67:15</p> <p>evolved 68:9 195:17</p> <p>evolves 29:21</p> <p>exact 252:4</p> <p>exactly 65:17 73:21 87:10 138:16,16 139:20 189:21 212:2 227:6 239:14 243:21 245:23 250:9</p> <p>examination 9:23 10:19 25:2,3 46:18 52:16 59:13 63:3 64:11 87:14 109:20 138:1 148:11 150:2 165:11 179:24 183:19 195:4 208:25 211:2 212:22 226:13 235:11 238:14 258:5</p> <p>examinations 10:17 12:1,2,6 26:5,5 65:15 84:20,25 85:1,3,21 128:11 129:15 137:3 153:25 155:8 238:7</p> <p>examine 223:17 225:2</p> <p>examined 54:6 245:2</p> <p>examiner 11:7,13,17 38:13 42:8 47:5 48:8</p>
---	--	---	---	--

52:3 59:3 65:16,18 65:22 66:8 80:2 81:8 81:22 87:13 92:23 93:9 94:1 132:14 197:24 198:1,23 199:19,20 201:14,14 204:16 205:19 208:2 208:16 223:2,13 225:12 229:9 249:24 250:23 251:13 253:3 254:10 255:3 examiners 16:2 17:5 18:8 19:8 24:17 25:1 30:19 34:9 52:20 61:23 65:23 72:17 73:8 74:14 77:20 78:9 84:16 97:4 110:1 112:4,6 121:16 121:17 132:7 136:24 137:4,10 138:20 144:6 149:5,10,16 186:24 197:19,25 199:20 201:21 202:24 203:19 205:16 208:10,20 214:17,18,19 244:8 examiner's 73:3 138:12 187:16 206:21 examines 52:12 examining 79:17 251:25 254:15 example 10:18 31:21 34:22 35:13 39:11,11 40:10 42:25 43:11,20 45:7,12,16 49:22 50:11 52:11 54:1 68:22,24 79:18 81:13 87:11,24 89:4 93:8,9 94:16 95:11 96:17,17 97:24 101:11 102:11 103:3 124:11 138:25 142:4 143:10 192:25 205:19 247:7 examples 41:9 44:1 47:12 48:14 54:11 245:21 253:5 exams 184:14 exceed 48:11 exceeded 134:18,18 exceeds 47:21 48:3,15 51:17 excellent 14:2 18:14 86:24 except 192:21 197:22 221:6 exception 109:8 exceptions 109:11 excerpt 215:7 excess 94:13,14	exchange 128:24 exclude 4:24 19:16 excluded 28:14 83:8 112:5 169:18 exclusion 33:6 53:5 110:8,16 111:16 exclusive 172:15 177:21 exclusively 11:6 excuse 19:14 95:12 125:18 140:17 executive 140:16 141:9 143:20 exhausted 98:13 exhaustive 77:14 exhaustively 135:3 exhibit 7:14 9:1 12:22 20:14,17 30:7,13 40:5 70:5 74:5 75:11 76:3 79:6 84:1 86:16 95:5 98:4 109:4 113:6,22 115:18 119:16 120:4 123:16 123:23 126:22 136:15 142:5,24 145:11 146:1 147:7 155:14,22 157:9,23 158:19 159:2,8,14,15 160:18 163:9 164:8 164:14 165:3 173:19 173:21 174:3 185:2 191:21 213:24,25 214:7 216:14,16,16 216:18,21,23 221:25 224:4 227:11 228:1,2 230:9,14 233:5,23 237:2 251:21 256:10 exhibited 254:15,19 exhibits 5:18 7:23 8:4 9:2 21:2 23:19 56:23 113:25 145:3,23 158:24 159:1,6,21 196:4 199:4 222:11 255:21 259:1 exist 71:19 existed 108:7 existence 94:22 existing 223:20 225:5 exists 53:22 85:15 108:8 134:12 166:17 245:12 expand 65:2 expanded 115:25 expands 116:8 118:22 120:24 expect 117:15 168:23 226:1 249:16 expectations 134:19 expected 225:22	expedient 29:13 30:11 experience 20:7 25:25 59:24 69:6 72:3,9,11 73:6 103:6 124:24 172:14,25 173:3 177:20 178:2 188:14 188:20 201:6,25 203:20 205:7 235:12 experienced 61:23 149:14,15 250:22 experiences 201:18 experiment 83:3,7,18 84:5 199:6 experimental 31:12 33:2 215:4 experiments 82:19 expert 4:17 5:4 19:15 20:8 23:8,20 28:4,18 28:23 151:5 162:22 187:12 211:25 expertise 74:13 167:9 171:4,12 176:13 experts 144:4 146:20 222:24 223:11,22 224:2 Expires 257:12 explain 65:17 70:10 79:8 106:17 121:22 234:15 235:1,5 explained 198:8 explaining 78:24 explains 121:1 explanation 31:25 32:4 47:10,12 81:17 83:12 207:21 explicitly 112:22 exploded 40:15 exploited 178:20 explore 195:13 Explosives 11:4 express 111:14 121:20 expressed 81:20 82:25 105:3,4,6 106:1 138:17 169:22 204:5 204:7 224:15 expressing 78:14 expression 79:19 extensive 27:1 111:4 126:12 127:20 134:1 134:6 137:6 172:6 222:20 extensively 22:13 67:13 74:15 extent 47:20 48:2,15 103:25 116:23 149:21 153:13 161:9 162:17 extraction 89:1 extractor 34:6 35:16	36:14,14 88:20,21 89:8 207:10,11 247:8 247:12,15 extractors 55:16 95:23 96:5 247:7 extraordinarily 166:14 extraordinary 222:20 extremely 62:9 69:5 101:9 extrudes 89:20 ex-AFTE 135:16 228:24 ex-president 135:11 237:20 eye 162:25 e-mail 6:8 8:24 e-mailed 6:6 <hr/> F <hr/> F 216:23 fabricate 40:21 56:11 face 17:9 34:5 35:3,16 35:19,24,25 36:21 37:9 44:2,15,18,19 44:23 45:4 88:16,19 91:8 92:14,14 93:23 104:15,19,25 164:20 165:6 166:3 206:16 245:17 246:5,14 247:3,4,19 249:15,17 252:13,23 253:8,16 254:13 255:2,4,8,24 faces 38:18 55:16,16 56:11 92:11 93:15,21 96:6 104:12 - facilitates 128:24 facility 21:16 fact 12:14 19:21 62:17 74:18 84:4 91:15 94:24 98:6 158:8 167:24 181:17 188:11 198:19 204:12 206:8 228:17 229:2 232:7 235:22 247:3 249:9 250:1 253:20 factor 63:14 factories 38:23 factors 69:19 100:23 153:2 factory 39:10 40:1,18 55:10 66:15 95:22 facts 116:21,23 121:24 153:11 factual 118:17 119:3 Faigman 168:1,9 169:5 Faigman's 168:1 failed 170:4,7,9 171:20 172:5 173:25 178:15	182:4 fails 166:8 failure 182:4 failures 178:16 fair 155:22 175:2 176:12,13 193:7 fairly 7:1 91:15 115:7 140:22 149:12 190:5 195:8 249:12 faith 252:3 faithful 247:18 fall 200:2 false 60:19,23 61:8,9 61:13,17 83:10 101:14 106:4 107:5 190:21 201:14,20 209:6 210:24 familiar 57:25 58:3 75:25 107:18 114:1 122:8 124:2 137:6 155:7,8,11 170:17 176:25 191:24 221:25 244:19 family 235:20 famous 13:25 far 69:10 114:17 152:12 200:1,11 fashion 68:6 93:24 fashioned 47:1 favorable 114:23 FBI 3:11 58:21 61:7 149:16 196:17 feasibility 107:25 133:8 feasible 108:16 133:18 220:12 feature 73:16,16 features 34:15 39:8,23 40:24 42:5 43:8 47:18 49:5,9,12 50:5 72:16,18 93:20 94:3 105:1 federal 28:12 73:24 80:8 222:25 231:21 feel 82:5 144:23 162:2 187:23 feeling 187:1 fees 257:5 feet 71:2 felt 71:14 72:16 78:13 117:10 125:13 141:10 172:1 181:17 few 64:25 67:24 128:3 128:4 137:4,18 166:17 245:3 field 28:20 40:4 57:9 58:20 67:4 69:23 71:1 74:16,19 75:8 76:9 81:3 84:13,22 86:10 101:6 103:12
---	--	---	---	--

<p>103:14 104:8 105:2 105:23 111:13 117:5 117:12 118:25 121:18 125:12,15 132:10 134:13 135:6 136:7 141:5,14 153:7 154:12 156:3,4,6,19 168:16 176:5,6,8 177:6,9 178:1,3,15 180:19,19 181:1,11 181:21,23 182:6 184:2,4,7 185:8 189:1 191:6 193:8,10 194:5,6 201:7 202:12 210:2 211:22 215:2 218:25 239:23 240:24 241:2 244:5 fields 74:13 168:21 172:20 173:3,5,16 174:12 178:19,21 211:15 212:17 224:2 Figman 169:10 figured 162:3 figures 106:8 file 102:13 filed 5:7,24 final 173:5 192:19 find 8:5 15:14 42:6 48:8,10 87:13 93:14 99:6 103:20 104:11 108:12 138:4 163:24 163:25 216:12 244:9 finding 19:1 104:14,18 104:24 233:16 findings 190:10 191:23 214:13 223:7 finds 118:17 fine 8:20,23 19:22 20:16 27:6 29:25 122:17,18 244:13 fingerprints 133:2 174:22 finish 66:17 fire 17:7 71:2 81:13 82:18 83:2,14,24 84:3 88:2 firearm 3:18 6:20 10:6 10:18 11:16 12:1 17:5 20:7 22:14 24:16,25 25:16 28:18 28:23 31:4,22 32:19 32:25 33:10 36:13 38:8 51:7 57:11,20 59:2,12 60:13 61:23 64:2 65:23 69:23 70:2 73:22 80:2,6 81:4 86:4 88:5 95:25 103:11,21 106:4 107:7 112:3,8 116:3</p>	<p>116:14 117:5 125:3 125:11 126:8,13 127:18,24 128:21 130:4,21 132:13 133:4,10 134:3,9,13 134:15 136:8,23 137:8,23 138:5 144:6 147:8 149:5 153:8,24 155:8 164:11 170:20 179:12,25 185:22 191:22 194:10 202:16,19 214:18 217:18 218:4 225:11 226:13 227:16 229:9 238:7,14 239:1 240:9 253:25 firearms 11:3,6,13 14:3 16:2,6 22:20 23:15 25:2 27:24 28:4,8,10 30:17 34:9 38:11 55:7 58:17,25 61:8 61:12,14 66:8 74:14 75:22 81:8 84:15 87:15 95:11,15,18,19 106:23 108:23 109:15 110:24 112:6 112:17,23 124:25 125:14 129:7 132:7 141:17 149:6,10,25 151:6 155:1 166:12 171:8,16 172:10,11 174:23 181:8 182:8 182:13 192:1 201:14 214:14 216:4 221:4 232:16 253:14 firearms-related 216:3 217:17 firearm-related 110:14 110:22 112:16 121:4 fired 31:22 34:12,13 35:1,8 36:2 37:1,20 39:16 42:8 45:19,24 49:13 50:16,18,21 54:5,8 81:14 83:9 87:20 88:10,22 92:24 96:9 100:17 154:2 194:20 199:6,14 202:18 209:4 247:17 252:10 253:14,22 firing 17:10 33:25 34:5 35:2 36:4,7,8,18,19 37:6,7,7 38:18 41:10 41:16,25 42:4,6,10 42:11 43:12 48:19 50:17 88:14,17 89:9 89:10,14,21,25 90:9 90:11,12,14,15 91:2 91:5,8,16,19 92:2,8 93:8,13 95:23 96:4</p>	<p>108:6 245:19 253:11 254:3,7 firings 108:11 248:23 255:5 firm 109:16 141:14,18 171:17 first 2:11 5:22 7:7,19 8:15 13:9 39:6 52:15 59:8 62:13 68:1 72:20 75:9 80:13 81:11 85:15 103:17 112:14,20 113:6 115:13 124:17 133:14,15 141:22 147:4 151:15 158:3 159:1 160:21,23 166:21 173:4 205:18 206:7,21 211:8 220:1 225:11 227:21 firsthand 190:11 201:6 fit 27:3 86:12 five 7:23 10:21 18:15 53:9 59:8 84:1 104:21 106:3 139:24 211:22 225:20 229:14 248:19 five-volume 23:23 flag 94:1 flailing 216:12 flat 44:21 88:17 246:20 flawed 186:9 187:25 189:2,10 flows 247:11 focus 35:18 55:7 56:1 69:13 95:24 152:1,18 focused 114:18 118:19 folder 102:13 folks 67:21 follow 29:15 69:17 77:7 85:5 following 10:23 54:17 85:8 139:17 186:18 229:4 240:5 follows 110:11 133:16 216:1 followup 64:25 111:18 115:23 123:4 follow-up 29:6 Fontoni 215:3 fooled 251:15 253:3 footing 110:25 216:6 217:19,21 218:6 219:1,3,7 footnote 24:1,3,4,5,6,6 106:8 157:12 force 13:3 22:2 34:17 34:24 36:3,19 forceful 33:8 88:16 foregoing 257:3</p>	<p>forensic 10:16 13:22 14:2,10,23 22:9 23:12 31:3,8 32:17 57:6 58:19 60:8,12 71:24 76:19 81:4 82:3,14 101:25 102:5 105:21 112:23 123:21 124:7,8,14,15 124:24 126:3,6 127:5 127:9,12,14,17 128:7 128:10,11 129:13 130:11,14,15 131:11 131:13,14,25 134:20 136:25 137:13,19 138:9 139:22 140:4,6 141:10 146:16 147:8 168:16 172:21 173:5 173:8 174:13,15 177:18 178:14 179:24,25 180:10,15 180:18 181:1 182:4,7 182:10,16 183:3,11 183:18 185:20,21,25 186:5,14 191:13 194:21 212:16,18 214:25 218:4 220:19 221:1 222:24 223:11 223:17,21 224:3 225:2,5 230:2,5 231:5,6 232:20,24 233:10 234:1 242:7 forensics 170:5,7,10 171:20 172:5,19 174:1 182:5 forged 54:21 forgot 221:6 244:2 form 30:7 56:17 71:19 82:25 83:12 85:14 198:22 199:25 200:1 204:23 formal 67:8,12 68:9,18 240:3 formalized 69:9 formally 186:15,20 format 257:6 formation 38:22,25 78:21 80:23 formed 54:15,22,24 57:1 124:16 137:17 139:19,20,20,23 140:1 202:3 239:9,10 239:11,13,15,16 former 222:25 formerly 10:9 forming 135:17 198:7 199:25 200:14 201:23 forms 135:5 137:5 230:6 233:11</p>	<p>formulate 32:10 81:16 formulated 75:10 78:7 85:13 forth 64:3 70:9 119:3 fortunately 201:15 forward 65:24 116:1 123:22 found 13:14 42:11 47:6 47:7,8,9 56:7 110:18 131:22 177:23 192:10 194:6 220:24 235:16,16 244:11 248:5,25 250:7,24 252:13,23 foundation 140:21 186:5 foundations 75:22 182:25 founded 148:16 four 4:12,14,15 5:2 6:12 7:23 16:3 25:18 74:3 102:9 124:23 127:7,7,16 143:16 211:22 225:19 229:14 239:10 fourth 28:7 45:12 80:24 four-inch 16:12,15 four-page 24:6 frame 29:12 132:24 133:3 framework 160:25 Francisco 2:8 168:2 frankly 7:25 177:2 Fraudulent 59:21 Freedman 2:10 frequency 60:16 Friday 6:4 from 5:11 7:14 11:2 12:9 13:17 15:21 16:9 17:5,6 19:2,3,4 22:3 28:14 29:7 34:17 35:3 36:18 37:5,21,24 39:2 43:17,17,17,18,21 44:2 46:17 54:1 55:21 58:2 60:17,18 61:7,13,18 63:10 64:18 65:1 67:20 76:21 81:3,23 82:15 83:6 86:25 88:15 90:2 91:23,23 95:20 95:23 96:12 97:8,9 97:12,21,21 98:17 100:20 101:17 105:17 106:4,11,12 110:16 112:22 116:11 121:7 122:20 124:21,21 126:20,21</p>
--	---	--	--	---

<p>132:10 143:10 144:6 144:16 148:5,17 154:7 163:12 166:12 172:22 173:25 174:4 176:17 177:23 183:4 183:12 187:9 189:19 192:14 196:21 197:6 197:23 199:14,18,22 200:20 201:22 205:5 209:2,4 214:23 215:6 215:7,15,17 216:13 218:21 223:10 227:2 228:14 230:13 232:11,11,19 240:7 241:18 242:2 248:23 252:10 253:8,11,14 254:11 257:4 front 20:19 62:22 118:5 163:9 213:21 213:24 225:13 fruitful 223:5 Frye 23:13 full 215:22 216:18,23 fully 117:15 215:25 217:14 full-time 150:6,10 241:16 fundamental 32:21 38:9 fundamentals 31:3 32:19 funded 58:8,19 funding 57:13 58:10 144:9,11 funds 58:13 further 18:17 62:17 98:15 117:17 120:25 257:5 furthermore 62:19 166:13 future 105:13 157:1</p>	<p>Gee 82:9 Gene 93:17 94:15 248:17 general 10:22 27:14 57:4 86:23 105:23 110:21 118:25 124:19 135:20 182:7 182:9,9 184:23,23 216:2 217:15 226:13 226:17 229:12 246:10 generalized 182:6 generally 53:12 64:5 73:5 111:1 112:16,24 168:19 169:3 187:3 244:20 generate 241:19 generated 210:8 George 190:25 gets 114:17 118:1,12 161:9 getting 118:8 131:7 205:5 210:22 226:11 give 12:24 14:16,17,23 14:23 15:19 18:20 20:10 21:6 24:13 62:7,24 85:25 87:9 106:18 109:24 116:18 119:7,11 135:17,21 153:16 168:2 196:11 226:14 245:8 given 5:8,12 14:14 100:1 109:24 169:3 177:6 189:15,19 194:24 198:13 227:9 228:17 235:23 236:21 237:7 246:10 giving 168:4 242:15 glad 69:9 70:13 160:10 224:23 Glock 50:19 Glock-type 90:5 glossary 27:17 63:25 126:12,24 202:16 go 7:1,5,11,16 11:19 16:1,5 27:19 29:1,7 32:3,20 46:25 55:10 56:4,14 65:24 66:8 66:14 71:16 77:18 80:15,17,19 81:6 83:11 87:3 95:25 97:13 98:15 102:13 102:21 108:12,15 118:9,22 143:22 150:24,25 171:22 180:2 190:9 191:17 202:1 207:24 215:11 226:2 234:10 235:5</p>	<p>goal 13:12 130:7 215:2 goals 240:5 goes 14:21 91:2 94:2 119:23 121:12 129:25 151:1,1 going 4:7 5:4 6:18 7:16 7:17,19,21,22 8:1 11:12 13:2 29:3,11 31:2,16 32:20,20,23 35:18,19 41:12 44:17 45:3 51:8 53:10 67:23,24,25 78:4 79:22 84:14,22 85:1 85:5 86:17 87:12 103:7 114:6 115:4 117:3 118:4 120:13 122:11,13 127:21 143:23 146:24 151:22 156:25 167:18 168:6,14 191:10,17 202:10 213:8 230:15,25 232:4 234:7,20 235:8 237:23 244:17 246:1 249:23 252:4 254:10 Goldberg 2:10 gone 66:1 67:14,20 97:12 157:25 225:23 232:14 235:24 236:13 242:18 good 3:1,3,7,12,15 11:25 15:4 19:12,13 25:5 26:11 30:12 54:1 59:6 62:21 66:12,12,20 73:18 77:23 84:8 87:2 91:23 93:17 100:16 122:12 131:21 132:5 138:24 143:9 146:13 146:14 169:5,10 172:1 177:12,14 180:8 188:3,24 190:5 196:14 205:10 214:7 218:9 247:3,18 252:3 255:22 gotten 158:16 212:7 235:24 government 4:7,16 5:5 5:8,17 8:3 9:16 12:22 14:6,20 19:20,23 29:7 58:16 114:24 117:2,15 155:13 159:11,16 160:7 165:3 222:11 223:15 236:10 government's 7:22 9:22 147:7 163:9 164:8 191:21 196:3 214:7 216:16,18</p>	<p>258:2 259:1 grabs 36:15,16 graduate 13:12 22:23 194:2,6 graduated 13:9 grant 25:7 58:7,16 granted 57:14 great 17:5 60:3 168:19 214:5 232:23 241:17 247:2 greater 47:21 greatest 36:17 greatly 48:15 Grinding 43:23 groove 35:9 39:17 84:1 grooves 37:16 41:25 ground 43:23 205:21 grounds 171:17 group 21:12 24:17,19 27:23,23 30:17 39:9 54:6 58:2,10 114:13 124:20 129:7 130:6 131:11 140:10,13,15 142:3 188:23 190:1,2 192:7 193:9 237:13 238:5,17,18 groups 14:18,19 17:21 139:25 grow 138:21 172:8 Grzybowski 70:15 72:6 73:20 156:16 171:1,7 guard 207:15 guess 5:22 6:20 7:10,11 87:10 103:10 139:16 197:6 204:24 guidance 40:7 guide 64:11 guidelines 63:15,22 guides 128:15 guilty 82:6,11 gun 17:9 34:4,4,13 35:16 36:2 37:17,24 45:20,24 54:12,14 81:13,14,15 82:12,18 82:20 83:1,2,4,7,8,13 83:14,24 84:3 89:7 89:18,23 96:21 97:22 108:10,13 197:14 199:14 209:5 244:16 244:17,19 247:9 248:8 249:16 253:22 254:15,20,20 guns 49:14 50:21 53:5 55:11 99:2 108:4,5 110:8 154:3 207:9 248:24 249:4 250:7 250:11,12 254:2,6 gunshot 25:3 guy 77:24 80:4 190:6</p>	<p>204:17 235:22 guys 56:1 67:23 guy's 205:24,24 G-A-T-S-O-N-I-S 221:17 G-R-Z-Y-B-O-W-S-... 70:16</p> <hr/> <p style="text-align: center;">H</p> <hr/> <p>H 158:23 159:2 Haag 36:25 Hague 190:9 half 7:13 109:11 122:15 half-hour 133:2 hallmark 17:3 hallmarks 40:13 80:1 93:21 180:8 Hamby 86:8,9,15 96:25 97:6 98:1 143:10 208:25 hammer 33:15 35:5 44:2,15,19,22 45:2,4 104:15,18,25 hammered 54:22 hammers 151:19 hand 62:25 63:1 169:8 206:1 handed 4:12 102:18 159:14 210:12 handful 182:16 handily 200:4 handle 177:12,14 handled 12:4 handwriting 174:22 happen 82:7 180:22 208:22 238:10 happened 135:13 144:24 202:9 228:25 237:19,20 248:23 happening 202:8 happens 144:19 happy 27:3 hard 54:23 137:16 159:18 191:18 214:3 247:9 hardbound 211:21 harder 33:7 101:22 186:22 189:15 190:13 hardly 92:12 166:9 Harry 221:16 222:4 harsher 235:25 having 12:15 54:23 60:24 83:8 135:2 152:21 175:4 227:17 head 59:6 173:9 224:7 heads 223:12 healthiest 82:14</p>
<p style="text-align: center;">G</p> <hr/> <p>G 158:24 159:6 gain 11:14 94:19 187:2 gained 63:2 197:23 199:18 game 176:12,13 187:20 gang-associated 12:7 gather 31:10 Gatsonis 221:17 gave 14:18 58:23 84:10 142:13 144:17 168:11 178:25 184:15 225:13 226:16 227:2 229:8 229:11 230:3 232:13 232:13 234:2 235:7 242:20,23</p>				

<p>healthy 12:13 82:13 hear 76:21 154:7 169:19 244:17 heard 143:13 144:16 144:21 hearing 1:11 3:18 8:9 87:17 hearings 8:7 23:13,13 23:14 27:10 169:14 188:13 190:25 heartily 131:5 heck 59:6 held 24:18 71:1 117:11 helical 37:16 help 23:12 60:2 116:25 144:5,6 233:14 helped 25:9 59:7 157:17 198:21 helpful 81:6 116:7,10 118:4 163:15 200:14 helping 199:25 200:1 helps 17:15 36:16 187:8 200:15 205:4 her 5:5,6,9 135:13 228:25 237:22 heralded 68:25 heroin 235:16,18 HERRERA 1:13 Hey 240:22 hierarchy 47:16 68:16 high 13:2 58:23 168:15 191:4,6 higher 106:14,22 highlighted 164:19 165:4 high-profile 21:9 high-quality 46:13 101:5,9 255:23 high-stakes 187:20 him 9:19 29:14 115:17 143:21 144:16 152:1 152:2,18,19 164:4 169:6 171:9,18,19,21 172:16,18 233:21 234:5,5,9 235:1,17 235:22 himself 20:22 hinder 29:12 hinging 185:14 hire 144:3 hired 17:17,17 138:13 histologist 52:12 historical 16:10 18:5 history 16:9 86:4,10,13 86:24 hoc 239:18 hold 24:15 37:3 117:3 136:10 178:11 230:12</p>	<p>holding 128:25 holdings 166:17 hole 36:8 89:9 Hollander 2:10 homicide 201:13 honing 198:23 200:12 Honor 3:7,12,23,25 5:16,17,23 6:10,15 7:3,9,15,25 8:20,23 9:12,15,17 19:15 20:23 28:17,25 29:9 29:18 30:5 64:23 76:2 113:21 115:13 115:20 116:10 117:1 118:21 119:14 120:13,22 122:17,18 123:1 142:25 145:2,3 145:18 146:2,4 151:22,25 152:25 159:3,9,13,17,20 160:5 163:4,14 164:2 173:17 182:19 200:25 216:15,17,25 230:8,10,19,24,25 231:16 232:4 233:7 234:18,21 236:12 Honorable 1:13 222:4 hook 36:16 88:21 hope 93:12 169:4 hoped 225:25 hopeful 101:22 105:11 105:13 132:1 hopefully 29:4 123:2 146:25 host 90:19 155:4 hour 7:13 122:15,15 132:15 150:23 151:2 hours 15:22 16:9 222:22 223:24 232:21 house 139:10,13,21 144:10 human 185:17 191:9,9 hundreds 12:5,6,8 135:23 221:8,11 224:10 227:3,7,18 228:11,17 236:19 237:15,16 242:10 husband 168:9 hypotheses 81:19 172:15,23 173:11 177:21 hypothesis 31:24,25 32:2,8,8 53:20 81:17 82:17,20 83:9,12,19 84:4 111:23 173:1,9 hypothetical 177:3</p> <hr/> <p style="text-align: center;">I</p> <hr/>	<p>IBIS 248:22 ID 31:4 37:13 38:8 49:23 51:7,8,9,12,25 52:5,15 53:3 57:12 58:17 60:21 63:25 75:10 77:9 78:6,22 80:23 85:12 109:19 198:22 200:1,16 202:3 209:6 idea 77:21 106:18 135:22 169:21,23 180:14 243:8,22 246:16 identical 161:6 identifiable 92:12,17 identification 6:21,23 15:9,25 16:7,11 18:6 20:7 22:14 23:16 25:4 28:5,19 33:1 40:23 42:14,17,24 43:2 45:15 46:4,6,17 46:24 47:15 48:12,14 49:6 52:2,25 53:4 56:18 57:2 58:25 60:11,12 64:2 65:19 70:2 71:24 73:23 75:23 77:4,6 80:7,25 81:1,4 84:11,17 86:5 90:21 92:6,10 94:5 95:8,12 96:8 109:15 110:24 112:9,17 116:4,15 117:6 125:3 125:11 126:9 127:19 128:21 130:5,21 133:1,5,10 134:3,9 134:13,16 136:8 137:9,21,23 138:6 141:18 153:8 170:20 174:14,16 177:18 179:13,25 196:24 197:2,18 198:24 199:11,23 200:2,13 201:24 202:16 203:8 203:16 204:13 205:20 216:5 217:18 227:17 232:16 237:5 239:2 240:10 246:4,5 250:3,18 251:16,17 253:4 254:11 identifications 110:6,7 127:25 197:10 205:7 205:17 243:18 identified 63:1 90:4,12 144:11 156:17 157:4 165:2,3 249:7 identify 31:11 47:18 82:20 93:13 94:6 107:7,7 184:5 199:7 199:13 220:20</p>	<p>253:21 254:2 identifying 108:22 identity 73:9 IDs 78:12 106:4 109:20 if/then 82:25 83:22 ignore 134:1 ignored 4:11 Illinois 13:3 illustrated 104:15,20 illustrating 80:10 illustration 253:7 illustrative 66:12 image 45:5 imaging 109:2 112:25 215:20 218:22 immediately 6:5 199:10 impact 232:1 imparted 34:16 38:10 impeach 114:4 imperfections 43:9 45:12 imply 109:16 231:2 importance 75:16 237:24 important 66:7,18 74:4 74:10 111:2 118:8 134:24 143:5 187:7 217:25 241:3 244:14 245:6,7 246:11 imported 108:5 170:22 impossibility 109:22 impossible 53:7 101:2 105:20 202:23 impress 91:20 impressed 33:4 34:18 34:22 44:25 73:13 91:21 impression 34:24 35:2 35:5,9 37:7 42:10 50:4 83:19 87:7,11 88:14 90:9,13,16,23 91:3,9,10,11 92:8 93:13 109:25 253:8 impressions 35:9 39:17 39:18 84:1 imprint 246:21 imprints 250:12 inadvertently 33:19 102:14 inappropriate 44:6 incident 54:2 incidentally 120:10 126:15 136:21 inclination 136:1 177:5 177:10 include 22:18 106:20 110:5 129:15 156:3 174:21 216:18</p>	<p>225:17 included 4:14 75:15 126:7 156:14 179:19 196:3 231:6 including 5:9 8:5 110:4 121:13 178:3,15 217:10 223:11 inconclusive 46:19,23 47:3,6,9,10,11 49:1 50:2 63:4 106:21 inconclusives 106:20 107:1 incontrovertible 206:9 incorporate 131:15 incorrect 209:5 251:16 increasingly 203:22 incredibly 92:11 indeed 14:11 76:1 166:20 173:7 191:2 204:6 indented 112:20 133:15 220:1 independent 144:4,4 150:12 205:8 indicate 39:8 119:24 179:5 indicates 124:13 indicating 34:1 220:24 indication 135:2,8,25 184:23,24 212:7 224:9 254:25 indifferent 104:8 indirect 225:16 indirectly 247:20 individual 34:9,11 38:10 41:4 43:7,20 43:24 44:1 45:13 47:17 49:3,5,9,12 50:5,10 52:25 55:2 72:18 93:4,5 94:20 95:13,24 104:6 121:17 122:6 129:13 131:14 184:5 186:11 187:15 189:24 198:23 199:15 214:13,16 215:8 237:19 249:24 250:19 251:10 253:25 individuality 44:13 individualization 45:17 84:12 183:4,11 194:10 198:5 individualizations 203:24 individually 43:13 industry 62:5 67:22 inference 71:23 inferior 44:10</p>
---	---	--	--	--

<p>influence 40:8 41:4 46:5,5 81:23 82:8,8 90:2 93:12,22 94:7 94:11,17 215:4 247:7</p> <p>influenced 41:8 116:24</p> <p>informal 67:20 228:24</p> <p>information 14:21 23:11 62:12 68:4 82:4 106:12 111:9 114:13 116:7,8,8,24 126:7 128:9,25 141:16 167:12 186:5 186:7 197:23 199:17 240:9 244:1</p> <p>informed 4:6 9:18 211:14</p> <p>initial 4:5 97:1 120:11 120:12</p> <p>initially 97:15</p> <p>initials 24:24</p> <p>ink 206:18,23 208:1</p> <p>input 161:25 162:2,9 162:10</p> <p>inquiries 240:1,16</p> <p>inquiry 22:8 84:7 213:1</p> <p>inserted 62:4</p> <p>inside 33:24 34:3 37:17 37:23 88:5 95:25</p> <p>insight 245:8</p> <p>inspected 21:19</p> <p>inspections 21:10,13 131:2</p> <p>instance 150:17 156:7</p> <p>instant 208:3</p> <p>instead 122:14</p> <p>Institute 15:7 17:22 59:9</p> <p>instituted 63:8</p> <p>institutions 14:22 58:1 58:5 217:24</p> <p>instructing 146:16</p> <p>instrument 46:13</p> <p>instruments 19:8,11</p> <p>integrity 59:19 96:2 168:15</p> <p>intellect 70:23 156:8 175:4</p> <p>intended 124:7 186:13 226:23</p> <p>intention 118:11</p> <p>intentionally 156:1</p> <p>interaction 92:19</p> <p>interactions 223:4</p> <p>interagency 140:10 142:2</p> <p>intercomparison 206:8 209:3 218:3</p> <p>interest 168:7 194:21</p>	<p>233:16</p> <p>interested 107:3,5 138:10,15 215:19 229:24</p> <p>interesting 253:23</p> <p>interface 230:6 233:11</p> <p>intern 10:13</p> <p>internal 140:15 211:11</p> <p>international 60:10,11 97:9 132:25</p> <p>interpret 144:7</p> <p>interpretations 84:11</p> <p>interrupt 29:11 187:21</p> <p>interruption 20:1</p> <p>intervals 183:16</p> <p>interviews 222:21</p> <p>introduced 56:23 75:1 120:5 128:3</p> <p>introductory 6:20</p> <p>invalid 176:21</p> <p>investigate 80:16</p> <p>investigating 31:15,20</p> <p>investigation 14:1 31:12 124:6 126:4</p> <p>invited 190:9 223:22</p> <p>involve 38:16 56:9 151:18,20</p> <p>involved 6:3 96:12 137:22 153:15 174:18 201:9,12 222:19,23 243:15,16 247:1</p> <p>involves 154:2</p> <p>in-depth 29:8 87:9 154:5</p> <p>irrational 172:13 177:19</p> <p>irregular 93:14 105:1</p> <p>irregularities 43:10 44:20,21 104:13</p> <p>irregularity 43:1</p> <p>Israel 17:6 91:23</p> <p>issue 3:19 17:3 117:23 118:14 152:19 153:11,23 154:1 175:19 185:4 189:23 201:2 203:15 231:16 234:24 235:4 236:4 243:24 244:18 247:24</p> <p>issued 47:4 118:20</p> <p>issues 29:20 118:1 127:4 136:5 153:15 164:11 181:3 220:20 248:2 251:6</p> <p>item 51:3</p> <p>items 39:25 55:12</p> <p>Ives 2:11</p> <p>IWG 140:11,15 143:20</p>	<p>144:1</p> <hr/> <p style="text-align: center;">J</p> <hr/> <p>J 2:2</p> <p>James 86:7,15</p> <p>jams 246:20</p> <p>jaw 40:11</p> <p>JCH 1:6</p> <p>Jennifer 167:16</p> <p>Jim 96:25 97:6</p> <p>job 15:4 44:11 71:5 101:24 188:3</p> <p>jobs 241:16</p> <p>John 1:7 9:16,22 10:2 113:11 143:17,19,21 144:15,18,22 180:25 181:4 258:4</p> <p>joined 11:17</p> <p>Jones 143:18</p> <p>journal 17:4 54:3 60:5 60:7,11 67:1,11,18 67:22 70:17 76:19 91:22 93:18 129:2,5 165:16,19 166:9,11 166:13 196:21 214:24 228:10 244:10</p> <p>journals 60:14 67:3 68:19</p> <p>judge 1:13,13 113:23 117:18 222:5,5 223:3 224:14,19,24 226:7 228:19 229:3,19 231:21,23 232:2,8,21 236:4</p> <p>judges 157:5 224:16,16 229:23 231:3,10,25 233:13 235:9</p> <p>judgment 185:14,17,23</p> <p>judgments 194:24</p> <p>judicial 137:11 235:21 257:7</p> <p>judiciary 23:11 176:7</p> <p>JUDITH 1:13</p> <p>July 4:6 6:1 17:25</p> <p>jumping 86:3</p> <p>June 135:10 144:13 227:10 243:2</p> <p>junior 13:5,7,13 15:1</p> <p>juries 233:13</p> <p>just 5:2 8:25 9:17,18 14:19 17:2,21 19:15 20:10 21:6,14 23:18 24:13 29:4,10,13 32:17 33:10,13,22 43:11 47:5,9,11 48:1 49:5,17 61:10 68:4 69:13 71:8 72:3 74:5 76:18 77:15,25 85:6</p>	<p>85:9 90:24 94:15,19 96:16 97:1 100:8 102:14 103:7 105:4 107:10 113:5 117:18 117:24 118:6,11 119:15,20 123:4 128:24 131:20 135:20 136:18 138:18 139:16 140:24 145:1,10 149:25 151:16 153:17,22 156:2 159:13,25 160:5 161:19 163:14 169:3 177:23 179:6 190:3 193:10 198:19 199:12 201:2 202:1 205:9,11,17 206:24 219:22 232:5,8 233:21,21 234:4,5,22 235:2 237:2,19 239:17 242:2,9 244:2 244:20 246:10 247:9 250:15 255:20</p> <p>justice 21:12 59:10 231:6 233:12 235:9 235:12</p> <p>justification 176:11</p> <p>justified 78:14</p> <hr/> <p style="text-align: center;">K</p> <hr/> <p>K 221:24,25</p> <p>Katharina 151:14</p> <p>keen 70:23 156:8 175:4</p> <p>keep 16:24 161:8 162:16</p> <p>keeping 16:20 17:12 156:19</p> <p>kept 190:4 238:2,22 239:5</p> <p>key 217:20</p> <p>keynote 18:2</p> <p>kind 12:19 15:4 16:19 29:10 33:21 43:7 44:12 46:9,10 52:8 68:2,11 72:19 76:24 86:3 93:10 105:14 107:3 119:20 140:4 201:5 225:16 226:14 226:16 234:18 240:25 241:5 242:19 246:12 247:21 248:6 248:10 252:12 253:1 254:16 255:9,18</p> <p>kinds 17:20 21:22 36:10 39:5 62:11 68:23 71:18 73:12 84:25 87:21 140:6 154:15 205:14</p>	<p>209:21 243:20</p> <p>Kirk 13:23 14:5 98:23 191:12</p> <p>kits 97:19,19</p> <p>knew 170:18 208:2 237:23,24 241:12</p> <p>knife 43:22</p> <p>knives 55:22,23 56:1,2 56:2 155:4</p> <p>know 9:3 17:20 31:15 31:17 48:9 62:1,8,16 62:16,18,22 67:14 68:3,19,21 70:11 73:20 75:3 76:5 79:21,23 84:9 94:22 95:7 96:10 97:25 98:2,19 100:4 107:12 107:20 112:3 113:9 116:11 118:16 123:8 124:4,10,18,20 128:18 130:16,19 131:17 132:6,17,23 135:20,23 136:4 139:19 144:20,21,25 146:20 147:2 148:7 156:25 158:12,14 161:4,4 165:13 167:13,13,15 168:5,8 168:12 169:1,5 171:6 171:12,14 173:20 175:21 188:25 189:20,21 190:15 191:1 192:14 199:10 199:15 204:20 206:6 207:18 208:23 209:24 211:8 212:10 224:15 225:11 227:6 228:12 231:8,24 232:11,18 234:18 239:4,7,14,16,16 240:22 242:22,24 243:5,21 244:21,25 245:3,14 246:11 248:1,5,17,18 250:23</p> <p>knowing 161:9</p> <p>knowledge 31:10 72:9 72:15 159:4 160:3 162:12 176:14 190:5 190:11 213:20</p> <p>known 15:13 19:2,4,8 47:24 48:16 51:18,21 53:15 73:7 99:7,7 185:12 250:8</p> <p>knows 62:6 100:5 167:10</p> <hr/> <p style="text-align: center;">L</p> <hr/> <p>L 230:24 233:5,23</p> <p>lab 14:18 21:12 131:3</p>
---	--	---	---	---

147:18,21,24 148:20 149:4,23 180:12 187:17,18 192:8 223:1 251:13 labeled 34:8 laboratories 12:12,17 21:13,20 62:10 101:25 110:4 128:15 139:6 180:4 186:19 187:8 189:25 214:20 223:13 235:10 laboratory 10:7,10,24 11:4 12:16,18 14:19 15:4 37:12 45:2 57:20 59:6 79:25 80:21 82:3,4 100:11 102:3,9,21 105:22 121:15,18 126:5 129:12 131:3,4 135:13 138:12,18 148:14 169:24 193:1 205:15 237:21 labs 62:11 102:3 130:11 186:12,15,21 192:16 lack 4:19 lacking 194:7 lamp 80:14,18 land 35:9 39:17 50:4 84:1 large 25:6 154:24 186:8 last 10:1 15:23 17:3 18:15 63:14 64:13 67:23 113:7 116:9 143:17 144:17 168:3 190:7 191:11 217:5 243:2 late 75:15 lately 163:23 later 61:15 114:19 195:20 202:9 latest 91:22 lathed 42:6 lathing 41:21 laudable 130:7 law 2:7 23:8,20 27:6 32:18 162:6 170:24 214:19 223:16 224:3 233:12 238:5,6,8,11 lawyers 224:17 229:23 231:6,10,25 235:9 lead 38:12 45:1,1 98:25 104:17 leads 232:20 learn 66:15 learned 12:25 13:19 learning 58:20 66:6 least 5:1 7:12 115:2	160:3 194:1 228:9 leave 71:20 72:22,23 90:8 92:12 102:12 103:21 234:19 leaves 8:16 34:24 254:6 lecture 168:4 234:2 lectures 14:17,18,23 168:2 led 77:8 78:21 80:22 leeway 153:16 left 35:4,11 36:15 37:5 37:20 38:4 44:3,25 45:5,19,21 46:11 48:22 49:18 50:12,20 54:16 55:20 108:11 206:13 253:7,25 left-hand 45:22 legal 24:4 121:13 160:24 161:17,24 163:1,20 223:15 legitimate 81:5 178:6 Leica 46:12 lend 185:14 lends 192:24 length 50:3 226:2 lengthy 4:15 5:6,10 8:2 less 46:24 186:19 let 6:11 7:6 62:22 69:17 76:6 82:7 87:3 94:21 107:12 125:9,18 139:9,17 144:20,25 147:2 177:23 212:14 212:14 218:10 234:13 245:25 251:20 lets 98:2 letting 173:15 174:10 let's 56:14 76:24,24,24 88:10 103:18 207:6 227:11 234:22 level 133:20 142:6 220:14,24 leveled 76:9 levels 178:16 183:16 liability 148:16 libraries 166:18 License 257:12 life 23:22 79:12 180:13 235:19 lifetime 176:25 lifted 207:3 light 23:10 65:7 111:9 171:22 187:9 231:22 235:23 236:9 lightbulb 94:2 like 18:8 21:8,10 23:13 29:1 38:2 40:7 41:24 48:24 56:1 63:19,19 63:24 70:7 79:12	80:17 85:9 94:21 104:8,15 106:23 111:14 116:17 119:7 122:10,13 137:5 141:10 146:4 153:14 154:10 158:16 179:4 185:21 193:12 201:18 204:17 206:15 207:7 209:21 212:19 218:2 220:2,3 220:6 226:16 229:19 230:20 237:8 238:24 238:25 241:14 243:12 247:3 255:13 liked 10:19 likelihood 104:5,11,24 likely 167:18 likewise 142:15 230:19 limit 87:15 88:10 120:20 limitation 120:24 135:7 200:5,11 226:5 limitations 64:7 100:7 100:9 112:11 113:19 115:23,25 117:11,22 118:7,22,23 119:21 120:4 limited 15:10 116:13 116:13 137:12 148:16,23 149:21 155:24 183:2 214:17 limits 182:24 Linda.j.mott@usdoj.... 2:2 line 209:9 lingo 250:8 link 71:25 lip 89:8 lips 37:3 Lisa 168:2 list 4:9,10 5:19 6:2,5 7:14 9:1,1 12:23 57:24 77:13,14 94:12 94:13 95:2 140:13 141:8,25 147:6 155:13,22,25 167:16 196:20 211:22 242:19,19 243:1,4,13 listed 12:22 22:18 31:19 53:9 54:16,17 55:14,15,17,23 57:24 63:9 71:13 128:1 160:14 170:21 182:13 196:9 229:20 listened 19:18 listening 220:22 221:3 223:10 listing 77:25	lists 40:6 94:14 literature 11:23 16:6 16:20,24 17:11,13 28:20,24 66:23,24 74:19 86:3 114:9 151:6 152:20,21 153:7 154:14,18,21 154:24 155:7,9,11,18 155:21,23 156:2 157:15,17 161:9 162:12,22 164:10 167:10,13 171:4,12 175:16,17,18,20 176:17,20 177:5,13 179:3,4 190:4 194:17 195:9,25 197:4 211:2 213:17 218:13,17,20 219:4,9,16 232:22 242:13,16 244:5 245:11,12 246:12 247:24 251:14 litigation 114:8 133:23 220:17 litigation-produced 115:3 little 14:15 29:14 41:12 65:2 70:10,12 75:14 76:25 81:23 87:9 89:21 149:12 150:3 172:21 178:18 187:1 202:9 206:18 live 222:25 lived 135:7 living 238:6,13,13,18 load 88:1 loaded 91:25 loading 17:7 loan 149:16 local 15:1 214:19 locate 229:22 located 34:1 locked 89:15 logical 143:24 long 7:1,10 24:1,6 29:18,19 37:17 69:10 84:13,15,21 85:10,20 157:12 173:9 194:15 longevity 215:18 218:23 look 19:21 20:15 35:19 37:10 41:13 50:17 53:7 62:12,13 72:4 77:18 88:9,13 92:25 96:18 97:16 146:7 151:11,12 163:8,11 177:24 196:23 205:7 205:16,18 206:22,25 212:6 213:23 227:11 227:15 244:11 246:8	246:18,22,23 247:12 247:16,23 253:23 255:7,11,13,21 256:4 looked 56:20 80:3 99:8 135:3 140:5,5,6,7 151:13 206:10,12,12 206:15,20 207:1 211:16,23 229:19 248:25 249:10 255:20 256:1 looking 7:15 15:13 19:4 35:24 37:24 44:17 81:8 102:15 209:10 212:16 240:23 245:5,7,11 247:15,21 250:11 looks 21:8 38:2 122:13 237:8 253:2 look-through 205:9 lose 187:2 lost 165:1 lot 12:6 14:18,25 36:2 45:1 56:1,3 58:1 66:11 69:3 73:2 77:11 94:10 95:7,25 100:10 110:4 111:5 155:24 165:16 188:11 194:18 211:2 218:19 229:15 243:9 244:5 lower 35:7 36:11,15 37:5 38:4 46:9 136:20 lubrication 38:24 Lucien 36:25 Luger 83:4,5 lunch 122:12,15
M				
M 2:9 237:2 machinery 108:7 machining 40:18 66:17 made 6:24 9:5 33:17 34:3 38:5,18 39:25 44:9,23 45:2 46:12 47:22 48:4,7,10 49:14,19,25 51:14 52:2 53:1,3,24 54:7 65:1,16 78:11 97:6 97:18 100:17,24 104:16,16 107:4 108:4,21 109:9,16,20 109:21 110:7 111:23 121:15 125:6 133:11 141:17 145:25 162:2 197:11 201:14 206:9 209:5,6,13 212:10 221:4 225:12 229:11 234:15 241:23				

<p>242:18 243:19 245:8 250:12 magazine 37:2,3 mailing 208:25 main 13:21 14:7 34:17 61:3 68:15 203:6 205:4 249:13 mainly 77:21 79:11 mainstream 136:25 137:15 maintain 26:14 53:16 64:10 108:8 maintenance 63:13 79:3,11 major 99:11 133:21 166:16 186:5 214:23 220:15 majority 10:18 206:4 210:15 make 9:6 19:16 22:1 23:12 30:22 34:12 46:3,6,16 48:12 53:4 61:24 72:5 73:9 88:25 92:6 93:2,2 94:5 95:21 96:1 98:12 100:25 101:11 105:15 112:7 130:8 132:13,14 133:7 170:19 177:15 187:2 193:21 205:7 209:11 217:8 218:11 230:15 235:19 237:13 238:18 246:13 250:2 250:17 254:10 255:3 makes 51:9 52:15 60:3 137:23 164:18 183:24 204:23 224:20 245:5 making 63:5 111:15 120:1 135:1 176:11 198:19 224:20 233:21 248:7 251:15 253:4 man 144:22 managed 185:20 Managerial 230:1 234:1 mandatory 129:14 130:7,8 mandrel 54:23 Manhattan 14:6 maniacally 104:7 manner 8:19 30:1,4 mantra 191:13 manual 63:24,25 manually 88:2 manufacture 39:10,24 40:2 41:17 42:21 43:16,21 54:12 55:6</p>	<p>66:10 manufactured 44:22 55:8 66:7,9 95:11,15 95:18 96:2 197:12 manufacturer 142:8 manufacturers 39:13 95:19 manufacturing 38:16 54:25 56:9 66:9,13 72:15 142:6 194:11 215:5 248:10 many 12:1 26:22 28:3 50:6 54:6,6 71:18 72:8 101:13 118:11 134:18 135:10,10,21 135:21 142:11,21 149:9 152:16 173:4,7 184:3 190:2 209:9,10 222:22 227:6,9,13,14 228:7 232:14 243:3,6 243:7 245:1,3,3 Marc 3:11 Marginally 65:10 marijuana 235:17,22 mark 33:4,4 37:8 44:25 51:1 60:22 88:7 90:1 90:15,17 91:2,3,5,6,8 91:9,10,13,14,14,16 91:17,17,21 92:4 103:21 104:16 159:2 174:2,19 206:18 207:11 230:23 245:5 245:7 246:21 251:20 254:2,7,12 marked 17:10 33:10 34:16 38:14 39:17 41:7 45:14 92:20 157:8 158:23 173:22 221:24 Markham 106:14 marking 45:1 markings 40:22 42:7 93:16 95:14 207:6 marks 17:6,8 34:12,18 34:19,23 35:3 36:10 37:2,4,4,5,6,9,10,20 38:17 39:1 40:15 42:5,9,13,16 43:8 48:19,21 49:16 51:21 52:23 56:10 58:23 73:15 87:7,11,22 88:15,25 90:3,8,19 90:23,25 92:5,13,16 92:25 93:6,10,20,22 100:17 174:22 192:22 210:17 245:17,20 246:13 249:2,10,10 253:16 254:1,4,19,23 255:5</p>	<p>Martinez 10:7 master's 13:16,16 22:22 97:3 98:22 match 103:12,15,16,19 103:24 104:9,23 192:20 201:15 204:17,18,19 206:1 208:5,16,19 218:8 245:14 matched 104:21 249:4 249:5 matches 99:7 121:13 151:18,18 201:20 matching 19:2 73:5 99:7,8,10 105:1 174:18 192:23 193:14,19,22 198:16 199:8,13 208:13 209:16,17 210:15 material 4:13,15 5:2 30:16 66:12 91:20 142:7 146:7 211:15 212:13 220:23 224:10 226:9,12 235:3 247:11 materials 8:8 27:21 28:2 209:18 223:10 223:25 231:18 242:19,23 mathematical 18:24 75:7 103:25 104:4,24 198:12 mathematically 105:9 105:10 matter 28:15 55:21 187:12 257:5 matters 22:21 may 3:6 5:20 20:15 21:2 37:12,12 39:2 39:24 40:23 41:2,5 60:19 64:22 94:3 115:1 116:21,23 145:1 160:20 161:3 163:24 164:2 186:24 195:4 200:24 207:19 234:15 248:11,11 maybe 30:2 58:23 81:6 82:11 116:22 163:15 248:18 ma'am 141:1 145:8 McCaskill 3:11 McCLUSKEY 1:7 3:5 3:13 122:24 mean 20:17 52:7 67:23 77:13 82:1 134:22 173:18 174:16 190:5 191:7,8 226:17 229:15 232:25 234:6 242:12,13</p>	<p>meaning 157:19 199:12 221:21 meaningful 141:11 means 33:1 36:9 66:1 199:16 meant 116:12 170:13 215:22 235:7 measurable 39:7 measure 25:7 188:24 199:2 measured 185:17 measurement 71:23 128:9 183:14 measures 127:11,14 130:24 mechanical 19:7,11,11 mechanically 215:25 217:15 media 100:18 240:7 medical 223:2,13 medicine 173:14 174:9 meet 21:21 31:5 70:21 71:11,12,15 72:20,25 73:1 126:9 127:19,20 128:21 166:10 171:23 meeting 70:3 190:9 237:12 meetings 27:10 211:7 222:23 237:23 meets 64:3 130:5,21 171:2 member 24:17 132:19 135:12 211:8 228:25 237:22 238:14 242:20,24 members 21:14 25:2,20 58:2,4 68:17 140:14 143:22 166:14 169:24 204:4 223:15 223:24 238:17 memo 202:11,15 203:21 men 124:21 mentality 68:2 mention 74:4,10 182:11 mentioned 4:9 25:19 27:12 42:23 58:7 59:10 63:24 66:6 67:3 74:12 76:23 87:5 94:4 98:16 128:24 131:6 139:11 156:7 157:3,13 189:14 199:5 248:2 248:13 message 250:14 254:9 254:14 messy-looking 33:21</p>	<p>metal 36:12,22 89:3,20 246:25 method 6:22 31:8,14 31:19 52:16 56:25 76:24 77:7,10 78:16 78:18,20,25 79:14 80:11,14,16,18 81:9 81:11 85:6,9 101:6 111:20 173:4,8 193:4 195:4 methodology 57:6 138:5 178:17 193:9 193:23 195:18 methods 11:22 127:9 128:12 176:10 194:11 Mexico 1:2 2:4,12 Michael 2:6,7 3:13 70:19,22 156:7,15 160:23 161:2,5 162:3 166:23,24 167:20 168:6 170:3,17 171:25 181:2,5 Michael's 162:20 167:19 michael.burt@prodi... 2:6 microphone 65:5,12 microscope 18:9 46:8 46:11,12 48:24 205:13 206:12,14,21 206:22 207:4,5,25 208:4 microscopes 15:12 37:11 Microscopic 39:1 microscopy 46:2 105:12 middle 33:21 might 30:2 65:6 82:4 102:15 105:13 125:23 164:3 210:17 226:14 243:17 245:16,19 249:16 253:3 Mike 2:3 3:9 mimic 100:24 101:10 mimicking 101:24 mind 73:8 133:25 mine 54:19 226:19 minimize 191:19 minimum 126:6 129:15 minute 201:2 214:1 minutes 64:15,17 122:15 128:4 200:19 mirror 45:5 mischaracterization 233:3 mischaracterizing</p>
---	--	---	--	---

<p>232:6 misidentified 103:22 misleading 115:4 misplaced 208:3 missed 211:4 missing 196:13 mis-ID 209:11 Mnookin 167:17 model 90:7,7 126:5 173:13 174:8 243:23 255:3 modern 23:7,19 70:24 156:12,24 185:24 modifications 161:15 modified 30:21 mold 68:5 moment 33:12 47:13 48:1 61:10 126:15 145:1 moments 128:4 money 14:21 150:24 moneys 217:23 monitoring 63:16 months 143:16 144:12 Moran 15:19 74:7 80:4 190:6 more 17:19 24:11 29:7 29:13 30:11 34:18,20 40:2 49:18 55:22,25 56:1,16 65:3 68:9,13 68:22 70:12 87:9 101:17 110:24 119:10 139:18 143:6 143:7 152:5 153:9 155:24 169:14 178:18 186:24 188:22 189:19 190:13 194:22 195:14 198:4 199:11 204:3 205:1,6 216:5 217:19,20 218:6,19 218:25 219:2,6 226:19,21 254:23 morning 3:2,3,7,12,15 4:12,22 64:15 86:22 221:19 245:4 248:13 256:7 morphed 60:7 most 7:25 10:20 17:13 18:11 36:20 37:13 38:16,21 56:8 61:25 63:7,10 87:13 88:11 90:5 99:2 100:16 102:3 106:25 112:14 134:19 148:23,23 150:4 155:6 168:22 173:2,16 174:12 182:17,22 185:18 190:17 194:22 197:8</p>	<p>199:3 209:15 210:18 214:17,24 215:18 218:22 238:10 241:16 247:8 mostly 124:21 192:21 motion 5:7 19:16 117:19 motions 3:18 motivate 15:4 motorcycle 79:3,11,15 79:18 motorcycles 79:12 Mott 2:2 3:3,7,8,20,23 5:15,16,22 6:15 7:9 7:12 8:20 9:7,15,17 9:24 19:20 20:2,22 20:24 21:1,5 28:17 28:25 30:5,10 64:23 64:24 65:7,9,13,14 69:16 75:13,17 76:2 76:4 79:5,7 95:4,6 98:3,5 109:3,5 113:5 113:8,21 115:10,13 115:21 116:10 117:1 118:15 119:14,17 120:23 122:17 123:1 123:3,15,18,23 124:1 136:14,17 141:2 142:25 143:1 145:1,8 145:16,19 146:2 151:22 152:24 159:3 159:13,25 163:4,14 164:2,5 173:17 182:19 216:17 227:20 230:8,18,19 230:25 232:4 234:18 236:12 258:5 mouth 91:18 move 26:4 28:18 88:23 120:14,18 139:9 145:3 187:19 234:13 234:15 235:2,4,6 236:15 254:24 moved 41:23 89:13 movement 255:1 moves 41:24 88:24 89:5 89:14 91:12 moving 123:14,19 128:5 129:10 234:23 much 6:12 13:5 29:13 48:9 49:8,18 67:23 68:9,13,22 138:21 146:20 150:4 162:6 180:25 181:5 186:19 187:2 188:12 199:11 205:1 207:7 235:25 245:8 multivolume 168:24 multiyear 124:5</p>	<p>murder 246:2 Murdock 4:2,6,7 5:12 5:19,25 6:3,5,13 7:7 7:20 8:14 9:16,18,22 10:2 28:18 29:10 64:25 72:6 75:16 115:15 119:8,13 120:19 123:4 231:2 233:25 258:4 Murdock's 4:13 5:23 Murdock/Grzybowski 171:15 Murphy 61:6 167:17 must 32:14 46:5 52:2 65:15 muster 171:8 myself 18:8 70:14 131:22 162:21 171:1 M-O-R-A-N 74:7 M-U-R-D-O-C-K 10:2</p> <hr/> <p style="text-align: center;">N</p> <p>N 2:1,6 251:21 256:10 256:11 258:1 name 9:25 10:1,2 15:18 67:17 70:16 73:21,25 74:2 113:11 158:17 161:10 168:11,11 181:6 239:3,8 names 74:3,4,7 167:16 168:12 Nancy 256:11 narrative 29:19 69:12 215:1 narrow 141:5,5,7 NAS 76:8 122:8 130:6 135:12,25 139:24 169:25 170:1 203:7 204:7 211:4,21 212:15 213:5,8 218:16,18,20 219:14 221:3 225:13 227:14 229:1 231:18 232:17 232:19 237:12,21,24 240:13,22 241:1,12 242:1,14,20,24 243:10 national 17:22 58:18 59:2 108:1 122:4,9 123:19 131:13,15,24 132:2 nationally 182:16 nationwide 186:13 natural 183:17 nature 32:12 92:18 107:21 226:18 240:2 254:23 nauseam 232:14 near 31:17,18 41:3</p>	<p>88:14 181:4 nearly 172:15 177:21 necessarily 81:7 84:16 necessary 117:10 need 18:7 79:19,21,23 94:4 104:9 105:2,6 130:3,19 134:21 140:17 187:23 needed 127:4 202:12 needs 218:25 235:3 negative 60:23 82:15 181:15,18,21,25 negatively 81:20 neither 112:15 172:22 NES 107:13 never 62:25 162:2,10 162:19,20,20,21,23 171:18 180:12 186:12 212:7 230:21 242:18 243:12 new 1:2 2:4,12 18:10 83:12 84:4 99:3 111:9 122:11 165:25 167:16 newer 158:21 news 25:5,8 158:21 newsletter 60:6 68:8 76:16 next 10:15,21 24:21 30:25 32:13 33:16,23 34:2,7,14,21 35:6,14 35:21,22 36:23 37:15 37:19 38:7,15 39:4 39:19 40:9,17 41:1 41:11,15,19,23 42:1 42:15,18,19 43:5,6 43:14,19,25 44:14,16 44:24 45:6,8,10,11 46:15 47:14 48:13,17 48:25 49:15 50:1,7 50:14,22 51:2,6,11 51:16 52:4,14 53:8 53:17,21 54:10,18 55:5,18,24 56:5,13 57:3,22 58:6,15 59:14 60:15 61:1,19 63:12 64:1,12 125:1 185:11 221:24 251:20 NFEA 59:2 nice 86:10 92:3 Nichols 56:21 74:8,18 75:20 77:12 94:12 95:2 211:24 215:1 217:10 NIJ 25:7 58:7,8,11,18 nine 15:23 nobody 67:25 209:3 non 61:16</p>	<p>nonconformity 137:13 none 109:17 124:24 159:6 nonresponsive 140:18 nonvalidity 116:3 non-firearm 35:12 38:11 61:16,17 106:6 106:24 108:23 197:14 non-firearms 55:20 non-match 15:14 192:20 non-matches 99:7 250:8 non-matching 19:4 48:16 73:7 non-science 172:19 174:13 178:14 non-sciences 172:12 non-scientific 176:20 noon 122:20 normal 80:21 183:4,12 188:12 202:1 Northern 164:15 Norton 205:24 notably 18:11 notes 93:3 102:12,12 102:15 121:15 137:4 138:10,14 151:13 180:6 nothing 5:11 29:19 63:2 119:2 120:17 152:14,21 209:21 notice 4:19 5:8,23 146:19 235:21 notified 101:20 158:14 notify 98:1 161:12 NRC 107:24 109:2,14 123:5 213:4,6 number 5:18 12:20,22 14:12 21:11,19,22 22:18 25:25 26:11 27:20 29:3 30:14,15 56:22 58:3 60:18 61:4 65:22 70:6 71:18,20,22 72:14,21 74:6 76:3 80:5,10 86:16 95:9,20 97:19 97:19 98:4 101:8 109:4,14 113:6,24 120:9,24 123:24 124:22,22 125:19,22 126:1 127:1 128:5 129:10 130:10,11 131:10 136:5,16 142:15 143:9 154:15 182:19 190:15 198:7 201:11 214:7 216:16 232:23,24 243:5</p>
--	---	--	---	---

<p>248:18 253:6 numbered 196:22 253:9,12 numbers 18:24 99:19 numerical 18:20 numerous 20:4 40:4 53:23 57:10 75:21 94:8 99:12 125:5 143:3 223:11 227:7 N-I-C-H-O-L-S 74:8</p> <hr/> <p style="text-align: center;">O</p> <p>object 29:21 34:16,23 41:7 45:14 69:11 72:1 92:20 115:8 120:13 140:17 151:22 153:10 173:17 174:19 230:25 231:7 232:5 234:20 objection 4:5,18 19:19 19:20 28:21 113:23 119:10 145:9,10,22 145:25 152:24 159:4 236:12 objections 120:15 145:14,18 objective 195:14 198:5 objectivity 192:24 objects 33:8 38:10,13 72:23 100:20 obligated 148:19 obligation 150:9 obliterated 33:19 observation 71:23 173:3 observe 31:11 83:2 observed 52:6 83:25 obtain 55:10 obviously 4:20 24:1 69:18 84:17 95:9 99:11 116:4 123:6 125:2 136:4 occasionally 143:22 occupy 16:12 occur 47:21 48:3 60:18 205:5 occurrence 191:19 occurring 90:18 occurs 38:24 October 16:1 143:17 144:17 196:9 off 10:13 81:10 89:24 139:21 207:3 218:11 235:4,19 254:24 offer 59:11,18 113:2 119:6 176:14,16 177:1 180:24 offered 59:1 66:4</p>	<p>114:10 117:14,18 119:1 237:25 offering 216:20 246:9 offhand 244:22 office 2:7 9:7 10:7 21:24 147:10,13,16 officers 233:13 238:10 offices 24:14 223:14 official 228:23 242:19 officials 223:15,16 often 16:25 90:4 174:17 208:22 235:13 247:1 oh 156:13 161:11 181:4 183:6 214:6 233:24 236:7 237:18 256:5 256:10 okay 3:21 9:21 19:17 30:5 65:8 78:19 82:16 84:6 85:5 91:4 99:15 106:10 122:16 132:23 133:7 146:24 147:2,15,21 148:9,18 150:5 151:4 154:4,12 155:6 156:17,23 157:14 158:22 160:14 161:8 164:7 164:24 165:2,8 167:3 172:10,18 178:6,13 178:24 182:3 183:3 188:5 189:14 190:21 192:13 193:3,16,20 194:13 196:21 197:21 198:15 203:11 204:9 207:6 209:24 211:1,6 212:14 214:5 217:7 220:11 222:14 224:13 225:10 226:22,24 233:22 236:24 237:10 238:17 243:3 244:14 246:6,7 248:13 250:15 251:19 252:6 252:7 older 159:10 once 55:14 119:15 129:20 130:16 161:20 173:13 174:8 255:20 one 10:14 12:5 14:1,7 16:14,15,16 17:19 20:18,22 23:15 26:2 26:21 32:21 33:9,9 34:11 38:9 40:11,13 45:7,21,21 48:24 50:12,20 52:24 56:22 56:22 58:24 60:1,16 62:6,22 65:15,18</p>	<p>66:21 67:16 68:15 69:18 72:1,18 74:22 74:24 75:2,4,9 77:2 77:12 79:13 80:1 81:12 87:6,18 89:12 90:10 92:23 93:10,22 96:25 98:19 100:10 104:15 106:14 109:11,13 110:5,10 110:16 114:2 118:11 119:25 131:21 140:8 142:13 143:6,7 144:17 146:15,19 149:11,15,18 157:12 158:8,10,21 159:1,22 159:22 160:15 163:11,12 164:9,24 167:10,15 168:12,13 169:17 170:3 172:7 181:6 182:12 188:6,7 191:20 193:9 194:4 195:12 198:10 201:2 203:6,10 204:16,25 205:4 206:3,15 208:1 208:2,16,19,20,21,24 209:6 211:1,24,24,24 217:11 222:11 225:23 226:7 229:8 230:16 231:4 237:19 241:1 248:1,9 249:2 249:11,15 250:22 253:5 256:10 ones 48:20 75:2 77:15 93:1 101:24 129:9 145:13 242:25 255:13 one-half 132:14 one-of-a-kind 71:19 one-week 15:10,20 16:8 one-year 59:2 one-year-long 59:3 only 6:16 21:8 28:7 46:22 48:11 72:1 97:8 116:16 117:7 120:3 133:3 152:22 161:6,11 182:15 183:23 184:23 186:7 186:20 197:24 199:18 201:24 207:22 210:3 212:11 223:17 225:1 229:14 233:9,20 234:12 onto 38:17 40:20 41:7 45:14 136:7 opened 97:8 opening 17:10 36:8,9 89:10,22 91:19 92:3 operate 223:18</p>	<p>operated 225:2 operation 34:19,20 41:22 183:20 253:17 255:18 operations 66:17 opining 167:21 opinion 30:23 31:5,9 57:7 64:3 81:19 82:11 111:12 127:22 133:24 134:4,5,14 135:5 142:1 143:8 170:25 175:24 176:21 177:1 180:17 180:21 201:25 202:2 212:5 236:3 opinions 51:13 176:14 176:16 178:11,24,25 194:24 246:9 opportunity 13:19 opposed 119:11 152:8 opposition 169:14 optical 18:8 optically 19:9 optics 46:14 options 155:4 oral 211:18 212:12 225:12,13 226:10 227:1 228:14 229:11 order 11:13 22:1 26:14 46:6 96:23 139:16 158:25 170:18 221:24 251:14 organization 21:15 22:11 25:9 135:4 175:25 227:2 237:11 237:11 241:15 organizational 131:16 138:23 organizations 238:11 organize 240:25 orientation 208:5 origin 51:14 original 77:19 78:4,5 100:13,15 106:11 159:22 other 5:12 8:9 28:2 32:9 33:6 38:20 42:6 53:5,6 55:6,19 62:22 67:3 68:19,21 72:4 73:10 74:3,16,25 82:22 93:25 98:16 100:7 102:7 103:9 106:25 110:8,9,10 111:17 114:12,15 115:6 116:20 119:24 124:10 128:3 137:5 145:13,14 148:13 149:5,10,22 150:6 152:15 153:25 154:8</p>	<p>154:9,21 155:14 157:11 170:3 172:7 173:20 174:14 175:18,20 176:3 177:4 179:6,7 181:13 186:19,21 188:13 192:15 197:19 201:18,20 202:17,24 204:16 205:6,15,22 205:25 206:9,17 207:6 208:6,9,16,21 212:8 214:25 217:11 232:18 237:14 240:8 241:12 242:14 244:15 249:3 251:6 253:24 254:1 others 62:6 86:11,12 110:16 140:7 188:22 201:16 204:18 212:1 212:4 otherwise 231:21 Ottawa 58:13 ought 240:24 out 6:16 8:5 18:15 22:6 22:19 23:6,22 30:1 31:20 36:5,13 46:4 60:6 61:11,12 67:21 75:14 83:16 85:6 87:23 88:23 89:7 97:3,19 100:13,15 101:13 102:12,21 105:21 106:18 107:14,22 114:12 125:13 148:11 151:23 164:15 167:7 186:18 192:15 205:5 206:16 209:8 211:3 213:5,7,10 216:19 231:4,4,9 234:19 239:18 240:18,19 241:2,15 246:12 247:9,9,12 outcome 82:24 83:22 outline 31:1 outlined 42:20 80:12 184:1 outlining 5:6 outside 22:11 57:14 68:20 136:25 152:10 154:8 155:1 156:4,5 224:2 241:17 over 4:14 15:17 16:6 22:4 27:6,21 32:20 40:6 45:13 53:25 57:16 70:11 71:16 74:21 88:21,23 93:7 93:24 97:11 99:18 100:21 127:21 135:3 137:17 146:7 148:22</p>
---	--	--	---	---

<p>151:11,12,13 168:3 173:1,11 205:12,16 205:18 207:4 209:1 232:14 236:13 249:3 overall 6:20 134:14 188:25 overarching 124:8 overcome 137:16 overruled 145:12 146:1 overview 31:2 35:15 179:3 226:13 own 20:15 21:13,14 73:8 131:16 136:1 188:20 214:4 241:19 241:19</p> <hr/> <p style="text-align: center;">P</p> <p>P 2:1,1 253:12 page 7:19,20,23 20:12 20:25 70:8,19 112:10 112:19 113:7 116:9 133:11,15 136:11,13 136:20 142:5,15 163:9,13,13 164:14 165:24 166:1 174:6,7 174:7 175:6,9 177:17 178:14 182:19 183:3 196:10,23 214:8 215:15,21 216:11 217:4,5 219:16,19,20 219:24 220:1,9 222:14,15,15,17 223:8 226:5 227:20 230:9 253:5 pages 22:17 125:8,17 135:21 196:21 215:11 237:8 paid 150:17 151:3 pair 40:12 44:4 panel 237:12 paper 56:22 70:14 71:13 105:4 106:2,2 106:9 227:25 papers 22:19,23 56:21 69:3 94:10 215:6 paperwork 251:23 paradoxical 172:20 paragraph 112:13,20 113:1 133:15 183:8,9 185:7,10 220:1 222:16 223:8 227:22 parallel 93:23 Pardon 19:25 parentheses 54:17 part 7:20 11:18 12:23 16:5 22:17 48:2 56:25 66:19 74:22,22 74:22,24 75:2,3,4,4,9 77:10,12 86:25</p>	<p>120:16 127:8 137:25 138:1 149:22 157:5 160:21,23 161:1,18 161:19 162:8 163:1 163:20 178:18 183:23 185:6,22 191:11 196:14 215:18 220:3 participants 96:12 participated 115:1 particular 17:6 31:22 33:5 57:5 63:1 90:21 92:21 110:15 114:2 115:8 121:18,24 122:2 151:10 153:19 157:8 159:8 162:13 164:14 167:11 168:14,21,25 177:6 179:3,8 180:18,19 182:3,6 192:8 194:8 202:18 203:15 204:9 208:8 212:17 224:18 231:13,24 237:13 243:19,22 244:15,16 245:5,12 246:13 247:24 248:1,6,8,9 249:18 251:25 254:15 particularly 107:2 182:8 210:7 parts 95:20,21,21 127:7 183:17 253:25 party 138:10 pass 26:3 146:3 passage 175:2,10 passes 171:8 Passing 73:23 80:7 past 53:25 77:22 86:17 159:6 182:18,23 Path 123:21 pattern 48:21 73:5 174:18 193:13 198:7 199:13 208:13 209:16,17 210:15 249:18 patterns 52:23 73:4 250:23 Paul 13:23 98:23 143:18,19,21 144:15 144:22 257:11 PBV7152 253:9 PBV7164 253:13 peacefully 43:3 peer 12:10,14,19 53:13 59:15,16,18,22,25 60:2 64:6 66:24 67:9 67:10,14,18 102:19 102:22 138:11 166:11 187:6,7,13</p>	<p>205:2,15,23 207:14 207:15 224:2 peer-reviewed 67:5 129:2 165:18 166:10 223:19 225:3 228:10 pending 21:4 people 14:7,21 40:7 57:25 62:1,7,15,17 62:24 68:16,20,23 69:2,4,10 73:10,14 74:10 76:12 84:15 88:1 94:21 97:8,23 99:18 102:9 105:6 106:25 144:9 149:14 156:5 168:19,24 177:15 179:7 192:7 192:22 193:9 205:6 209:1,10,15 210:20 210:22 213:11 218:2 229:15 235:9 238:6,9 238:18 250:17 people's 134:19 per 132:21 158:25 perceived 130:1 percent 61:8,9,14,18 63:10,11 99:6 106:5 106:7,23,24 189:22 190:14 191:3 192:12 192:13 201:3 210:9 210:12,19,21,21 percentage 107:6 perfect 42:25 perform 82:19 83:18 108:6 performed 22:9 85:4 performing 133:8 136:24 perhaps 138:22 161:13 243:20 period 15:17 148:22 239:13 periodic 129:9 permanence 214:16 permanent 149:19 permanently 149:24 permissible 146:8 permits 186:21 person 21:18 25:22 59:4 66:1 82:9,10,10 100:5 135:14 138:13 138:15 149:6,15 179:21,23 198:11 206:20 212:10 232:12 235:15,18 245:8 251:14 personal 198:24 199:22 200:2,12 personally 106:25 144:22 169:6 228:12</p>	<p>241:12 personnel 149:23 person's 198:10 199:15 perspectives 223:6 pertain 6:12 pertains 51:12 167:13 pertinent 4:13 5:3,18 16:6 153:7 175:18,21 223:23 pervasive 173:13 174:8 Peterson 106:13 phases 126:13 phonetic 191:1 215:3 photograph 48:22 253:6,24 254:17 255:25 photographic 199:4 photographically 198:4 photographs 48:23 94:18 102:13 137:5 139:2 196:16,18 250:24 255:21,22 photography 197:20 197:22 photomicrographs 138:25 180:7 255:7 255:10,23 photos 253:1 phrase 172:20 231:13 phrasing 212:21 phrenology 173:16 174:11 physical 15:2 22:1,6 71:18 155:4 222:25 235:11 Ph.D 86:25 piece 36:12 68:5 89:3 120:16 167:12 214:22 pieces 38:17 40:20,23 56:10 pilot 58:22 pin 17:10 33:25 34:5 35:2 36:4,7,8 37:6,7 37:7 41:10,16,25 42:4,10,11 43:12 48:19 50:17 88:14 89:9,10,21,25 90:9 90:11,12,14,15 91:2 91:5,8,16,19 92:3,8 93:13 245:20 254:3,7 pins 38:19 42:6 93:8 95:23 96:4 pistol 33:14 87:24 89:13 90:8 96:10 252:1 253:8,12 255:3 pistols 50:19 90:6 93:19 243:16 245:2</p>	<p>pitch 41:7 pivot 89:7 pivots 89:7 place 75:14 124:17 129:22 167:19 252:9 placed 115:24 206:18 Plaintiff 1:5 2:2 plans 144:3,3 plant 66:9 plausible-sounding 172:14 177:20 play 176:4 played 178:18 Plaza 2:11 please 3:1,6 9:25 56:15 64:20 120:19 122:22 147:2 182:19 200:22 206:22 224:21 227:20 237:25 plenary 234:2 plenty 256:3 pliers 55:22 plus 86:2 232:6 plutonium 14:8 point 4:19,21 5:11 33:19 65:15 67:16 69:11 105:9 118:8,14 119:15 120:9 140:18 151:23 159:23 163:21 173:23 195:9 195:25 197:4 208:11 210:1 216:1 217:8 218:10 233:3 236:16 244:1 250:6 pointed 175:17 216:19 241:15 points 26:13 211:1 219:25 252:19 police 58:12 122:6 191:22 192:2 polymer 101:5 poor 47:3 185:9 populations 183:15 port 37:4 portion 157:10,12 160:20 162:11 167:14 196:13,15 227:24 233:9,20 portions 16:17 posed 140:25 position 33:15 34:1 88:24 90:18 114:23 147:10 150:6 169:15 175:5,12 179:15,18 185:9 203:23 254:25 positions 24:19 206:24 207:9 positive 43:2 45:14 46:6 48:12 60:20</p>
---	--	--	--	---

61:8,9,13,17 92:9 101:14 107:5 190:22 199:22 201:14,20 210:24 234:23 possession 235:16,17 235:18 possibility 195:14 possible 18:22 40:24 51:9 52:15 53:1 87:19 90:20 103:14 108:4 possibly 66:10 108:5 208:5 post-date 243:4 potential 18:16 42:5 53:15 81:23 82:2,8 88:7,25 90:20 92:7,9 92:25 93:5,12,15 185:12 251:9 PowerPoint 4:16,20 6:17,17 29:2 65:4 66:23 69:18 70:12 77:1 87:3 104:16 128:2 132:15 145:19 198:17 200:9 207:8 213:19 215:12 226:16 229:11 232:13 234:14 236:21,22 237:6 246:19 249:12 252:18 practical 26:4 33:5 53:1 109:21,22 110:7 111:16 168:20 practice 129:16 171:16 177:12 208:15 practices 128:12,14 practitioner 177:8 practitioners 130:15 156:2 173:7 177:11 222:24 223:12 precepts 52:16 precisely 185:19 precluded 112:22 predict 32:11 predicted 82:24 83:22 preliminary 191:23 premise 71:18,20,22 72:14,20,21,25 73:1 73:17 216:4 217:18 premises 70:9 71:13,14 71:17 72:5,13 110:23 170:21 171:2 prepared 8:3 114:12 115:21 159:21 240:6 prepares 61:21 prescribed 257:6 presence 109:16 245:9 present 3:9,14 17:20,21	29:2 41:2,5 52:2 72:19 94:7 132:11,24 179:14,21 185:8 190:10 211:7,11 226:9 240:25 248:11 249:4 255:10 presentable 68:7 presentation 6:25 29:2 29:14 30:21 65:1 69:19 99:16 132:13 132:15,16,23 133:2 145:20 212:11,12 221:4 225:13,17 226:10,14 227:1 228:15 229:11,12 230:3 231:4 237:7,9 presentations 14:13 17:20 136:7 137:18 presented 117:3 133:4 212:12 225:12 226:12,12 232:10,12 237:5 presenter 226:9 presenting 179:2 President 135:16 228:24 presidential 242:6 pressure 49:21 247:1 presuming 117:2 pretty 167:12 194:7 prevent 187:9 205:5 218:2 previous 46:25 56:14 85:19 previously 107:10 145:10,25 primarily 118:19 238:5 238:8,9,13,18 primary 172:24 202:16 primer 35:1 36:6,10 88:15 89:20 246:23 246:25 247:2,17,22 253:16 priming 91:20 principles 77:5 195:2 214:14 printed 66:13 printout 145:20 prints 174:22,23,23 prior 4:21 11:11 39:10 40:1 144:14 213:4 239:12 pristine 209:18,25 private 223:12 probabilities 103:15 104:9 218:8 probability 103:12,16 103:19,24 104:23 183:16 195:1 198:13	probably 4:3 6:15 7:12 12:4 15:15 24:5 25:18 28:7 29:4 72:11 73:20 86:2 88:5 102:19 109:11 122:11 131:7 167:17 167:21 168:12 182:2 183:13 205:3 problem 6:7 31:15,20 31:21 81:10 102:7 160:6 192:1 251:9,9 problems 80:16 81:12 184:13,19 202:12 procedure 27:2,4 131:21 procedures 63:23 129:17,19,21 130:13 142:8 proceed 3:22 8:18 9:14 30:1,3 32:2 64:22 146:5 proceeded 170:25 proceedings 1:10,18 113:4 134:11 201:11 213:8 257:4 process 12:15 15:2 27:6 31:14 32:5 40:18 53:19 54:25 59:25 63:8 64:11 67:8,12,12,13,14,19 68:10,18 69:7 84:13 84:16 85:2,10 96:22 129:23 161:23 187:7 187:8 192:20 205:11 205:11 207:16 processed 10:17 processes 38:16 56:9 66:14 72:16 proclamations 172:25 proctored 26:6 produce 101:9 233:8 produced 1:19 33:4 34:23 35:12 36:25 38:25 39:24 40:22 41:8 43:9,15,15,17 43:24 47:24 51:19,21 60:22,24,25 63:23 72:1 90:3 173:14 174:9 producer 61:3 produces 40:19 producing 51:21 101:7 production 41:4 108:20 products 185:17 profession 68:21 107:24 137:16 143:22 166:12 171:1 212:23 223:16	professional 24:12 26:13 27:21 76:14 223:6 professionals 128:17 129:13 professor 13:21 164:9 170:14 171:5 175:3 175:11 191:12 195:21 professors 13:20 proffer 233:9 proficiency 61:3 64:8 66:3 99:24 100:1 101:6,7,17,23 102:1 102:5,16,20,21 105:16 106:19 121:16 128:10 129:16 184:14 186:4 186:10,22 187:1,4,12 187:19 188:1,7 189:4 189:14 190:7,14 192:11 210:4 profile 38:1 profiles 18:12 program 13:22 25:1,6 25:10 26:12 27:18 30:16 58:9,9,20 59:3 59:4 66:2 130:17 186:4 187:18 programs 57:23,24 58:1,7,17 59:22 182:12,13 project 14:6 222:19 projects 20:4 prominent 90:4 249:1 prompted 203:1 promulgated 26:19 prongs 53:9 pronouncing 73:21 proof 233:10 proponent 78:4 proposal 196:17 propose 29:9 proposes 4:16 proposition 56:7 218:24 219:5 propositions 32:15,21 38:9 52:24 propriety 134:9 179:12 180:3 prosecutions 133:22 220:16 prosecutor 223:1 protocols 63:15,20 64:11 128:11 129:8 130:17 134:24 137:2 137:14,20 138:1,3 protruding 90:15 protrusions 91:18 92:2	proved 32:3,8,9 proven 32:1,1 83:10 173:12 provide 5:19 6:8 9:19 14:20 21:1 23:10,10 40:6 133:21 141:11 141:16 142:12,22 148:19,22 149:23 162:10 164:10 204:3 220:15 233:12 provided 4:8,22 5:5 12:21 23:25 24:2 30:17 40:5 135:10,12 135:15,18,24 142:18 142:23 159:5,5,18 221:7 225:24 227:17 228:10,13 229:9 provides 63:14 184:23 providing 200:11 proving 19:7 pry 50:24 public 82:3 178:21 223:12 publication 53:14 59:16 66:23 129:1 181:6 211:20 publications 59:23 67:6,9,11 146:19 214:25 publish 165:16 published 53:25 60:13 67:17 74:18 76:15,18 86:5,18 94:18,21 118:10 129:5 141:12 158:3 161:10,17,20 214:24 221:20 223:11 240:14 248:16 pull 65:6 88:2 247:12 pulled 231:9 punctuated 180:6 purchase 100:14 purport 151:5 232:20 purporting 119:22 225:4 purpose 7:4 96:15 107:21 112:21 116:16 117:7 118:21 124:10,12 140:2 141:5 152:22 153:1 226:23 239:21 purposes 37:14 42:14 42:17,24 87:17 125:16 140:3 175:25 245:25 pursue 224:16,17,22 231:10 235:9,10 pursuit 233:15 push 18:16
---	--	--	---	---

<p>pushback 89:23</p> <p>pushed 54:24 89:21,22</p> <p>put 29:5 47:11 56:18 64:3 70:9 78:7,8 80:10 95:21 102:13 110:24 114:22 120:20 129:22 130:19 139:17 157:17 163:16 164:7 206:23 207:4 216:5 217:19 218:6 243:1</p> <p>puts 60:2,3</p> <p>putting 203:22</p> <p>P.A 2:11</p> <p>p.m 122:21 200:20,21</p> <p>P.O 2:4</p> <hr/> <p style="text-align: center;">Q</p> <p>QA/QC 142:7</p> <p>QCMS 193:12,16 195:17</p> <p>qualifications 169:1</p> <p>qualified 38:13 52:3 65:16,18,22,23 168:25 169:9 208:10</p> <p>qualitative 193:16 197:9 208:12</p> <p>quality 25:6 63:16 80:2 130:12,13,23 131:1,1 180:5,23 186:12</p> <p>quantifiable 127:11,13</p> <p>quantitate 73:10,14</p> <p>quantitation 99:10</p> <p>quantitative 73:16 103:20 186:1 192:23 193:17,18 196:24 197:1,10 198:12 199:2,8 200:3</p> <p>quarrel 108:18</p> <p>quarterly 129:1</p> <p>question 21:3 69:14 85:19 101:23 110:1 110:13,18 111:18 120:15,17,20 123:4 125:1 140:22,23,24 140:25 142:4,9 143:4 143:7,8,24 173:18 204:24 207:14,23 208:7 224:13 225:16 234:12 254:20 256:10</p> <p>questioned 60:22 82:21 83:20 84:2,4 170:2</p> <p>questioned/known 202:22</p> <p>questioning 231:23 232:8 233:1 246:1</p> <p>questions 29:7,11,15 64:25 84:23,24</p>	<p>133:22 140:13 141:4 141:6,7,8,13 142:2 143:2 146:24 147:4 152:3 153:10 170:5 220:16 231:20</p> <p>quick 123:4</p> <p>quickly 7:5 8:25 139:9</p> <p>quite 7:5,25 8:2 22:13 24:1 49:17 90:4 177:1</p> <hr/> <p style="text-align: center;">R</p> <p>R 2:1</p> <p>raised 133:22 170:14 220:16</p> <p>random 43:9 56:10 103:12,15,16,19,24 104:9,23 218:8 254:23</p> <p>randomly 231:3</p> <p>range 15:21 28:2 32:24 46:16 47:2,15 63:10 84:19,20 85:11,13,18 126:25 153:6 155:9 155:11 220:19</p> <p>ranged 152:12</p> <p>ranges 49:1</p> <p>rapidly 38:17 56:10</p> <p>rapist 22:2</p> <p>rarely 38:12</p> <p>rate 53:15 60:16 61:13 61:17,17 64:6,7 101:14,17 103:9 105:20,25 106:15 107:9 150:21 184:15 184:21 185:13 187:14,16 188:8,24 188:25 189:18 191:3 191:4,7 192:7,10,17 201:4 204:15 210:9 210:21</p> <p>rates 61:20 63:9 99:16 99:21 103:11 105:17 121:17 183:15 184:7 184:8,13,14,20,25 185:4,9 186:6,14 188:2,15 189:3 190:22 191:6 201:3</p> <p>rather 27:1,10 54:7,15 136:9 173:11 182:23 185:19 211:25</p> <p>RDT&E 140:11 143:20</p> <p>reach 127:24</p> <p>reached 121:23 221:5</p> <p>read 79:10 106:14 119:18 161:20,21 163:20,22 169:20,25 170:9 172:5 176:17 176:19 177:13 185:7</p>	<p>185:10 187:23 189:12 197:6 213:16 220:2,3,6 222:9,16 223:8 224:4,9</p> <p>readily 90:3 197:18</p> <p>reading 78:5 126:20 174:4 177:23 216:8 216:13 226:4 230:13 235:8</p> <p>readjust 30:3</p> <p>reads 110:11 133:16 165:23</p> <p>ready 3:22 9:14 59:5 122:25</p> <p>real 19:12 41:13 82:12 100:11 101:25</p> <p>realistic 189:19 190:13</p> <p>really 47:7 65:10 66:14 69:1 79:11 84:23 86:13 93:17 118:12 152:17,22 177:14 179:5 201:23 205:11 250:1,19 251:16</p> <p>reason 16:25 24:2 46:22 47:17 49:10 55:7 58:24 77:17 87:25 140:12 148:7 161:12 179:4,8 188:7 196:6 224:13,18,24 225:7,19 229:8,13 231:2,3 234:24</p> <p>reasonable 226:2 235:1</p> <p>reasoning 229:3</p> <p>reasons 189:1,11,11 194:4 198:6 225:9 226:7 229:8</p> <p>rebutted 114:16</p> <p>recall 123:7 154:5 164:13 189:21 221:22 248:14,21</p> <p>receive 6:2 8:24 82:4</p> <p>received 6:4 11:12 17:4 211:19</p> <p>recent 75:23 139:18 169:14 190:17 214:12</p> <p>recently 101:19 185:12</p> <p>recertification 26:12 129:17</p> <p>recess 64:15,17,18 122:20 146:7 200:19 200:20 256:9,12</p> <p>recognition 94:20 198:8</p> <p>recognize 28:18 52:22 73:3 166:9</p> <p>recognized 28:22</p> <p>recognizes 71:7</p> <p>recommendation</p>	<p>125:21 126:1,10 127:1,3,19 128:5,7 128:22 129:11 130:5 130:10,11,22 131:10 131:19</p> <p>recommendations 125:6,10,18 223:7</p> <p>recommended 137:2</p> <p>reconvene 256:7</p> <p>record 3:4 23:18 27:9 64:21 93:3 122:23 139:3 159:13 171:23 200:23 237:2 257:4</p> <p>recordkeeping 138:19</p> <p>records 106:11</p> <p>recovery 100:18</p> <p>recruit 144:9</p> <p>recruiting 169:9</p> <p>rectangular 50:19 90:9</p> <p>recycling 242:9,12</p> <p>red 42:20 94:1</p> <p>redirect 148:11 234:17</p> <p>reexamine 151:15</p> <p>refer 125:23 197:13 223:23</p> <p>reference 16:12,15,16 70:5 74:5 75:11 76:2 79:5 85:25 86:15 95:4 98:3 109:3 113:5,21 114:7 119:15 123:16,23 125:8,16 126:20 135:20 136:14 143:23 156:14 165:15 198:19 212:12 230:9 232:17 236:19,21</p> <p>referenced 114:14 217:11 242:23</p> <p>references 8:25 40:4,6 79:2 94:14 98:6 105:5 125:18 128:3 135:3,9,10,15,24 142:1,11,13,14,14,18 142:21 143:4,6 196:20 197:13 211:20,22 219:8 225:14 227:18 228:11 229:10,17 232:13,15</p> <p>referencing 96:7 126:21 165:8 228:7 233:18</p> <p>referred 73:5 76:18 107:23,23 156:18 165:9 174:17 193:10 193:12 221:19</p> <p>referring 23:18 157:21 157:22 160:4 173:20</p>	<p>234:13</p> <p>refers 116:7 193:16</p> <p>refined 103:25</p> <p>reflect 128:14 221:21</p> <p>reflected 77:6 136:7</p> <p>reformulate 32:4</p> <p>refutes 53:19</p> <p>regard 72:14 112:24 118:17 236:4</p> <p>regarding 6:22,23 69:22 113:19 133:8 151:6 240:8</p> <p>regards 95:10 118:18</p> <p>regular 147:15,17 186:23 214:20</p> <p>reiterate 119:21</p> <p>reiterates 118:22 120:3 120:5</p> <p>reject 204:2</p> <p>relate 229:3 252:8</p> <p>related 16:22 20:6 27:22 125:14 162:5 190:20 220:23 232:15</p> <p>relates 154:18,21 161:25</p> <p>relation 79:9 211:18</p> <p>relative 182:15</p> <p>released 224:1</p> <p>relevance 231:1,7 232:3</p> <p>relevant 16:6,17 57:8,8 114:14 115:12 152:1 152:3,17,20 163:3 167:12 175:16,24 176:9 177:5 209:21 220:20 227:18 228:11 231:13,15 232:5 233:3,9</p> <p>reliability 64:3 73:23 80:7 112:8 116:14 117:5,13 118:24 127:5,11,23 128:9 130:14 223:20 225:5</p> <p>reliable 71:9 101:17</p> <p>reliance 172:13 177:19</p> <p>relied 178:1</p> <p>rely 254:12</p> <p>remained 11:5 remains 68:17,17 152:24</p> <p>remarkable 250:7</p> <p>remarkably 166:17 248:24 250:13 254:8</p> <p>remember 73:12 146:22 172:7,8,16,18 190:24 206:11 212:1 218:14 229:25 230:5 243:25 245:23</p>
---	---	---	---	--

<p>removal 36:20 remove 36:16 removing 36:17 rendered 126:17 repeated 70:19 repeating 198:11 rephrase 76:6 125:19 140:23 replaced 161:5 replica 190:7 replicas 101:5 report 5:10 22:12 27:3 47:8,9 54:3 76:8 107:13,15,21,23,24 107:25 108:20,25 109:2 112:2,7,10 114:4,19,20,21 115:24 116:2,5,9,11 116:12 117:4,19,21 117:22,24 118:4,5,6 118:10,12,19,23 119:5 120:10,11,12 121:7 122:3,9 123:5 123:17,20 124:2,4,8 124:12 125:2,6 126:18,21 132:8 133:12 134:15 138:18 139:18,24 151:13 169:25 170:1 181:22 203:7,14 204:10 211:21 212:9 212:20 213:1,4,5,6 213:7,7,11 214:2,8 216:19 217:3 218:16 219:8,9 221:9,15,20 221:21 224:1,7 226:1 232:17,19 239:11,12 239:15 240:13,13,19 241:2,13 242:1,2 243:4 reported 1:18 17:11 54:2 99:19 170:23 187:5,14 Reporter 257:12 258:6 reporting 126:2,19 186:25 223:19 250:10 reports 47:4 97:14 109:23 110:6 126:5 126:17 199:12 represent 186:7 194:21 252:3 representation 155:22 representative 63:17 132:13 155:18 represented 190:1,2 218:12 representing 132:25 reproduced 216:23</p>	<p>reproducibility 110:22 142:7,16 214:15 216:3 217:16 reproducible 72:22 reproduction 247:18 reputation 168:15 169:5,10 172:1 request 4:11 6:2,4 9:3 101:4 132:12,21 141:21 144:15 241:18 requested 4:10 6:9 212:19 requests 240:7,9 241:20 require 12:18 102:4 139:2 144:8 required 102:1,2 requirement 12:15 126:9 130:9 238:16 requirements 68:10 131:2 166:10 171:3 197:10 requires 53:18 138:9 requiring 186:16 requisite 25:24 research 52:17 56:6 57:13 58:12,13 59:17 59:19 68:23 69:3,4 110:21 111:2,3,4,6 111:12 127:4,21 128:1 134:2,6,23 137:7 140:9 166:18 183:19 196:17 216:2 217:16,23 222:21 223:19,23 225:4 240:7 researchers 18:10 32:9 57:14 185:20 197:8 resemblance 50:25 resemble 174:12 183:13 residue 25:3 resource 200:12 respect 178:11 respects 134:18 respond 141:4 147:1 156:9 181:18 204:1 234:20 240:1,7 241:22 responded 76:19 140:8 142:9,10 143:3 156:11 240:16 responding 76:13 117:8 226:25 240:15 responds 241:18 response 5:15 72:5,12 75:23 109:1,6 115:10 123:6,8,8,11,11,16</p>	<p>125:23 126:11,21 131:18 135:18 136:4 136:14 139:9,10,18 139:23 140:12 141:3 141:15,23,24 143:13 143:13,16 182:1 227:4,5,8 240:13,17 240:18 241:23,25 242:2 responses 97:11 141:11 141:20 210:12 Responsibilities 234:1 responsibility 14:20 230:1 responsible 111:15 137:11 144:22 responsive 69:12 120:14 rest 8:1 99:3 144:18 172:24 185:10 235:3 restricted 39:9 restrictive 40:3 rests 36:1 88:18 resubmitting 242:13 result 24:23 40:2 55:1 60:19 63:1 76:10 78:9 101:15 106:21 111:5 116:21 180:5 220:22 results 26:8 33:9 43:24 47:6 62:25 63:3 71:9 82:9 83:3,6,25 84:5 97:25 98:1 102:17 104:1 106:22 126:3 185:13 186:3,17,25 187:4,20 206:21 233:14 retired 11:2 148:1,5,17 Retrieve 164:4 retrospect 47:2 241:7 return 122:19 201:2 218:10 review 5:1 12:10,10,14 12:19 21:25 53:13 59:15,16,18,22,25 66:24 67:9,10,14,18 74:18 102:20,22 114:1 116:20 118:16 129:9 138:11,13 144:4,5 145:21 151:9 161:16 170:24 177:5 187:6,7,13 195:9 197:4 205:2,15,23 207:14,15 214:12,22 215:3,6,14,21 217:6 217:9,10,12 218:12 218:15,16 219:4,15 221:12 230:20 231:18</p>	<p>reviewed 22:3,5,8 64:6 108:25 123:12 138:10 162:24 171:6 171:15 187:4 194:16 194:18 205:23,24 206:2 213:10,17 215:17 218:19,19,21 219:9 224:2 228:19 232:9,18,19,23 241:23 reviewer 60:2 reviewers 166:11 reviewing 8:25 162:17 195:25 215:11 220:23 223:10,25 232:21 reviews 74:21,25 164:20 165:5 166:3 revised 126:15 revising 236:9 revision 158:5 revisions 158:15 rhetorical 178:18 Richard 70:15,15 71:13 156:15 171:1 Richard's 74:3 Ricky 201:10 rifle 54:5,7,8 243:17 rifled 54:13 96:9,21 97:16 98:10 209:4 rifles 54:6 rifling 37:17,18,23 39:13 54:13,15,21,21 54:24 55:3 83:14,19 83:23 96:20,22,23 right 3:21 5:14 9:13 19:24 21:4 22:18 29:25 30:8,15 32:1 33:18 34:25 36:11 37:2 40:24,24 41:24 46:9 48:22 49:19 50:12,18 55:15,23 63:9 67:6 69:21,23 70:3 71:8 78:23 86:15,19 90:24 92:4 94:2 98:20 104:22 105:17 115:9,19 117:17 121:5 122:4 125:6 132:8 136:12 145:23 147:23 149:9 149:24 152:4,7,23,25 153:20 157:14 158:10 159:7,12,24 160:23 162:5 175:15 176:7 178:3 180:12 180:17 182:6 184:10 189:17 191:1,24 194:16 195:8,21 198:17,25 202:6,7</p>	<p>203:18 204:21 205:21 206:1,5,6,13 207:18 208:6,9 209:14 210:10,18 212:17,25 213:14 215:13 216:8 217:3,4 218:10 219:5 222:2 222:17 224:12 227:4 227:5,21 228:4,6,9 230:17 236:17 239:13,25 242:6,9 243:11 244:13,14,22 244:25 245:18 249:18 250:6,8,9,15 250:21 251:3 252:1 253:11,24 254:22 256:12 rightness 175:5,11 right-hand 33:25 35:8 39:16 40:16 41:14 45:20,22 136:20 rigor 194:7 rim 36:16 88:21 92:1 206:19 ring 36:7,9 risk 63:4 Rivera 93:18 94:16 248:14,17 RMP 103:19 RMPs 105:8,14 roadmap 124:14 Robert 74:8 role 176:4 179:20 239:25 240:12,15 roles 149:18 233:15 Rolph 113:11 114:15 114:19,22 115:16 118:9 119:18 121:3 180:7,10,25 181:4 221:20 Rolph's 115:11,18 Ron 56:21 74:7 76:13 77:12,23,23 94:12 211:24 room 80:15 Ross 201:10,19 202:8 204:17 205:22 207:21 rotated 41:17 rough 68:5 round 50:21 190:18,18 routine 130:12 RPR 257:11 rubber 205:9 247:4 rubs 92:1 Ruger 96:10 rule 4:19 46:4 83:16 188:23 206:4 rulings 23:12 137:11</p>
---	--	--	--	---

<p>run 29:10,14 46:17 63:4 79:15 100:21 runs 46:13 55:21 99:8 193:22 R-O 113:11 R-O-L-P-H 113:16</p> <hr/> <p style="text-align: center;">S</p> <hr/> <p>S 2:1 Sacramento 15:7 safeguards 204:25 Saks 70:20,22 71:10 156:8,15 160:24 161:3 164:9 170:4,14 171:5 175:3,11 181:2 181:5 same 15:19 16:4 22:24 38:14 39:25 42:6 45:24 47:24 49:19,25 51:21,22 71:4 83:8 83:13 87:21 102:8 103:7 104:12,19,25 106:19 111:6 117:8 120:11 157:10 165:23 166:1 177:17 178:14 186:8 198:16 199:14 200:9 203:21 204:7 209:12 213:18 221:5 233:16 237:21 241:25 242:13,15,17 243:9 247:16 248:10 249:1,8 252:4 253:22 255:2 samples 61:11,12,21,24 61:25 62:7,8,13,19 100:13,15,24 101:6,7 101:10 sampling 183:14 San 2:8 168:2 Sansibar 190:25 sat 19:17 206:10 satisfied 170:15 satisfies 171:2 satisfy 73:17 197:9 Saturday 6:6 saw 27:3 43:11 48:24 88:4 171:18,21 206:13 saying 63:3 76:9 158:22 171:23 185:13,16 217:13 218:1 238:2,22 says 30:16 46:22 47:5 48:2 53:2 82:9,10 114:20 117:21,22 121:10 136:23 139:3 166:2,8 174:7 177:17 178:13,23 182:11,15 183:3 197:13 204:16</p>	<p>208:21 214:12 220:4 225:1 227:12,13,14 227:14 228:7,21 232:21 scale 186:8 214:18 scenario 55:9 246:10 scene 54:5 108:12 174:19 scenes 10:17 12:7 15:2 100:20 scheduled 16:1 schematic 33:13 87:19 88:4 207:7 scholars 223:14 school 13:2 138:22 194:2,6 schools 182:16 193:8 Schwartz 4:3 8:2,5 19:15 76:21 117:2,16 211:25 science 10:17 13:10,22 14:3,10 18:17 22:10 23:8,20 25:13,16 31:2,3,7,8,9 32:16,17 32:18 38:8 52:9 53:23 57:6 58:19 111:22 122:9 123:21 124:7,8,15,15,21 125:3 126:3,6,8 127:5,18 128:10,21 130:4,20 131:11,14 131:25 134:12,15,21 136:8 137:22,24 139:22 141:17 147:9 152:6 153:8,14 160:25 161:18,25 162:6 163:1,2 168:16 172:21,22,23 174:15 176:21 177:18 178:17,20 180:8 181:1 182:7,16 183:4 183:5,11,12 185:19 185:20,25 191:13 194:23 212:16,18 214:25 220:19 221:1 224:3,3 230:2,6 231:5 233:11 234:2 238:25 239:1 240:9 241:12 242:7 sciences 60:8 71:24 76:19 123:20 172:11 172:19 174:13,14,16 177:18 178:14 182:10 186:5 222:25 232:20,24 scientific 23:7,19 27:23 30:17 31:8,14 56:25 57:13 59:19 70:24 75:22 76:10,23 77:7</p>	<p>77:10 78:16,17,17,20 78:25 79:14 80:11,14 80:16,17 81:5,9,11 85:6,9 110:25 111:2 111:20 127:8 129:2,7 133:9,20 134:2 135:5 137:8 138:5,19,21 141:14,18 153:1 156:12,24 165:9 166:16 171:17,23 173:2,4,8 175:16,24 176:6,9,18,19 178:16 181:22 182:25 194:7 195:2,4 216:6 217:19 217:21 218:25 219:3 219:7 220:14,25 223:19 225:4,15 227:15 229:10 240:24 scientifically 184:18,20 scientist 180:10,15,18 230:6 233:10 scientists 124:24 144:5 146:16 156:4 176:3 223:14 229:16 231:7 scope 151:23 152:10 scores 248:24 Scotland 87:1 scratch 33:3 48:21 screen 34:25 35:11 40:16 46:10 197:2 screwdriver 35:13 38:19 39:21,22 56:12 screwdrivers 55:21,25 se 132:21 search 198:4 seated 3:1 64:20 122:22 200:22 second 7:20,22 46:19 72:25 80:20 110:20 112:12 142:4 159:21 175:9 183:8,8,8 222:16 223:8 225:19 229:13 230:16 seconds 207:22 secretary 140:16 141:9 143:20 section 10:22 112:10 161:6 162:24 192:1 196:23 215:7 232:10 see 8:16 9:1 19:17 21:20 33:17,18 34:25 35:1,4,11,16 37:23 39:17 40:15 42:1,4 43:22 44:20 45:3 46:8,9,11 47:12 48:20 49:18 50:16,24 66:9 73:11,15 82:21 87:12 93:9 111:9</p>	<p>139:4 146:15 164:22 164:23 165:7 166:1,5 166:19 171:19,22 176:22 178:23 179:20 183:6 192:16 193:12,22 196:25 197:2,2 206:22 207:1 207:10,12 215:9 216:7 227:11,24 234:8,25 244:4 248:10 253:15 254:16 255:8,24 seeing 162:18 187:9 250:12 seeking 231:3 seeks 61:22 seemed 202:1 seen 6:14 37:6 86:12 166:21 201:20 208:15 214:16 222:2 230:21 236:22 sees 42:8 94:1 self-imposed 135:6 226:5 self-limitation 133:25 sell 178:20 semiautomatic 33:14 87:24 89:12,13 243:16 seminars 129:1 send 68:3 98:13 100:15 237:16 sending 61:11,16 237:14 sends 100:13 Senior 222:5 sense 100:3 138:18 178:16,22 203:6 205:23 244:8 sent 5:24 6:5 13:3 26:8 97:3,19 101:13 140:13 141:4 144:15 186:18 241:24 sentence 133:15 165:23 166:1 197:7 231:4 235:17,23,25 sentences 166:7 separate 4:9 80:9 81:2 228:14 232:10 separated 14:8 19:3 serial 253:8,12 series 55:11 66:3 96:2 190:7 serious 59:24 161:24 seriously 27:8 serology 190:25 serve 77:20 128:14 served 22:7 124:18 126:15 134:19</p>	<p>serves 137:7 service 61:2 82:4 226:15 services 61:22 148:20 set 23:7,9,23,23 25:22 110:15 168:24 sets 97:7 98:12 settings 173:14 174:9 settled 215:25 217:14 seven 18:15 several 17:4 24:12,18 67:3 99:20 100:9 144:14 178:15 214:12 shallow 137:18 share 102:10 132:24 shared 133:1 sharing 128:10 shear 48:19 89:25 90:14 91:5,17 245:20 254:4,7,12 sheared 89:24 sheet 45:1 97:20 shell 151:18 155:7 245:14 250:11 252:9 shells 209:25 Shepard's 170:24 Sheriff's 10:7 147:9,13 147:16 shoe 174:23 short 15:20 16:14 24:5 89:14 200:2 shortcut 77:20 shortly 123:2 shot-through 209:20 show 33:11 37:8 41:23 159:25 160:10 165:22 224:21 230:18 231:11 233:19,21 234:5,8 254:2 showed 50:11 87:18,19 89:3 90:10 93:9,19 128:2 207:7,8 245:21 248:24 249:11 255:8 showing 19:6 shown 37:21 45:17 48:18,20 51:20 110:15 234:25 shows 33:13 35:8 36:25 37:18 42:20 111:6 130:20 175:16 side 33:25 34:25 35:4 35:11 38:3 39:16 40:11,16 41:14 45:22 46:9,11 49:18,19 54:16 93:22,25 118:1 179:7 206:13,18 210:11 235:4 249:2,3</p>
---	---	--	---	--

<p>249:11 sidetracked 118:13 Sigma 90:6 252:1 sign 42:10 94:23 signatures 108:9 significance 51:10 76:7 78:1 significant 51:17 120:8 144:19,24 significantly 50:9 112:14 signify 93:11 silicone 247:4 similar 32:12 42:7 58:11 82:22 90:8 102:16 191:5 248:24 249:10,17 250:13 253:3 254:8 255:9 similarity 73:4 250:7 Similarly 126:4 simple 31:2 simplicity 79:16 simplifying 244:12 simply 30:9 31:9 33:1 34:15 36:9,15 51:4 59:16 78:6,8 87:23 135:24 228:21 235:21 236:11 since 15:6,15 24:18 26:20 28:9 30:6 58:21,24 59:1,11 60:5,9 61:11 69:8,8 70:25 83:7 85:1 99:11,12 100:15 102:18 105:3 161:7 168:9 170:17 172:5 184:4 185:18 186:7 186:21 194:1 230:20 234:16 246:8 sir 10:3,12 11:1 12:1 13:24 18:4 20:25 22:13 28:3 76:5 81:21 124:2 125:17 125:25 136:12 137:19 138:8 146:14 165:16 sitting 4:14 240:22 situation 6:10 situations 208:9,15 six 18:15 84:2 106:3 248:19 skilled 138:12 205:16 208:20 skillful 65:23 205:2 skillfully 180:6 skills 52:20,21 skip 107:12 slams 36:3 slant 231:25</p>	<p>slide 30:15 33:23 34:14 35:21,22 41:23 42:1 42:2,15,18,19,20 43:6 46:25 48:1,13 56:5,15 61:10,19 88:2 89:5,13,15 slides 17:9 30:8 52:12 87:18 89:19 90:10 91:12 93:11 97:6 128:2 132:16 145:20 182:12 sliding 34:20 slip 59:21 slipping 218:11 small 15:16 51:4 91:18 92:2 104:12 105:4 small-number-of-stu... 15:16 smashed 100:21 Smith 90:6,7 93:18 244:18 245:1 246:2 252:1,10 254:11 smooth 44:23 92:9,12 255:1 societies 131:15 Society 12:17 131:3 187:17 SOFS 139:22 242:8 243:2 soft 104:17 246:25 softer 33:9 89:20 sole 149:6 solicited 220:18 solid 110:25 216:5 217:19,20 218:2,6,25 219:2,7 some 4:21 5:1 11:15 13:19 17:6 18:14 21:7 29:19 30:7,8,16 30:22 31:3 32:20 33:11,17 37:12 39:25 41:9,20 42:25 43:1 47:4,12 48:8,14 49:2 49:12,17 54:11,19 57:23 58:4 61:20 62:15 65:2,3 67:21 68:14,15,20,21 74:25 75:5,6,6,6 76:8 84:10 87:4,21 89:23 90:25 91:25 93:2,6,14 96:4 100:21 103:9 104:20 108:21 114:7 115:2 123:12 127:20 128:1 128:18 134:20,20 136:23 137:10,12 138:20 140:4,5,5,7 143:5 144:5 146:24 147:4 151:11 152:2 154:3,18 155:16</p>	<p>156:5 160:3,17,20 161:24,25 162:5,7,8 163:21,22 169:13,23 170:2,5 175:17 179:8 181:15 186:3,15,24 188:19,22 189:25 190:3 193:24 196:14 196:16,18 201:9,22 202:10 210:17 212:21 215:14 231:3 238:23 242:17,25 243:17 244:1 245:19 245:19 somebody 9:7 22:10 26:6 44:4 68:25 77:20 82:2,5 85:4 156:19 167:25 199:12 200:4 209:10 227:2 238:12 somehow 116:2 211:3 232:25 someone 25:23 103:6,6 156:8,18 167:9 169:9 something 9:4 11:9 30:2 32:1 47:8 66:7 68:6,25 75:14 86:14 103:2 106:22 120:7 157:4 159:25 162:13 163:3 164:23 216:14 219:17 238:25 244:9 250:12 sometime 97:4 sometimes 27:11 87:25 91:17 92:7,11,14 202:2 235:13 241:19 Somewhat 201:8 somewhere 88:14 170:24 209:9 soon 6:9 sorry 21:3 27:19 42:15 56:4 108:14 123:15 156:13 165:1 180:2 183:7 197:22 216:15 222:15 233:24 256:5 sort 62:5 93:3 117:8 160:24 162:8 202:10 237:3 243:17 250:24 sorts 38:23 61:22 sought 220:20 sound 52:16 86:18 113:16 195:2 sounds 122:10 source 39:9 144:9,11 174:20 190:15 sources 60:18 South 16:2 230:4 so-called 195:3 space 140:11 spaced 40:14 249:12</p>	<p>speak 13:1 18:4 29:12 65:11 169:2 179:24 speaker 18:2 speaks 114:21 special 3:10 20:4 69:1 98:25 speciality 141:10 specialized 15:8 52:19 58:25 specialties 23:12 124:7 174:15 185:21 specialty 128:8 specific 6:21 30:22 108:13 110:12 112:24 119:3,4 123:9 125:10 138:4 151:10 154:25 172:7 219:25 226:19,21 244:6 specifically 67:4 70:1 76:20 122:3 157:22 212:15 225:1 244:20 specifics 7:3 152:8 177:14 specify 126:6 specimen 39:8 specimens 108:6 209:10,12 speculations 140:19 speech 235:8 spell 9:25 spelled 70:20 spelling 113:13,14 spells 70:15 spent 241:16 spent 223:9,24 232:21 spin 114:22 spirited 27:10 split 140:4 spoke 18:5,7 169:24 spoken 130:25 staff 223:24 stage 173:5 stamp 205:9 stamped 247:21 254:8 255:5 stamping 34:19 91:9 253:17 stampings 255:24 stamps 55:25 stand 87:5 111:9 240:6 standalone 24:9 standard 26:17 28:2 60:17 64:3 126:18 130:17 139:2 202:15 standardize 85:16,18 126:16 standardized 52:20 103:3 126:25 standards 11:22 17:22</p>	<p>21:21 24:4,5 26:19 27:12,15,16 28:1 51:8 53:16 63:13 64:10 73:24 77:3 80:8 128:13,19 129:4 129:21 134:24 137:2 137:21 138:24 standing 239:19 standpoint 82:15 215:17 218:22 stands 244:21 start 31:20 59:7 81:10 85:6 89:7 103:18 109:12 254:24 started 6:19 10:13 13:13 23:6,22 24:18 28:9 60:6 61:12,15 67:21 93:22 106:6 194:3,4 202:5 213:1 213:4 216:8,9 239:18 249:2 255:17 starting 37:4 103:7 starts 175:8 state 9:25 23:14 28:12 73:24 80:8 195:25 204:12 214:19 215:2 stated 71:14 145:11 169:15 189:1 191:11 221:7 statement 133:7,11,14 136:1 164:18 165:4 177:22 182:7 183:1 183:22 187:25 222:4 225:8 226:8 229:20 231:22 232:2 233:10 statements 82:25 108:21 109:8 120:6 121:13 126:16 135:1 162:25 224:20 states 1:1,4,13 2:3 3:8 61:4 97:9 102:4 108:5,10 109:20 115:22 118:7,18 123:21 203:17 224:25 227:1 257:7 stating 230:5 231:21 stationary 36:12 89:3 statistical 109:16 183:15 185:24 statistically 185:18 195:1 statistician 180:11,16 statisticians 223:2 statistics 185:15 stay 48:1 61:10 157:15 Steel 55:25 stenotype 1:18 step 81:22 steps 80:11 110:5 173:4</p>
---	---	--	--	---

<p>step-by-step 81:7 86:24</p> <p>stereo 207:5,25</p> <p>stereomicroscope 247:13</p> <p>still 22:4 38:24 40:23 59:21 78:13 89:17,18 89:18 90:15 108:8 117:11 139:6 199:21 200:5 203:4 232:4</p> <p>stop 111:22 254:24</p> <p>stopped 113:13 255:17</p> <p>straight 35:19,25 91:2 212:14</p> <p>straightforward 188:23</p> <p>straightforwardness 79:17</p> <p>Strathelyde 86:25</p> <p>street 2:7 235:19</p> <p>strength 18:21 89:16 104:5 105:8</p> <p>strengthening 123:20 124:14</p> <p>stress 60:3 117:10</p> <p>striae 87:6 99:9,10 192:23 193:19,22 199:9 202:23</p> <p>striated 33:3 34:19 35:7 73:11,13,15 90:25 91:7,14,17 92:4 193:1 210:16,18 249:2</p> <p>striation 192:22 194:19</p> <p>strictly 209:16 235:24</p> <p>strike 120:14,18 154:13</p> <p>strikes 36:5,6,12 89:6</p> <p>strong 44:7,10 78:4</p> <p>struck 79:16</p> <p>student 10:13 103:3</p> <p>students 15:11,12,16 15:24 16:18 17:14 57:19</p> <p>studied 98:24,25,25 194:13</p> <p>studies 21:22 53:24 54:12,19 55:6,8 56:7 56:16,20,24 57:1 61:21,21 63:6 72:4 75:5,5,7 77:8,11,13 78:10 81:3 94:8,25 95:9,10,16,17 98:16 99:12,20 142:5,16 143:9 164:19 165:5,9 165:10,13,15 166:2 192:15 197:17,23 198:16,21 199:18,24 200:5,8,10,15 201:6 209:1,24 212:4</p>	<p>213:18 214:14,17,23 215:4,8,12 217:9 218:23 227:13 228:8</p> <p>study 52:17 54:17 55:12 56:2 61:20 63:11 66:2,16 96:18 96:25 97:1,8,15,17 98:6,11 99:11 106:13 106:17 111:19 112:14,21 186:13 192:14 197:25 199:19 212:19,20 248:14,16,20</p> <p>studying 194:3,4</p> <p>stuff 17:14 243:9</p> <p>subcategories 46:20</p> <p>subclass 24:7,9,11 39:23 40:8,10,13,19 40:22,24 41:2,7 42:5 43:3 46:4,5 47:18 72:16 90:1 93:6,12 93:16,20,21 94:3,7,9 94:11,17 95:1,7,9 104:3,7 183:25 184:6 189:24 190:4 245:10 247:6 248:2,5 249:1 249:5,22 250:1,19 251:5,10 252:13,14 252:22 253:2,20 254:8 255:9</p> <p>subclasses 252:19</p> <p>subcommittee 131:25 139:11,14,21 242:7</p> <p>subcommittees 139:25</p> <p>subfields 174:17,21</p> <p>subject 15:20 16:4 18:16 22:24 24:7,9 28:15 32:25 53:13 55:15,21 122:11 142:12 187:12,13 209:12</p> <p>subjected 102:22 185:25 187:6</p> <p>subjective 51:8 52:1,6 185:23 192:20,21 194:25 197:17 198:2 202:21,21 203:8,16 203:17,18 204:1,13 204:22 207:16,17 208:13</p> <p>subjectively 134:1</p> <p>subjectivity 52:9,11 183:18</p> <p>submit 132:3 158:15</p> <p>submitted 13:15 31:23 68:11 124:11 131:25 135:4 143:16 144:13 144:20 155:14 158:24 159:11</p>	<p>160:18 224:10 228:18,22,22,23 229:2 231:19 236:20 242:5,10,14 243:1,10</p> <p>submitting 67:25 160:8</p> <p>subscribe 193:9</p> <p>Subsequent 76:17</p> <p>subsequently 171:5</p> <p>subspecialties 140:6</p> <p>subspecialty 102:6</p> <p>substance 5:6,9 178:17</p> <p>substandard 202:2</p> <p>substantial 220:24</p> <p>substantially 221:1</p> <p>subtly 187:19</p> <p>success 172:25</p> <p>successfully 26:3,9 66:2</p> <p>succinct 7:1 29:13</p> <p>sufficient 38:12 46:3 48:18 51:15 52:1 111:12 173:2</p> <p>suggest 8:13,18 82:5 155:17 215:24 217:14</p> <p>suggested 155:16</p> <p>suggesting 202:11</p> <p>suggestion 163:15</p> <p>suggestions 161:14</p> <p>suggests 172:20 210:14</p> <p>Suite 2:7,11</p> <p>summaries 77:25</p> <p>summarize 30:9 31:6 86:13 109:6 136:18</p> <p>summarized 56:21 75:4,6 77:11,19 214:13</p> <p>summary 7:18 12:24 20:10 21:7 24:14 56:6 61:6</p> <p>supervised 129:16 198:1 199:20</p> <p>supervisor 10:22 149:12 187:5,11</p> <p>support 14:22 53:22 56:7 81:3 117:19 141:13 179:15,21 200:16 223:20 225:4 225:14 235:20</p> <p>supported 84:5 121:14 121:21</p> <p>supporting 134:2</p> <p>supports 53:19 111:13</p> <p>supposed 124:14 164:23</p> <p>supposition 173:1</p> <p>suppositions 173:10</p> <p>sure 6:7 20:21 29:23 60:4 85:3 96:1</p>	<p>113:14 115:14 146:9 153:20 154:7 160:2 163:18 164:1 173:19 177:3,25 216:20 224:23 236:23 242:17,24 245:25</p> <p>surface 34:10 36:1,3 38:19 44:13,21 51:14 66:18 88:18 90:22 92:19,21 246:19,20 247:6,10,14,20 249:8 249:15 255:6</p> <p>surfaces 38:25 39:1 43:10 72:19,22 88:6 92:15 249:9</p> <p>surmise 221:12</p> <p>surprise 13:5</p> <p>surprised 226:6</p> <p>surround 35:3</p> <p>surrounding 28:20 36:22 89:9 153:15</p> <p>survey 106:3</p> <p>surveyed 194:5</p> <p>suspicion 137:17</p> <p>SWGGUN 27:24 28:1 30:18 58:2 63:22 129:8 141:22</p> <p>SWGGUN's 141:24</p> <p>sworn 9:22 238:9</p> <p>SW40VE 252:1</p> <p>synonymous 72:24</p> <p>system 12:14 13:5 68:14 79:18 172:9 231:6 233:12</p> <p>systematic 31:10 192:4 201:5</p> <p>systematically 172:23</p> <p>S-A-K-S 70:20</p> <p>S-I-G-M-A 90:7</p> <p>S-O-F-S 139:22</p> <p>S-W-G-G-U-N 27:25</p>	<p>taken 48:23 64:18 110:5 122:20 200:20 219:15 235:18</p> <p>taker 100:4</p> <p>takers 62:21</p> <p>takes 27:8 73:8 103:4 164:19 165:5 166:2</p> <p>take-home 250:14 254:9,14</p> <p>taking 62:15 114:12 138:25 188:14,21</p> <p>talk 31:7 32:21,23 51:8 66:10 69:19 76:25 130:3 137:19 146:20 191:20</p> <p>talked 6:19 95:7 99:15 107:12 120:8 127:22 130:16 151:19 152:15,16 201:3 210:5 217:24 225:20 254:4</p> <p>talking 16:19 65:17 79:9 84:19 86:22 87:10 92:21 96:16 115:14,15,16,17 123:5 131:8 136:19 137:20 138:2 163:16 165:13 181:7 182:4 188:20 190:21 202:5 208:11,12 214:5 237:3 238:19 252:22</p> <p>talks 121:3 251:8</p> <p>tame 183:20</p> <p>task 22:2 133:25</p> <p>tasked 212:15</p> <p>tasks 110:12</p> <p>taught 15:1,3 59:7</p> <p>tax 14:21</p> <p>teach 14:10 16:23 17:17 58:4 80:4 190:6</p> <p>teaching 13:13 14:25 15:8 58:16 182:18,22</p> <p>teachings 14:13</p> <p>team 80:4 190:6</p> <p>teams 15:12</p> <p>teardrop-shaped 90:17 91:6</p> <p>tearing 42:22</p> <p>technical 12:10,14,19 17:1 22:7 52:20 59:23 63:23 102:19 102:22 108:1 138:11 205:2,15</p> <p>technique 57:5</p> <p>techniques 58:25 71:22 183:13 215:5</p> <p>technologies 127:16,17 173:15 174:10</p>
T				
			<p>T 221:16</p> <p>Tab 173:24</p> <p>table 4:14 81:7</p> <p>tabulate 193:14</p> <p>tabulated 186:17</p> <p>take 4:25 7:1 8:15 26:1 26:4 62:1 64:14 68:4 86:11 101:10 102:4 102:12,12 103:7 108:4,6 115:4 116:17 122:12 136:2 137:4 146:6 163:8,24 165:14 167:18 196:22 200:17 207:22 213:23 227:11 245:16 247:8</p>	

<p>182:18,23,24 technology 17:22 18:10 58:19 105:12 185:24 tell 6:15 7:14 14:15 20:12 23:5 54:7 65:5 125:21 139:13 167:4 167:5,11 203:25,25 207:9 208:24 216:9 233:22 244:23,24 telling 229:23 239:5 tempting 186:6 ten 10:23 15:23 tenant 111:20 tentative 31:25 32:4 81:16 83:12 Teresa 3:13 terminology 29:3 85:17 126:2,16,25 terms 7:2,7,15 8:10,16 11:8 16:20 29:5 63:3 67:8 69:25 77:4 78:24 94:25 103:9,11 103:14 119:2 126:12 128:19 130:4 131:18 132:5 133:19 135:14 138:3,4 139:16 141:3 141:15 151:17 153:10,24 156:20 167:9 170:14 188:25 193:8 220:13 232:14 test 26:2,4 32:2 45:1 52:10 55:1 62:1,4,8 62:15,18,19,21 73:23 80:7 82:19 83:20,25 97:24 98:12 100:4,16 102:5,8,16,23 103:3 103:7 108:6 111:8 172:23 185:13 186:18,23 187:1,4,12 187:19 190:15 248:23 253:11 255:5 testability 53:18 testable 32:14 53:11 64:5 tested 62:2,7 100:6 173:10,12 testified 4:10 8:7,9 28:4 28:6,10 155:12 169:13,17 183:23 184:8 188:13 189:12 201:10 231:17,20 242:4 245:4 252:4 testify 53:10 119:9,13 146:17 191:3 203:24 218:3 224:5 testifying 5:4 28:14 126:3 173:18 testimony 4:6,13,18,25 4:25 5:3,7,9,23 8:6</p>	<p>8:14,15 19:18 23:8 23:20 109:24 112:25 113:24 115:5 121:14 137:12 143:11 152:1 152:5 169:19,22 175:23 178:25 184:12 191:21 198:21 220:18,22 221:22 223:10 232:2 232:11 236:9 237:10 244:17 246:1 248:14 252:7 testing 32:3,5,7,15 61:2 63:16 64:8 98:14 121:16 128:10 129:16 140:9 182:23 186:4 192:11 tests 61:3 62:4,14 66:3 83:18 99:24 100:1,5 101:18,23 102:1,7,20 102:22 105:16 186:11,22 188:1,7,8 188:14 189:4,14,19 190:7,14,18 210:4 text 196:15,19 216:19 228:7 thank 5:21 8:20 9:9,15 19:25 20:23 28:25 30:5 35:23 64:23 65:12,13 69:15 113:17 115:9 119:14 123:1 125:1 146:2,10 151:4 159:12 183:10 200:25 220:8 Thanks 103:18 their 8:10 9:1,2 21:13 21:14,16 22:11 24:20 39:13 52:23 54:17 57:20 58:1 59:24 60:4 69:3 73:8 74:13 93:3 98:1 108:20 110:3,10 112:10 119:24 120:6 128:19 131:15 133:11,24 135:6 136:1 137:11 137:13 138:22 140:2 141:9 156:19 164:20 165:5 166:3 169:1 172:15,23,24 173:9 177:21 181:20 182:24 183:20,24 186:17,22 190:9 192:17 198:23 203:8 203:19 211:4,11 212:9 213:1,5 214:20 218:22 233:15 238:6 238:13,18 themes 214:23 themselves 71:21 72:24</p>	<p>85:5 91:20 130:25 183:19 185:14 219:15,17,23 220:4 theoretical 75:6 137:7 theories 31:13 32:11 111:8 theory 32:10,14 51:12 51:24 53:2 56:17 57:2 63:25 65:19 75:10 77:3,5,6,9 78:6 78:21 80:23 84:17 85:12 109:19 111:5 137:20 198:22 199:25 200:16 203:8 THERESA 2:9 thesis 13:16 22:21 86:25 97:3 98:22 194:9,13 the-microscope 205:10 thing 6:16 17:19 19:12 66:21 81:11 111:6 172:8 204:21 207:21 210:3 241:25 249:13 253:23 things 6:13 21:10 30:22 31:1,11 32:21 65:3 66:9,15 69:17 77:2 87:4,6 92:23 96:18 99:5 128:23 130:18 138:4 146:15 156:2 164:9 170:3 180:7 181:15,18 183:25 188:6,14 191:20 196:14 205:4,17,18 212:22 234:23 240:1 250:22 think 5:8 25:6 29:12 30:11 40:5 54:4 56:2 67:16 69:10,11 71:7 82:13 93:14 94:2,3 94:12 97:4,21 98:24 101:13 103:5 105:19 107:2,4 114:10,17 115:6 118:3,7,12,14 119:9 120:7 130:7 132:15 134:17,18 140:14,20 144:23 145:11 146:6 151:25 155:21 156:7,17,18 157:4,10,11 158:8 159:24 161:6,11 162:15 163:13 164:2 167:7,20 168:1,17 169:4,8 170:24 171:25,25 175:10 176:3,24 177:7 178:5 179:14 181:4 184:3,8 188:3 189:6,8,9,22 190:17,18,19 192:12</p>	<p>193:10 194:15 196:9 196:15 199:7 201:10 202:9 205:19 209:2,9 210:8,9,10,16,17 213:23 217:20,25 218:8 224:16,19 226:7 227:8 231:15 231:22 235:1 238:1,8 241:14 243:16,20 245:4,16 252:18 255:12,15 thinks 114:20 third 21:17 43:7 46:21 73:1,17 80:22 109:12 112:21 Thompson 63:11 74:8 Thornton 185:12,16 Thorough 80:1 thoroughly 79:19 138:15 Thorpe 86:9 though 16:24 50:2 63:6 109:19 111:4 135:9 176:18,23 177:8 180:17 181:20 195:3 217:12 225:15 254:7 255:2 thought 44:7 67:22 78:1,12 85:2 113:13 161:14 193:8 219:14 226:4 229:14,18 249:14 three 4:1 24:21 26:2 39:5 46:20 61:15 71:12,14 72:5,12 74:20,23 76:12 109:8 170:21 206:3 212:1 213:2 three-dimensional 18:11 three-year 148:22 through 7:2,5,17 11:19 22:17 29:10,14 32:15 45:20 46:8 54:14,24 59:21 60:3 61:18 66:1 67:15 72:14 73:6 80:17 81:6,14 92:16 106:7 143:2 145:4,4,6,6,14,15,17 145:23,24 157:25 158:23,24 197:14,15 197:20,22 206:12,20 206:22,25 207:1 215:15 225:23 226:2 228:25 250:24 253:1 throw 234:19 throws 36:13 thrust 114:4 248:20 time 5:1 13:15,18 28:17</p>	<p>29:1,12 38:21 63:7 64:14 71:2,4 72:10 85:10,15 90:5 98:14 107:6 108:9 114:1 122:12 132:24 133:3 135:25 136:2,3 139:16 143:3,3 145:5 163:22,24 166:21 168:3 173:1,11 176:24 177:4,10 186:9,20 193:24 194:1,15,16 195:10 200:18 219:21 220:5 222:20 223:9 239:13 241:17 247:8 256:3,6 times 20:4 28:3,7,10 188:12 time-honored 173:15 174:10 tip 39:21,22 41:13,21 41:25 42:11 43:11 tire 174:23 title 10:5 124:13 170:4 196:8 238:24 titled 59:2 tmd@fbdlaw.com 2:10 Tobacco 11:3 today 3:9,17 122:14 130:20 136:24 155:12 169:15 203:4 toes 156:20 together 56:18 89:15 157:17 214:23 240:25 token 247:16 told 7:12 140:16 141:9 167:25 168:3 169:23 212:13 244:23 tomorrow 4:4 5:5 76:21 256:7 tool 33:5,7,7 34:17,23 38:14 39:1 41:6,7,20 41:24 43:10,13 44:13 47:25 49:20,25 51:22 60:21,22,24 72:19 87:22 88:6 90:21 92:21 103:20 107:8 186:12 245:5,12 247:6,20 249:8,9 255:6 tooling 96:19 255:18 toolmark 6:21 10:6,19 11:6,13,17 12:2 16:7 17:5 22:14,20 24:17 24:25 25:16 28:5,19 31:4 32:19,25 35:12 38:8 43:13 51:7 52:5 57:12,20 59:12 60:13 60:21,23,24 61:9,23</p>
--	---	---	---	--

64:2 65:23 69:23 70:2 73:23 74:14 75:23 80:2,7 81:4 86:4 91:7 94:6 103:11 105:14 110:6 112:3,6,8 116:3,15 117:5 121:4,13 124:25 125:3,11,15 126:8,14 127:18,25 128:21 130:5,21 132:7,14 133:5,10 134:3,9,13,16 136:8 136:24 137:8,23 138:6 139:5 141:18 142:16 144:6 147:8 153:8,24,25 154:15 166:12 170:20 171:16 179:13 185:22 194:9,20 197:8,10 218:4 225:12 226:13 227:16 229:9 232:16 237:4 238:7,13 239:1 240:10 247:14 toolmarks 6:23 14:4 15:9,13,25 16:11 18:6,12,13,21,23 19:2,5 20:7 25:3 28:8 28:11,23 30:18 34:15 34:22 35:7 37:1 38:10 40:23 41:4,8 41:21 43:2,24 46:7 47:22,24 48:4,6,10 48:16 51:5,13,15,18 52:7,18 61:16,18 71:25 72:23,25 73:2 73:7,9,12,13 81:2 87:19 88:11 106:6,24 108:23 110:14,15,23 111:16 112:16 120:2 149:25 151:7 152:17 154:8,25 164:12 171:8 172:11,11 174:23 179:25 181:8 182:8,13 197:11 207:8 214:15 216:3 217:17 221:4 244:9 247:2,15,19,21 248:11 249:4 254:1,8 254:16 tools 33:6,11,11 34:3,8 34:9,11,11 38:11,11 38:20,22 39:25 41:3 47:23 48:4,7,10 49:14 51:19,20 53:6 53:24 54:13 55:11,19 55:20 66:6,18 72:22 88:4,6 95:24 96:3,4 110:8 111:15,17	128:8,15 197:12,14 top 37:18 46:18 47:15 174:7 topics 152:13 183:19 topography 18:22 total 10:11,23 28:6 51:1 53:5 97:21 117:13 touch 7:18 touched 65:3 towards 13:12 36:5 162:25 Trace 72:24 traces 71:21,25 72:24 track 165:1 tracking 181:2 traditional 208:13 Traditionally 197:7,8 train 16:2,22 training 11:16 12:25 15:15,24 65:19 144:6 197:25 199:20 214:17 training 11:8,9,11,15 11:19,22 16:5 21:16 27:17 52:19,21 63:25 66:2 72:4,8,11 73:6 128:16,20,25 130:17 134:23 140:5 148:19 148:23 149:1,17,23 150:1,3 177:6 182:11 196:18 203:19 214:19 trainings 14:13,16 transcript 1:10,19 257:3,5 transcription 1:20 transfer 38:17 40:20 56:9 transferred 13:8 45:13 93:7 transitioned 97:17 transport 144:9 treat 186:15,19 tremendous 247:1 tried 44:7 trouble 96:1 146:21 true 22:15 62:4 117:11 154:12 155:6 169:16 173:11 176:1 195:3 198:14,14 208:19 238:15 254:14 trustworthy 82:10 truth 204:14,20 205:22 224:17,22 229:23,24 231:11 233:17 235:10,11,14,24 truthful 231:25 232:1,3 try 22:5,5 38:22,23	65:11 69:13 104:7 120:19 156:1 157:14 191:18 234:22 235:10 trying 83:16 152:1,18 156:20 163:24 175:15 216:12 217:8 218:7,7,11 231:2 235:19,20 tuition-free 13:4 turn 6:6 19:21 44:8 214:8 222:14,15 turned 173:8 turns 30:1 twist 39:15 45:20,22 twists 50:12,13 two 16:12 17:5 22:22 24:20,21 32:22 33:8 34:17 38:9 48:6,20 49:24 51:14 52:7,17 52:24 56:21 72:3 73:1,12 74:20,22,24 75:3,4 76:12 82:21 84:23,24 87:6 91:23 96:18 99:5 104:12 110:9,10 128:23 141:20 149:14,16 158:9 168:12 178:9 190:10 193:8 205:25 208:10 225:9 229:7,8 248:23,23 249:9 250:7,10,12 253:13 253:21 two-part 26:6 215:1 two-volume 23:6,22 type 6:25 14:15 35:12 59:22 68:10 89:12 102:23 105:7 121:8 153:24 198:16 243:14,19 246:13 249:1 types 34:17 66:17 94:11 111:16 133:22 141:3 220:16 238:19 typical 50:19 typically 173:1 typing 183:13 T-H-O-M-P-S-O-N 74:9	uncertainty 127:14 unchanged 93:24 249:3 uncharacteristic 100:19 uncommon 91:13 under 37:11 73:24 80:8 98:23 148:19 149:18 153:3 171:8 205:13 207:5,25 247:11,13 undergo 52:20 underline 202:21 Underlying 110:12 underpinning 133:20 220:14 227:16 229:10 underpinnings 133:9 134:2 135:5 141:14 153:2 171:24 225:15 underscored 188:11 understand 52:22 148:1,20 168:10 178:4 183:20 184:12 226:24 231:11 249:21 understanding 4:1 23:9 101:19 114:3,25 151:16,21 152:18 153:23 193:3 243:14 understood 115:17 167:18 undertook 222:21 unfired 36:18 87:22,22 88:3 92:17 unfortunately 136:23 138:20 196:22 228:23 uniformity 142:7 uniformly 249:12 unique 47:19 51:14 71:19,21 72:18,22,23 93:14 110:14 255:6 uniqueness 52:23 110:22 112:15 214:15 216:2 217:16 unit 3:10 191:22 196:18 United 1:1,4,13 2:3 3:8 61:4 97:9 102:4 108:5,10 115:22 118:18 123:21 257:7 units 13:12 universities 57:11 university 13:8 86:25 98:22 172:9 195:13 unknown 8:10 unknowns 97:7,21 209:4 unless 115:4 unlike 114:9	unlock 90:17 unlocks 89:17 unparalleled 46:14 unreliable 52:8 unscientific 52:9 unsolved 22:4 unspecified 172:13 177:19 178:1 unsuitable 46:18 51:3 unsystematic 172:13 177:19 178:1 until 61:7,13 89:5 97:25 206:11 unusual 208:18,19,23 244:9 update 11:22 144:15 upper 37:2 up-to-date 17:14 USA 3:4 122:23 usable 51:4 use 4:16 7:11 15:11 21:14 22:1 36:17 37:12,13 42:12,17 43:1,8,17 45:1 46:2 46:10 47:3 73:16 79:14 80:13 101:5,7 104:2 180:6 188:1,7 188:10,15 192:23,25 193:1,13 254:1 used 8:8 11:11 15:3 32:11 34:12 42:23 43:12 44:4,6 45:14 56:22 62:5 63:17 66:17 68:12 81:13 96:22 99:4 103:24 108:10,13 110:2 126:2 147:23,24 161:2 186:3,11,13 187:8 190:24 191:3 193:4 195:4 199:8,13 219:12 229:16 255:19 useful 115:11 234:5 user 109:25 uses 80:15 193:13 using 184:13,19 195:14 204:22 207:16 248:22 usual 204:1 usually 26:5 43:23 63:20 82:24 88:11,14 91:10,16 93:2 95:17 158:14 186:21
U				
UC 13:17,22 22:22,23 Uh-huh 149:13 169:7 197:16 213:3 253:10 254:18 ultimate 204:14 unacceptable 191:8 unacceptably 191:4,6 unanimous 223:7				
V				
vague 63:3 valid 198:3 validated 32:15 53:2 validating 210:1				

<p>validation 53:23 75:5 111:13 128:9 209:1 validity 61:20,20 63:6 97:8,17 112:8,17 116:14 117:4,13 118:24 127:5,9,23 172:24 181:23 212:17 223:20 225:5 240:24 valuable 134:11 valuation 164:21 value 71:7 177:1 180:17,21 194:24 197:24 199:18 244:24 245:1 variation 49:21 183:14 varied 220:25 variety 8:6,7 10:16 59:12 130:17 140:3 232:19 various 12:8 14:17,17 15:13 21:25 23:11 25:20 36:10 37:1 55:11 61:22 62:3,11 63:7 94:11 105:3 157:25 207:8 vary 67:12 vast 210:14 VE 244:19,21 246:3 verbatim 54:3 verdict 112:15 verification 205:10 verified 206:21 verify 206:25 verse 213:18 version 15:20 158:19 159:10,10 160:9,12 165:2,21,22,25 versus 3:5 115:22 118:18 122:23 very 11:25 12:13 13:19 13:25 19:13 46:13 49:8 50:19 58:2 63:20 65:22 66:12,12 66:20 67:20 69:7 70:23 71:4 73:18 77:24 78:13 82:13 84:8 86:9,23 87:2 88:13,16 90:4,8 91:23 92:3 93:11,19 100:16,20 102:10 103:24 110:17 111:2 113:7 120:7 132:5 137:16 138:24 143:9 150:3 151:17 153:22 177:14 188:18,19 198:15 200:4 208:19 208:22 212:21 215:12 229:12</p>	<p>235:23 245:3,6 247:7 249:1,10,16 255:1 view 33:14,24 34:2 37:17 38:3 40:15 41:12 42:3 46:9 72:7 107:1 189:3 195:12 199:21 203:9 224:8 232:1 236:3 241:1 viewpoint 176:18 views 33:17 169:22 179:17,17 241:3 violation 4:20 violations 129:25 130:1 virtually 92:8 93:24 105:20 124:6 186:7 225:14 249:3 visible 36:4 visually 19:9 vital 12:21 voice 174:22 volume 168:14 216:24 volumes 4:12,14,15 5:2 voluminous 115:7 159:20 volunteer 241:15 vs 1:6</p> <hr/> <p style="text-align: center;">W</p> <p>Wait 214:1 230:12 walk 253:1 walked 4:21 19:16 wall 64:16 Walnut 11:4 want 21:14 63:4 68:4 87:15 88:1 92:25 102:14 148:7 158:15 163:11 165:22 177:11 201:2 217:23 246:18 247:8,23 248:1,5 wanted 19:17 21:12,25 22:10 33:19 54:25 58:22 130:6 162:4 231:11 233:20 234:8 234:8,25 wanting 77:21 wants 71:8 100:14 war 14:7 Warbel 2:3 3:9 warning 94:23 Washington 3:10 wasn't 54:22 169:19 170:16 181:25 198:18 206:6 219:17 233:21 236:6 241:10 253:20 way 31:10 37:21 42:6 43:16 44:5,10 51:25 59:18 72:20 76:14</p>	<p>78:14 81:9 82:14 85:1,23 92:17 93:24 95:18 100:22 101:16 102:20 106:14 109:23 113:2 137:12 139:3,17 141:11 173:7 188:15 204:3 206:17 215:15 225:16 228:23,24 247:5 249:17 ways 39:14 73:2 100:9 208:24 weapon 110:16 243:15 243:19,23 244:6 246:2,4,13,18,22 247:24 250:25 251:25 252:4 weapons 243:23 244:8 wear 39:2 43:17 44:2 wearing 38:21,24 Web 166:15 website 240:4 week 16:14 weeks 17:4 weighing 179:7 215:23 weight 116:17 119:7,10 well 3:16 8:12 10:10 11:2,11 12:4,11 13:2 14:17 21:11 23:6 24:1,3 25:24 28:6,19 28:23 58:5 65:21 67:10,22 69:17 72:14 74:24 76:6 79:10 81:10 84:23 86:9,15 87:18 89:17 95:17 96:17 97:10 98:16 106:1,19 109:8,10 110:10 115:13 117:1 120:6 121:10,21 122:10 124:5,13,20 125:9 126:11 128:23 130:6,23 131:17 140:3,22 141:7,20 147:17 148:10 151:25 153:13 157:11 158:17 159:24 161:2,3 167:1 167:9 168:5,7 169:3 169:23 170:16 172:6 174:14 175:23 188:10 189:5 194:3 196:15,18 198:18 199:10,17 204:25 206:2,4,7 207:15 208:24 211:8 212:9 214:1 215:15 216:8 216:22 220:3 221:6 230:17 231:8,15 232:23 233:5,19</p>	<p>234:4,10,17,22 236:14 239:5 240:3 241:9 242:7 243:12 243:20 247:16 249:5 249:16 253:5 well-documented 121:22 198:4 well-established 134:12 well-intended 134:17 went 11:2,15 21:19 70:11 128:13 143:2 148:2,4 184:15 190:12 192:4 were 4:12 10:9,12,25 16:19 18:2 21:8 22:22 38:13 44:7,9 48:23 49:13,19 50:21 54:4,6,8,13 56:24 57:25 58:2 67:21 69:9 73:12 76:8,9,12 77:9,11 78:12,14 86:11,13 93:20,22 96:16,21 97:19,20 98:1,2,17,24 99:3,4 102:23 106:4,7,22,22 108:3,21,22 112:3,5 114:12 115:23 118:3 119:20,21,22,25 123:5,7,9 124:22,22 124:23 125:5,7,10,13 125:14,14 129:5 132:1,16 135:9,23,24 138:2,3 139:24,24,25 139:25 140:12 141:20 142:18 145:13 151:9,15 154:9 158:24 159:5 162:23 167:21 169:18,22 172:12 174:4 175:6 176:17 178:20,24 179:2 180:8 186:11,12 187:5 189:25 190:3 192:8 194:1,5 199:14 201:9,25 202:11 210:7,12,13,16,18 211:6,8,11,14 212:1 212:2 213:8,11 215:19 217:18 218:11 222:22 223:4 227:7,8,9 228:12,18 228:22,23 229:15 231:19 232:15 236:11 239:5 240:16 242:17,23,25 243:19 248:22 249:3,8,12,13 249:13 253:13,17,19 253:24 254:15</p>	<p>255:10,16,22,22,22 weren't 44:10,22 141:7 228:22 236:7 Wesson 90:6,8 93:19 244:18 245:1 246:3 252:1,10 254:12 we'll 8:15,16 9:19 24:10 30:3 64:14,17 101:22,24 122:19 154:7 200:17,19 213:23 256:7,12 we're 3:4,17 7:15 16:1 35:18,19,24 44:17 45:3 51:8 64:21 69:12 92:21 117:23 122:23 136:19 158:14 164:7 168:14 173:19 175:15 181:7 185:2 190:21 200:23 206:4 214:5 217:5 218:7,7 237:3 238:19 we've 95:7 96:8 127:22 128:18 130:16,23 225:20 241:1 whatsoever 151:24 233:4 Wheaton 13:2 while 213:7 230:22 White 139:10,13,21 whole 86:22 90:19 114:13 128:20 155:4 155:9 231:4 233:17 wholeheartedly 110:3 121:19 134:25 wholly 56:25 77:9 wide 10:16 28:2 152:12 153:6 widely 162:13 187:7 widespread 205:1 width 39:21 50:25 widths 39:14 wife 168:2 willing 135:15 153:9 winter 70:18 witness 4:8 7:8,22 8:11 8:15 9:22 20:19,23 28:22 30:6 69:15 114:25 115:14 118:6 120:16,22 141:1 146:3 153:12 159:23 214:9 231:9,12,17 232:7,25 233:7 234:20 235:7,15 witnesses 4:1 19:17 169:18 258:3 woman 167:18,21 woman's 168:11 women 124:21 word 7:11 47:3,11</p>
--	--	---	---	---

<p>165:14 178:19 217:20 wording 110:5 126:19 words 47:4 78:8 82:22 114:15 149:6 150:7 152:15 154:9 155:14 177:4 204:16 205:22 206:10 242:14 244:16 251:6 work 10:6,18 11:18 13:12,19 15:12 17:17 18:8,9,14 25:25 28:9 32:23 34:18 39:6 40:20,22 92:15 99:9 102:6,11 104:3,6 105:19,21 108:17,22 110:5,17 121:14,20 122:6 124:25 126:14 130:14 133:17 144:10 148:2,4,24 149:2,4,7 150:4,9,15 151:1,17,20 186:22 191:10 198:3,10 202:2 205:24,24 206:2 209:25 218:22 220:11 238:10 243:21 workable 30:2 worked 10:14,15 11:6 12:12 14:5 27:6 87:23 106:18 186:20 workers 95:22 working 13:12,14 27:23 30:17 34:10 38:19 39:1 41:3,5 43:22 44:13 49:25 59:5 62:10,10 65:6 66:18 72:19,22 82:2 88:6 90:21 92:19,21 102:15 103:23 129:7 137:16 140:10,15 142:2 159:22 192:8 217:22 237:21 247:6 247:14,20 249:8,9 255:6 workshop 16:14 workshops 15:19,21 16:3 world 53:5,6 worst 60:19 107:3 worst-case 55:9 worth 72:11 wouldn't 108:17 161:14 172:2 175:19 182:1 234:4 wound 78:9 wrap 123:2 write 27:1 67:24,24 69:2 86:9 146:16</p>	<p>162:3,4 171:6,18,19 172:17 240:17 244:10 writer 77:23 writing 86:13 161:4 168:21 172:16,18 180:25 250:16 writings 146:15 224:15 written 22:13,19 23:10 26:1,3,22 66:13 68:4 69:22,25 70:14 74:15 74:25 75:20 78:11,24 86:5 94:10 106:2 109:23 114:5,7 129:15 156:2,5 157:4 160:21,23 161:2 170:12,16,18 181:4,7 181:10 182:1 205:3 220:23 227:3 231:10 233:25 wrong 32:1,3 107:7,8 125:17 176:22 178:5 204:21 205:25 206:5 210:22 244:24,25 249:22 wrote 14:1 22:12,23 70:17 71:6 73:19 75:9 76:13,17 77:24 77:24 78:1,12 80:5 98:21 105:4 113:18 113:20 123:11 136:21 143:17,18,19 144:2 151:14 156:10 156:16 162:18 166:24,24 167:4,14 167:15,17,20,22 170:4 171:10,13,13 194:9,14 195:6,20,24 196:12 202:10 203:21 211:25 Wyant 63:11</p> <hr/> <p style="text-align: center;">X</p> <hr/> <p>X 258:1</p> <hr/> <p style="text-align: center;">Y</p> <hr/> <p>yeah 95:2 103:5 147:24 160:5 161:2 185:7 194:9 212:24 215:14 244:13 year 10:14 17:25 30:24 61:7 101:3 120:11 143:17 144:17 190:9 213:5 239:20 243:2 years 10:11,15,21,23 11:5,24 12:20 15:1 15:23 18:15 19:8 21:11,17 24:21,21 25:18,25 26:11,22</p>	<p>27:7,21 36:25 53:25 54:16 55:16,23 57:17 59:8 61:5,15 70:25 72:8,11 74:21 86:1,2 86:17 99:18 101:8 114:19 118:10 120:11 127:21 181:3 201:9,22 213:2 214:12 239:10 248:18,19 you-all 3:22</p> <hr/> <p style="text-align: center;">Z</p> <hr/> <p>Zen 79:2,10 zero 63:10</p> <hr/> <p style="text-align: center;">\$</p> <hr/> <p>\$175 150:23 \$340,000 148:18 149:1 \$60,000 46:14</p> <hr/> <p style="text-align: center;">#</p> <hr/> <p>#112 257:12</p> <hr/> <p style="text-align: center;">0</p> <hr/> <p>05 101:13 08 213:6 09 101:13 163:12 213:5</p> <hr/> <p style="text-align: center;">1</p> <hr/> <p>1 20:14 61:14 70:6 71:18 72:14 74:6 76:3 86:16 106:5 119:16 123:24,24 142:25 145:3,6,14,23 198:7 222:15,17 259:3 1-812 112:11 1.4 61:18 106:7 1.9 61:8 1:15 122:19 1:20 122:20 10 15:21 22:17 88:5 96:9 97:21 113:6 120:9,24 142:15,23 192:12,13 201:3 209:4 213:24,25 214:7 216:16,18 259:12 10-2734 1:6 10:24 64:18 10:44 64:19 100 2:7 57:16 85:25 86:2 100:25 101:11 127:21 11 98:4 259:13 12 10:15 16:8 88:6 106:23 126:22 136:15 227:11 228:1</p>	<p>228:2 259:14 12-31-12 257:12 12:00 37:8 90:18 91:6 122:20 13 105:5 109:4 123:16 125:7,13 145:4,6,14 145:24 259:15 14 20:5 144:12 145 259:3,4,5,6,7,8,9 259:10,11,12,13,14 259:15,16,17,18,19 259:20,21,22 146 258:5 149 175:8 15 11:5 22:17 64:17 97:20 122:15 145:4,6 145:15,17,24 197:14 197:14 200:19 207:22 209:3 259:16 150 174:6,7 175:7 152 182:20 153 183:3 16 4:19 16:8 23:19 157:9,11,23 158:19 160:18 164:14 165:3 185:2 259:17 16-hour 16:3 17 23:19 157:9 259:18 17th 30:23 18 79:6 112:10 259:19 180 206:16 19 22:20 56:18 95:5 145:4,6,15,17,24 259:20 1907 54:1 1930 105:3 1930s 104:10 1942 60:9 1953 14:1 1955 38:6 98:19 1966 10:13 1969 60:5 1977 13:17 1979 22:8 26:24 61:12 61:13 1980 26:21 126:11 1981 54:20 61:15,18 98:7 106:7 1984 195:24 196:9,12 1985 202:6 203:12 1986 58:22 1990 15:6,15 59:11 1991 234:3 1992 51:25 56:19 61:7 1993 64:4 1997 23:6,16 70:25 158:3 1998 70:18 102:18 156:10,15 170:13</p>	<p>171:14</p> <hr/> <p style="text-align: center;">2</p> <hr/> <p>2 7:23 12:4,22 20:14 23:21 30:15 56:8 61:9 71:20 72:21 79:6 80:5,10 95:5 98:4 109:4 123:17 125:17,19,22 126:1 126:22 136:16 147:7 173:24 191:3 198:10 221:15 222:14 223:8 259:4 2:57 200:20 20 2:11 15:22 96:12 112:19 20s 106:24 20-year 15:17 200 28:6 2000 61:7 2002 61:13,18 106:4,7 158:5 2003 55:4 61:8 63:11 106:3 2005 129:4 190:19 2006 129:5 2008 86:18 107:15,23 108:24 109:2 112:2 116:2 119:4 121:8 123:5 135:11 171:19 181:22 212:20 213:4 213:7,11 214:5 216:19 218:18 219:8 227:10 239:11,15 240:13 2009 76:8 107:13 122:8 123:19 126:21 132:8 134:14 139:17,24 190:19,20 203:7,14 204:7,10 207:17 211:4 213:1,8,10 219:8 221:6,15 239:12 240:18 242:11 243:4,10 2009/10 158:8 2009/2010 23:24 158:20 161:13 165:21 2010 158:7 2011 144:13 2011/2012 158:13 2012 1:12 257:9 206 136:11,13,20 21 1:12 15:1 20:25 22 257:9 22-caliber 37:22 98:10 23 97:12 209:2 24 99:2 106:24 191:21 197:15</p>
--	---	--	--	--

<p>240 97:7 25 141:8,13 142:2 143:2 250 15:15 16:2 251 253:5 257 258:6 26 22:19 64:15 106:24 27 10:11</p> <hr/> <p style="text-align: center;">3</p> <p>3 7:24 70:5 71:22 109:14 125:17,19 127:1,3 142:15 198:12 259:5 3D 18:11,22 105:11 217:24 3E 216:8 3,000 12:5 3:00 207:10,11 208:1 3:20 200:20 30 54:4 97:4 30-minute 212:12 300 15:24 31 4:6 31st 5:13 32 132:16 33 132:16 340,000 150:6 35 157:23 158:20 163:12 38 98:24</p> <hr/> <p style="text-align: center;">4</p> <p>4 30:14 75:12 196:23 259:6 4:30 122:14 146:6 40 15:11 22:4 28:10 97:4 140:14 40VE 252:10 254:12 40-caliber 244:18 245:1 246:3 400 2:7 403 118:14 415-522-1508 2:8 418 3:19 45 57:24 45-caliber 37:22 46 11:24 47 142:14 47-page 141:23 48 30:13 145:4,7,16,19 145:24 259:21</p> <hr/> <p style="text-align: center;">5</p> <p>5 74:6 189:22 190:14 210:9,21,21 253:6 254:17 259:7 5,000 199:6 50 46:14 75:11 140:14</p>	<p>145:4,7,16,20,24 259:22 505-346-7274 2:5 505-842-9960 2:12 507 96:12 55 185:1</p> <hr/> <p style="text-align: center;">6</p> <p>6 70:8,19 76:3 125:13 125:17,19 128:5,7 259:8 60 13:12 72:11 600 97:11 209:1 607 2:4 655 165:25 659 163:13 164:7</p> <hr/> <p style="text-align: center;">7</p> <p>7 86:16 125:19 129:10 133:11,15 142:13 219:16,19,20,24 220:1,9 226:5 259:9 7th 4:8 70 214:8 215:15 700 2:11 75 85:25 86:17 77 194:14 195:6 78 22:3 63:11 79 22:3 106:4</p> <hr/> <p style="text-align: center;">8</p> <p>8 88:5 113:22 115:18 119:16 125:19 130:10,11 142:5 145:11 146:1 259:10 81 215:15,21 216:11,25 217:3,5 84 209:13 85 204:5 86 58:21 87102 2:4,12</p> <hr/> <p style="text-align: center;">9</p> <p>9 125:19 131:10 142:25 197:14 258:5 259:11 9mm 83:4,5 96:10 9:00 208:2 256:8 90 40:6 94:14 90s 84:18 85:15 97:5 90-plus 53:25 93 28:9 94-page 141:25 243:1 94103 2:8 95 210:12,19 97 71:6 187:19 99 59:1</p>			
---	---	--	--	--